

RED HILL VALLEY PARKWAY INQUIRY

TRANSCRIPT OF PROCEEDINGS
HEARD BEFORE THE HONOURABLE J. WILTON SIEGEL
held via Arbitration Place Virtual
on Thursday, April 28, 2022 at 9:30 a.m.

VOLUME 4

Arbitration Place © 2022
940-100 Queen Street
Ottawa, Ontario K1P 1J9
(613) 564-2727
900-333 Bay Street
Toronto, Ontario M5H 2R2
(416) 861-8720

APPEARANCES:

Robert A. Centa	For Red Hill Valley
Andrew C. Lewis	Parkway
Emily C. Lawrence	
Hailey Bruckner	
Shawna Leclair	
Chloe Hendrie	

Eli Lederman	For City of Hamilton
Delna Contractor	
Jonathan Chen	
Jenene Roberts	
Vivian Hua	
Laura MacIntyre	
Christina Shiels-Singh	

Heather McIvor	For Province of Ontario
Colin Bourrier	
Michael Saad	

Jennifer McAleer	For Dufferin Construction
Chris Buck	
Rachel Laurion	

Jennifer Roberts	For Golder Associates
Nivi Ramaswamy	Inc.
Fabiola Bassong	

INDEX

	PAGE
LUDOMIR UZAROWSKI; AFFIRMED	341
EXAMINATION BY MR. LEWIS	341

LIST OF EXHIBITS

NO.	DESCRIPTION	PAGE
17	Transcripts of L. Uzarowski's notebooks, RHV933	358
18	Perpetual Pavements Feasibility Study dated August 2005, RHV935	417
19	OPSS 1003 - Material Specification for Aggregates Hot Mix Asphalt, GOL3905	428
20	LA abrasion test result done by Golder, GOL244	442
21	Micro-Deval test result done by Golder, GOL245	444
22	SMA Test Strip Placement map dated July 25, 2007, RHV930	470
23	Affidavit of Ludomir Uzarowski affirmed on April 8, 2022, RHV928	507
24	Affidavit of Andros Delos Reyes, affirmed on April 9th, 2022, RHV927	507

1 Arbitration Place Virtual

2 --- Upon resuming on Thursday, April 28, 2002

3 at 9:30 a.m.

4 JUSTICE WILTON-SIEGEL: Good
5 morning, Counsel.

6 MR. LEWIS: Good morning,
7 Commissioner.

8 JUSTICE WILTON-SIEGEL: I'll
9 turn the podium over to Mr. Lewis.

10 MR. LEWIS: Thank you,
11 Commissioner. The witness today is Dr. Ludomir
12 Uzarowski. I ask the court reporter to affirm or
13 swear in Dr. Uzarowski.

14 LUDOMIR UZAROWSKI; AFFIRMED

15 EXAMINATION BY MR. LEWIS:

16 Q. Good morning,
17 Dr. Uzarowski.

18 A. Good morning.

19 Q. Thank you for joining us.
20 Registrar, could we go to Golder 396, image 9.

21 And Dr. Uzarowski, I just want
22 to look at your CV from 2006, and this is a CV
23 that was attached to a July 28, 2006 Golder
24 proposal respecting services to be rendered during
25 the Red Hill paving, and just locate the date

1 there. I'm just going to go through a few things
2 in your CV before we get going.

3 You're a professional engineer
4 of course?

5 A. Yes, I am.

6 Q. And you're licensed to
7 practice in Ontario?

8 A. At that time only
9 Ontario, yes.

10 Q. Okay. Now elsewhere as
11 well?

12 A. Yes, in Alberta and
13 Saskatchewan.

14 Q. Okay. And you obtained
15 your masters of science from Gdansk Technical
16 University in Poland in 1974 and then the
17 University of Nottingham in 1994; is that right?

18 A. Yes, yes.

19 Q. And that was in highway
20 engineering; is that right?

21 A. Highway and airports in
22 Poland and highway engineering in England -- in
23 the United Kingdom.

24 Q. Okay. And at the time of
25 the CV in 2006 you were completing a doctorate in

1 civil engineering specializing in pavement
2 engineering; is that right?

3 A. Yes. Yes, I did.

4 Q. And you completed it in
5 2006?

6 A. Yes. I did in 2006, yes.

7 Q. And could you just
8 briefly describe what pavement engineering
9 entailed for your doctorate?

10 A. Pavement engineering,
11 that -- the subject of my dissertation was related
12 to pavement materials, particularly (garbled
13 audio) deformation of asphalt, and I also did
14 (garbled audio) analysis to analyze the
15 performance of asphalt pavement under heavy
16 loading. But generally the subject also covered
17 other aspects of pavement engineering.

18 Q. All right. And from 2003
19 to the present you've been at Golder?

20 A. Yes, yes. Correct.

21 Q. And in 2006 your title
22 was senior pavement and materials engineer, and I
23 understand at some point along the way since then
24 that has changed to principal pavement and
25 materials engineering; is that right?

1 A. Principal, I became the
2 principal, but I was and I still am senior
3 pavement and materials engineer at Golder.

4 Q. Okay. And before Golder
5 you were at John Emery Geotechnical Engineering
6 Limited known as JEGEL from 1994 to 2003 I
7 believe?

8 A. Yes, that's correct.

9 Q. Okay. Can you briefly
10 describe your experience with SMA pavements at
11 JEGEL and Golder prior to your involvement in the
12 Red Hill Valley Parkway?

13 A. So starting with Golder,
14 there was some limited SMA experience when I was
15 with Golder. I used SMA in my PhD research, and I
16 think I evaluated SMA on one of the projects when
17 I was with Golder. But when I was with John Emery
18 Geotechnical I was involved at a few projects
19 where SMA technology was used, SMA asphalt mix was
20 used. So there were a few projects with SMA.

21 Q. Right. And so by the
22 time you were into the early, mid-2000s SMA wasn't
23 a new technology to Canada at that time? It had
24 been around for some period of time?

25 A. I think in Canada it was

1 introduced in 1992, and there were numerous
2 projects where SMA was incorporated.

3 Q. Okay. And what about
4 experience with friction testing, methods of
5 testing, interpretation of results? Is that
6 something that you had experience with at the time
7 of your CV here in -- mid-2006?

8 A. Well, that was when I was
9 with John Emery Geotechnical.

10 Q. Yes.

11 A. We did a number of -- the
12 company did a number of friction testing on some
13 projects. At that time JEGEL had British pendulum
14 tester, BPT, so we used BPT for friction testing.
15 There were mainly some municipal projects where we
16 tested friction.

17 Q. All right. So is
18 British -- your experience was in relation to
19 British pendulum testing, not other sorts that we
20 have heard about and will hear about, like the
21 locked wheel tester are grip tester, SCRIM, that
22 sort of thing?

23 A. No, that time we used --
24 JEGEL had only British pendulum tester, so we used
25 only British pendulum tester.

1 Q. Okay. And were you
2 familiar with other types of friction testers at
3 the time even though you had not been involved in
4 actual testing?

5 A. I had some -- you know,
6 I'm a pavement and materials engineer, so I had
7 some general knowledge but not practical
8 experience.

9 Q. Okay. And did you -- do
10 you have an understanding again at that time that
11 different testing devices, testing at different
12 speeds, at different temperatures, perhaps other
13 variables, can affect the test results and return
14 different coefficients of friction? Is that
15 something you had an appreciation with, again at
16 that time up to say 2006, 2007?

17 A. At the time I was not
18 particularly involved in this. You know, I was
19 involved at number of airport projects. At
20 airports they use different friction testing
21 methods but not -- I didn't go into details at
22 that time.

23 Q. Okay. So fair to say
24 that you had perhaps some awareness of that or no
25 awareness of what I just described?

1 A. I probably had some,
2 some.

3 Q. A limited amount?

4 A. A limited, yes.

5 Q. Okay. Okay. If we could
6 go to overview document 3, image 14. And,
7 Registrar, I'll be on overview document 3 until I
8 say otherwise. I'll try to say 3 each time, but
9 I'm not going to be moving to another overview
10 document until -- in all likelihood much later in
11 the examination. So if I refer the overview
12 document, it's overview document 3 right now.

13 In paragraph 21, it's a
14 reference to a paper that you co-authored for the
15 CTAA, the Canadian Technical Asphalt Association,
16 with Mr. Vince Aurilio of the Ontario Hot Mix
17 Producers Association for the CTAA conference in
18 Montreal in November 2004. And it's titled
19 "Perpetual Asphalt Pavements," and there's a
20 introductory paragraph which is cited there in the
21 overview document which -- and as I understand the
22 paper explained the concept of perpetual
23 pavements, including examples of practical
24 applications in Ontario and included references to
25 using SMA or other asphalt mixes as the surface

1 course of a perpetual pavement.

2 Do you recall this paper?

3 A. Oh, yeah, I remember that
4 paper well.

5 Q. And am I correct that the
6 topic and the purpose of the paper is about
7 describing perpetual pavements, its advantages and
8 essentially about extending the life of asphalt
9 pavements through the perpetual pavement
10 structure; is that fair?

11 A. Yes, yes. Generally it
12 was the bottom-up design, yes, to extend the life
13 of asphalt pavement, yes.

14 Q. And if you could just --
15 actually first. Permanent pavement and perpetual
16 pavement, those are just interchangeable terms as
17 I understand; is that right? We sometimes see
18 different people referring to --

19 A. Yeah, it's sometimes
20 called like long-lasting pavement, but it's
21 generally -- the most common name is perpetual
22 pavement.

23 Q. Okay. But if I happen to
24 say permanent rather than perpetual, you'll
25 understand what I mean?

1 A. Yes, of course I will.

2 Q. Great. Thank you. And
3 so if you could just briefly describe what is
4 permanent or perpetual pavement about. We heard a
5 bit about it yesterday, but if you could just
6 describe it, bottom up.

7 A. Okay. So maybe if I can
8 compare the difference. So conventional asphalt
9 pavement is designed, typically designed to last
10 20 years. So in about year 20 or somewhere around
11 that time major rehabilitation is required. Why?
12 Because in regular pavement it's assumed that
13 cracking will start at the bottom of asphalt and
14 will propagate upwards. Okay. And also
15 relatively high stress will be transferred to
16 subgrade and the pavement will erupt.

17 Now, perpetual pavement idea
18 is just to address it. So it's called a bottom-up
19 pavement design. So the idea is, first, the
20 pavement has to be thick enough so the strain --
21 because in asphalt pavement we assume the strains
22 control the performance of the pavement. So the
23 strain transfer to subgrade would be low enough so
24 there won't be permanent deformation or rutting,
25 and at the same time the strain at the bottom of

1 asphalt will be low enough that the pavement will
2 not -- the cracking will not start at the bottom.
3 It may. There will always be some cracking
4 starting at the top, and we call it top-down
5 cracking, but we can eliminate the starting
6 cracking at the bottom and this bottom-up
7 cracking.

8 Also, in order to make this
9 pavement design more effective, at the bottom --
10 at very first layer we design so-called RBM, or
11 rich bottom mix. It's a special mix that has very
12 high fatigue cracking endurance. So that's the
13 general idea. So the only thing -- of course
14 nothing is perfect, so over time only periodical
15 resurfacing at the top will be required, but the
16 rest should stay in good structural condition,
17 so --

18 Q. And if I could jump in
19 for one second. When you talk about a major
20 reconstruction, you're talking about the
21 reconstruction of the entire pavement structure as
22 opposed to the milling and replacement of the
23 surface layer?

24 A. Yes, something -- we call
25 it major rehabilitation.

1 Q. Yeah.

2 A. We try to avoid
3 reconstruction at any cost because it will be very
4 expensive, so we call it major rehabilitation, so
5 like, you know, all asphalt layers, they will
6 require very significant work, as opposite to what
7 you mentioned in perpetual pavement. We only
8 periodically can resurface the very top, and it
9 can be done very quickly. So get in, get out
10 quickly and stay out. So it can be done even like
11 overnight, just resurfacing.

12 Q. Of the top layer?

13 A. Top layer, yes.

14 Q. Okay. And so as I
15 understand, again, what your -- you still may have
16 cracking along the top, but the purpose is to
17 eliminate or reduce the contracting which
18 originates at the bottom and works its way up to
19 the upper layers?

20 A. So the entire asphalt
21 structure should be okay. Only -- you have to
22 anticipate that you will have some top-down
23 cracking at the very top. The rest should remain
24 in good structure and condition, or intact.

25 Q. Okay. And of course all

1 asphalt pavement structures have a surface course,
2 and as set out in paragraph 21 of the overview
3 document here SMA is one of the options for the
4 surface course. It doesn't have to be SMA; it
5 could be Superpave mix or other surface mix, but
6 that's one of the options. Correct?

7 A. Yes. It has to be a good
8 quality asphalt mix that offers good resistance to
9 rutting and good resistance to cracking. So it
10 has to be -- or good quality Superpave mix or SMA
11 mix. SMA mix is probably considered most frequent
12 because of its excellent resistance to rutting and
13 excellent fatigue endurance.

14 Q. And whichever the surface
15 course is -- that you describe, is it intended
16 with a perpetual pavement that the surface course
17 will be able to go somewhat longer without the
18 resurfacing, without the surface layer being
19 replaced, than in a conventional pavement
20 structure? Is that part of the benefit or at
21 least the --

22 A. It can be a part of
23 benefit, but everything depends on the traffic
24 loading and -- so traffic loading in terms of
25 number of vehicles, like number of trucks.

1 Because personal vehicles basically have no impact
2 on structure condition of the pavement, but the
3 tracks impact the structure condition, and in
4 particular if there is any overloading in terms of
5 the individual loads exceed the limit.

6 Q. Right. So the amount of
7 traffic will impact the length of time that the
8 surface layer lasts. But what I'm asking is,
9 assuming the same traffic loads is part of the
10 purpose of a perpetual pavement, part of it, to
11 increase the length of time that the surface layer
12 will last before it needs to be replaced, or no?

13 A. Yes. Generally you can
14 anticipate, you know, somewhat better performance,
15 yes.

16 Q. Okay. Now, I want to
17 move to your first meeting with Mr. Gary Moore of
18 the City of Hamilton respecting the Red Hill
19 Valley Parkway, and if we could use image 14 and
20 also add image 15 from the OD.

21 And we know that you met with
22 Mr. Moore on January 11th, 2005, and could you
23 describe how that meeting arose and who initiated
24 it.

25 A. I think, you know, it was

1 2005, so it was seven, eight years ago, so I would
2 have to rely on my notes, but I'm positive that it
3 was initiated by Mr. Moore by the City of Hamilton
4 because, as we discussed, he was interested in --
5 he was very interested in our presentation. That
6 paper we presented with Mr. Aurilio, and he was
7 interested in using the perpetual pavement
8 structure on the Red Hill Valley Parkway. And I
9 don't know if you want to know more details why --

10 Q. Yeah, if you recall.
11 We'll look at your notes, but if you recall right
12 now what he was interested in, please do.

13 A. Because mainly, you know,
14 the Red Hill Valley Parkway cut across the heart
15 of the city, just in the middle of the city. So
16 his concern was that -- so, you know, one thing,
17 if he had to do major rehabilitation, what could
18 he do with the traffic because he anticipated
19 pretty heavy traffic on the Red Hill Valley
20 Parkway. And suddenly if you -- if the City had
21 to close the highway for a longer period of time,
22 then they couldn't, you know, send the traffic to
23 residential roads because, you know, that would be
24 a disaster, so they would have to probably do a
25 detour, that would be expensive. So he wanted,

1 one, avoid this.

2 At the same time he is saying,
3 okay, if he can reduce the number of cycles, of
4 rehabilitation cycles, yes, so -- and if they can
5 limit it to resurfacing, so come at night,
6 resurface and go, so you don't have to divert this
7 traffic into the very busy or into residential.
8 It would be impossible to send this high number of
9 vehicles to residential roads. So that would be a
10 disaster. So the probably only option would be to
11 build a detour, but it would be very expensive.

12 Q. To build a detour. So
13 (garbled audio) reduce the number of -- or extend
14 the life of the pavement overall so that you can
15 avoid closing the whole road down for a long
16 period of time?

17 A. Yes.

18 Q. Okay. All right. And
19 did you know Mr. Moore prior to him contacting you
20 about this and having the meeting on January 11,
21 2005?

22 A. I knew him aware -- from
23 the time when I was with Geotechnical. I knew
24 him -- I don't recall any particular projects,
25 maybe there was some. Yeah. So he was well known

1 in the industry, but I didn't -- I don't recall
2 any particular project with him before that --
3 before that meeting.

4 Q. Okay. And if we could
5 pull up the note -- I'm going to ask -- just ask
6 you about this in a second. But if we could pull
7 up the notes transcription of Dr. Uzarowski's
8 overall notes produced. This is RHV933.

9 And what I understand,
10 Dr. Uzarowski, is of course Golder produced large
11 numbers of your handwritten notes over a period of
12 years considered to be relevant to the matters the
13 inquiry is looking into. And these are your
14 handwritten notes, and that over a period of time
15 you transcribed those notes into a typewritten
16 form from handwritten making your best efforts to
17 be as accurate as possible; is that correct?

18 A. Yes, it is.

19 Q. Okay. And, Commissioner,
20 what we have is -- this document is a compilation
21 of Dr. Uzarowski's handwritten notes. In the
22 overview documents the references are to the
23 various handwritten notes, but for at least today
24 I'm going -- and I expect for a lot of the inquiry
25 we'll be referring to either the overview

1 documents where they are completely reproduced or
2 the transcription by Dr. Uzarowski.

3 And just generally speaking
4 with respect to your handwritten notes, was your
5 practice to take notes at the time of meetings and
6 telephone calls generally speaking? When you
7 documented a meeting, were those notes taken at
8 the meeting or afterwards?

9 A. You know, so the first
10 thing, yeah, I tried to keep my notes because --
11 you know, I'm a consultant. I work on large
12 number of projects, so sometimes it is -- there
13 are so many commitments and time and delivery,
14 et cetera, so, you know, that they were of great
15 help, my notes. And, you know, sometimes, you
16 know, -- let's say if I had a meeting and I
17 anticipated what would be discussed, then I would
18 prepare my notes before the meeting, typically
19 like, you know, item 1, 2, 3, 4, 5, et cetera,
20 what I would like to discuss.

21 Q. Right.

22 A. And then during the
23 meeting I would add my handwritten written quick,
24 quick comments. But sometimes if it was, you
25 know, a meeting and I didn't anticipate it, I

1 would take notes during the meeting, so without
2 any preparation.

3 Q. Right. I think we have a
4 very similar approach to note taking in that
5 respect. So what you're saying is that sometimes
6 in advance you would do a list of the items that
7 you want to hit, and then you would supplement
8 that by additional notes taken during the meeting
9 potentially, and at other times you would just be
10 taking the notes during the meeting if it wasn't
11 your agenda that was being set, for example.

12 Those are just two potential examples?

13 A. Yes, exactly.

14 Q. Okay. Great. So -- and,
15 you know, on any individual instance if it's
16 material, we can talk about when you took the
17 notes, but -- so if we can look at -- sorry, could
18 we make this Exhibit 17, I believe it is,
19 Commissioner. This is RHV933, Dr. Uzarowski's
20 transcript of his notebooks.

21 THE REGISTRAR: Noted,
22 Counsel.

23 MR. LEWIS: Thank you.

24 EXHIBIT NO. 17: Transcripts
25 of Dr. Uzarowski's notebooks, RHV933.

1 BY MR. LEWIS:

2 Q. And could we go to
3 images 2 and 3 of this document.

4 And you've indicated,
5 Dr. Uzarowski, that Mr. Moore wanted to talk to
6 you about using a perpetual pavement structure.

7 Sorry, I guess it's images --
8 it's a little -- that's image 1, so we want 2 and
9 3. I guess that's image 2, isn't it? It says
10 image 1 at the top. Okay. So actual images 3 and
11 4, then, I guess. Okay. I think we'll have to
12 note that although it says image 2 and image 3 at
13 the top, that's different than the images that are
14 in -- actually in the database.

15 So here we have your
16 transcription of notes from January 11, 2005 from
17 your meeting with Mr. Moore. And on the page on
18 the right -- sorry, on the page on the left -- on
19 the right-hand side, it says towards the bottom,
20 "SMA Gary wants to use 3 dB noise attenuation."
21 Is this indicating that Mr. Moore wanted to use an
22 SMA surface course on the perpetual pavement?

23 A. Yes. The City wanted to
24 use SMA because of -- it is believed that SMA
25 reduces the noise. It's typically between 2 and

1 3 decibels so, you know, he believed it was
2 3 decibels. So, yeah, that was one of the
3 reasons, was the noise attenuation.

4 Q. Okay. Did he describe
5 any other reasons for wanting to use SMA as the
6 surface course?

7 A. Because SMA, you know,
8 he -- he had good experience with using SMA
9 because the City used it a few years prior, so
10 they had good experience. And, you know, he
11 attended number of conferences and he knew that
12 SMA offered, you know, exceptional, very good
13 rutting resistance, fatigue endurance, good
14 friction characteristics and overall performance,
15 and then what was important for him, this noise
16 reduction.

17 Q. Okay. And did you -- the
18 prior project, was that an SMA placement on
19 Burlington Street?

20 A. I believe, yes. That
21 was -- that was his experience with SMA, and that
22 was not only his because there were a team that
23 was involved with -- yeah.

24 Q. Mr. Moore didn't place it
25 himself, but that's what --

1 A. No, no, no.

2 Q. He was referring to that
3 project, is your understanding?

4 A. Yes.

5 Q. Okay. Thank you. On the
6 left-hand side of the first image there, I think
7 it's the fifth line, well it says "4 lane
8 expressway" and then "90 kilometre per hour posted
9 speed des," which I take to be design speed, "100
10 to 110 kilometres per hour."

11 Does that reflect what
12 Mr. Moore told you about the posted and design
13 speeds at that meeting?

14 A. Yes. Everything that is
15 in that note this is what -- the majority is what
16 he told me. I was not familiar, so this is what
17 he told me.

18 Q. He asked you to the
19 meeting, and then he described what the situation
20 was, described what the highway was going to be
21 and what he wanted --

22 A. Yes.

23 Q. -- is that fair?

24 A. Yes, it is.

25 Q. Okay. And I understand

1 that -- did -- Mr. Moore then asked you to do
2 something following from that, to do a feasibility
3 study?

4 A. Yes. After that meeting
5 he asked me to first prepare -- work on
6 feasibility study and then the design if the
7 feasibility study was -- showed that it makes
8 sense to -- if the structure was -- if perpetual
9 pavement was feasible.

10 Q. Okay. And just to be
11 clear, you said "after the meeting." Do you mean
12 at the meeting he asked you as a follow-up to the
13 meeting to do the feasibility study; is that
14 correct?

15 A. I would have to look
16 at -- you know, I think we discussed -- I think
17 we -- he presented what he wanted and then the
18 next step would be to prepare to work on the
19 feasibility study.

20 Q. Okay. Because we know --
21 and this is in overview document -- which we won't
22 go to this for a second because I want to stay on
23 the notes -- but in paragraph 22 of overview
24 document 3 it also indicates the next day --
25 sorry, two days later you sent Mr. Moore the

1 proposal to carry out the feasibility study. So
2 is it -- but you discussed it at the meeting; is
3 that what you think?

4 A. Well, I think it must
5 have been discussed, this thing at the meeting.
6 That was the next logical step. We must've agreed
7 during the meeting.

8 Q. Okay. And then on the
9 page on the right in your notes, the third line up
10 from the bottom, actually right above that a few
11 lines up, it says, "SMA is already there." Is
12 that a reference to Hamilton already having SMA
13 that you just described?

14 A. Yes. So I said, it's
15 already there because, you know, they were
16 familiar with SMA and the City was comfortable
17 with SMA.

18 Q. Okay. And then detour
19 costs, you've already described; that's an issue.
20 And do you recall what the "if a 7 million in
21 backup required" is?

22 A. I believe that it -- I
23 would have to do some calculation, roughly detour
24 route cost about 7 million. It was maybe, like,
25 you know -- oh, assume that it would be roughly

1 about \$7 million to build a backup because it's a
2 very tight environment. So I understand that that
3 would be for a detour.

4 Q. Okay. And then "what the
5 ballpark numbers are and then proceed." Is that a
6 reference to the feasibility study? Figure out
7 what the relative costs are of going with a
8 conventional pavement and the perpetual pavement?

9 A. Yes. That would be just,
10 you know, a roughly estimate, you know, what --
11 because that was the first time, so, you know,
12 roughly what my feeling was.

13 Q. What your fee was?

14 A. No, no, what my feeling.
15 What --

16 Q. Feeling.

17 A. Ballpark, ballpark.
18 Because you can assume something quickly but then
19 you would have to do some detail analysis getting
20 the cost, et cetera, to do the feasibility study.
21 So that was ballpark, like the feeling what it
22 would cost.

23 Q. All right. And then the
24 CTAA paper it refers to, that is referring to
25 doing a paper for the CTAA about perpetual

1 pavement in the Red Hill Valley Parkway; is that
2 correct?

3 A. Yes, yes, that was the
4 idea of writing a CTAA paper about perpetual
5 pavement in the City of Hamilton on perpetual
6 pavement, yes.

7 Q. On Red Hill Valley
8 Parkway?

9 A. On Red Hill Valley
10 Parkway, yes.

11 Q. And whose idea was the
12 CTAA paper?

13 A. I haven't discussed for
14 so many years, but I think it's -- I think, you
15 know, the City wanted to be the leader in
16 sustainable infrastruc- -- pavement
17 infrastructure, so they will -- they wanted to --
18 and then he knew that I was very active at CTAA so
19 it was I think probably, you know, mutual
20 agreement or mutual interest to write the paper on
21 this thing.

22 Q. Okay. And when you
23 say -- I appreciate the City and Mr. Moore is the
24 City's representative, but Mr. Moore is the person
25 you are talking to at this point at the City,

1 correct?

2 A. Yes, at that -- that
3 point of time that was Mr. Gary Moore, yes.

4 Q. Okay. And then if we
5 could go back to the overview document, page 22
6 and 23. As I said the next day, January 12th -- I
7 said it was the 13th; I think it was the 12th --
8 sorry, it's page 14. I apologize. It's image 14
9 and 15. Thank you.

10 So on January 13th you sent
11 Mr. Moore the proposal to carry out a feasibility
12 study on using perpetual pavement on the Red Hill
13 Valley Parkway in Hamilton in 2005, and then
14 you'll see at the top of the image on the right
15 the next day, January 14th, 2005 Mr. Moore gave
16 Dr. Uzarowski permission to proceed with the
17 study. So that's the feasibility study that we
18 were just discussing, correct?

19 A. Yes.

20 Q. And the purpose of the
21 feasibility study is set out in proposal, and we
22 can absolutely go to it, but if we don't need to,
23 we won't. But it was to carry out a study on the
24 use of the perpetual pavement design and determine
25 whether that was feasible for the Red Hill -- in

1 Red Hill Valley Parkway in doing a cost comparison
2 between that and a conventional pavement
3 structure; is that right?

4 A. Yes. Yes, it is.

5 Q. All right. And it was --
6 you mentioned this at the meeting. This was Phase
7 1 is the feasibility study, and then it
8 contemplated a Phase 2 involving the pavement
9 design and doing the specifications for the
10 highway; is that correct?

11 A. Yes, it is.

12 Q. Okay. At page 15,
13 paragraph 25, halfway down the page on the right,
14 is reference to a "Paper Offer Abstract" dated
15 February 28th, 2005 for the CTAA's 50th annual
16 conference titled "Sustainable Pavements, Making
17 the Case For Longer Design Lives For Flexible
18 Pavements." And this is just an abstract that you
19 provided to Mr. Moore, and it's listing you,
20 Mr. Moore, Michael Maher, who is a Golder person,
21 and Vince Aurilio as authors. Do you recall this?
22 Did you draft the abstract?

23 A. I think it was -- yeah,
24 likely it was me.

25 Q. All right. And the paper

1 arising for this was eventually published in
2 November 2006 as part of the annual CTAA
3 conference; is that right?

4 A. Yes, it -- yes, it was.

5 Q. Okay. And just for
6 reference, Commissioner, that is referred to at
7 overview document 3, image 18, paragraph 34.

8 And is it fair to say,
9 Dr. Uzarowski, that the feasibility study that you
10 were then commissioned by Mr. Moore to do for the
11 City and the CTAA paper are essentially on the
12 same topic; although there's differences in
13 lengths and focus and so forth. But they are on
14 the same topic, which is the feasibility of the
15 perpetual pavement structure for the Red Hill
16 comparing the lifecycle costs of the perpetual
17 pavement option to the lifecycle costs of the
18 conventional deep strength pavement option. Is
19 that a fair summary?

20 A. Yes, it is.

21 Q. Am I correct that the
22 assumption for both the feasibility study and the
23 CTAA paper was that SMA would be the surface
24 course for both options being compared, the
25 perpetual pavement and conventional deep strength;

1 is that right?

2 A. Yes. Yes, it was.

3 Q. Okay. And of course both
4 the paper and the feasibility study were based on
5 their unit costs and quantities and all the inputs
6 that go into that. Who provided those inputs?

7 A. The City.

8 Q. And by "the City" do you
9 mean Mr. Moore?

10 A. Like, you know, I --
11 Mr. Moore was the one who directly send it to me,
12 but he could get the cost from somebody.

13 Q. No, I appreciate that,
14 but he was your contact for this, right?

15 A. He was my contact. Yeah,
16 he was my contact person, yes.

17 Q. So wherever he got them
18 internally, again he's not the -- might not be his
19 role to dig those out, but he was the one that
20 sent them to you?

21 A. Yes, he was the person
22 who sent this thing to me, yes.

23 Q. Okay. And so you were
24 relying on the same information for both the CTAA
25 paper and the feasibility study, correct?

1 A. Yes, for the, you know --
2 for the abstract, no, because that was only the
3 abstract state, but yes, it was, yeah.

4 Q. I appreciate it, but
5 right at the start you do a proposal for the
6 feasibility study in January. You send the
7 abstract in February; that's before you have all
8 the information. But going forward that was the
9 case, correct?

10 A. Yes. Yes, it was.

11 Q. Thank you.

12 And, Commissioner, we won't
13 need to go through it, but in the overview
14 document there are a significant number of
15 paragraphs that deal with this going from
16 paragraphs 26 to 28, 30 to 34, and 36 to 37 cover
17 that timeframe as the year progresses with respect
18 to the feasibility study and the CTAA paper.

19 Now, if we could go to RHV935.

20 And just for background,
21 Dr. Uzarowski, up until last week I think we did
22 not have a signed copy of the final feasibility
23 study. We just had an electronic unsigned copy.
24 But Golder's counsel last week delivered to
25 commission counsel this document, which is a

1 signed final feasibility study that we were just
2 talking about. And so is that correct, this was
3 located quite recently, the signed copy?

4 A. Yes, that's correct.

5 Q. And I understand it was
6 located -- it was found in Golder's library, and
7 it's with the office, and it's just been missed
8 prior to that, in Golder's document searches?

9 A. Yeah. It was only the
10 report, not other records or analysis. Only the
11 report itself was found in the library in -- with
12 the office.

13 Q. Right, so just the final
14 report. And you also -- we don't need to go to it
15 now. There's -- we have a photograph that shows
16 the actual cover of the report, and it's the
17 signed document as opposed to all of the
18 underlying work that went behind it. Is that what
19 you're saying?

20 A. Yes, yes. Only the
21 report itself, yes.

22 Q. Okay. And did you
23 deliver -- it's dated August 2005, as you can see
24 there. Did you deliver a signed copy of this to
25 Mr. Moore?

1 A. Yes, I believe I did.

2 Q. Okay. And if we could go
3 to image 6 of this document. And at the top of
4 the summary 5.0, it states:

5 "A flexible pavement
6 satisfying the requirements for perpetual pavement
7 design is recommended for Red Hill Creek
8 Expressway."

9 And that's the final
10 conclusion of the feasibility study; is that
11 right?

12 A. Yes, it is.

13 Q. Okay. And there's an
14 August 5, 2005 draft. Maybe we can go to the
15 overview document at images 17 and 18.

16 And paragraph 33 at the bottom
17 of image 17 indicates that on August 5th, 2005
18 that you e-mailed the draft of the CTAA paper that
19 we were discussing to Mr. Aurilio and Dr. Maher at
20 Golder asking them to review, and this paper is
21 called "Sustainable Pavements, Making the Case For
22 Longer Design Lives For Flexible Payments." And
23 as we discussed, and this is a draft of the paper
24 that we were just looking at the final version of,
25 the pavement options compared in the paper, being

1 the perpetual pavement versus conventional deep
2 strength, both used SMA for the surface course,
3 and then it sets out at image 18 a couple of
4 passages from the draft paper.

5 And I want to focus on the
6 last sentence of the first paragraph there where
7 it says:

8 "The City of Hamilton has
9 decided to use the perpetual pavement concept on
10 their major infrastructure project."

11 And that's -- the "major
12 infrastructure project," that's referring to the
13 Red Hill Valley Parkway; is that right?

14 A. Yes, it is.

15 Q. And the -- at that point
16 then, August 5th, 2005 was it your understanding
17 that it had been decided that there would be --
18 that it would be a perpetual pavement structure
19 and an SMA surface course on the Red Hill Valley
20 Parkway?

21 A. Yes, it had.

22 Q. And we can go to it, but
23 do you recall what the cost saving was estimated
24 to be? I appreciate it's a prospective
25 estimate --

1 A. On --

2 Q. -- perpetual pavement.

3 A. I would have to look at
4 the -- I don't -- I know that there was some --
5 there was benefit from using perpetual pavement in
6 terms of -- here in terms of cost.

7 Q. Well, I won't test your
8 memory on it. We'll come to it.

9 If we could go to Golder 3367,
10 which is the draft paper at that time. And if we
11 could go to image -- so this is the August 2005
12 draft at image 8. I don't think that's image 8.
13 Should be page 6, image 8, I think, Registrar.
14 There we are.

15 So this table, it states,
16 "Its present worth of MNR work for deep strength
17 pavement."

18 So this is the cost estimate
19 for the deep strength option, correct?

20 A. Yes.

21 Q. Okay. And I'm just
22 looking at, on the left-hand side there under the
23 left-hand column, "Scheduled Maintenance
24 Rehabilitation Year." If you go down it gives the
25 number of years down to number 48, 48 years out

1 from construction. And then the fourth line down
2 is 19, so 19 years out, "80 millimetres asphalt
3 pavement resurface SMA 40 millimetres."

4 So is -- do I understand this
5 correctly is anticipating that the -- with the
6 conventional deep strength pavement design that
7 the surface course you were discussing earlier,
8 SMA in this case, would be milled and replaced
9 19 years in; is that right?

10 A. Yes.

11 Q. Okay. Along with the
12 layer below -- another additional layer below
13 that, if I understand that correctly?

14 A. Yes.

15 Q. Okay. And then the next
16 image, 9, this is table 4. This is the present
17 worth of MNR work for perpetual pavement, again
18 main line only. And so this is the comparison,
19 the correlative costs and forward-looking
20 estimates for the perpetual pavement option.

21 And looking in the same
22 column, it's on the left-hand side, the fifth
23 number down is 21. And to the second column, if I
24 understand it, it is saying that the estimate is
25 that it will be 21 years out that the surface SMA,

1 40 millimetre layer, would be milled and replaced
2 but 21 years out; is that right?

3 A. Yes.

4 Q. Okay. And before that in
5 both options there's estimates about other
6 maintenance work that will be done routing and
7 ceiling crack, et cetera, but that's the first
8 surface estimate -- first surface layer estimate
9 for replacements, right?

10 A. Yes. Yeah, yeah, yeah.
11 Yes.

12 Q. Okay. If we could take
13 that down and go to OD3, image 19.

14 And paragraph 36 indicates
15 that on September 28th, and this is taken from
16 some of your -- from your notes -- that you and
17 Mr. Moore discussed finishing Phase 1 and a
18 possible Phase 2 of the perpetual pavement
19 project. So the Phase 1 that we talked about,
20 that was the feasibility study, right?

21 A. Yes.

22 Q. And then Phase 2 is the
23 next step we discussed which was develop
24 potentially -- or as you discussed back in
25 January of 2005, developing the specifications,

1 the pavement specifications for the actual
2 construction and paving of the highway; is that
3 right?

4 A. Yes, it was, you know,
5 finalizing the design and developing the
6 specifications, yes.

7 Q. Right. Okay. And then
8 it says there, "including mix requirement and
9 specification development for Superpave, SMA and
10 RBL," which is the rich bottom layer that you
11 described earlier when you were talking about the
12 perpetual pavement structure, correct?

13 A. Yes. Yes, it is.

14 Q. Like, and rich bottom
15 layer -- and you use the rich bottom mix for the
16 rich bottom layer; is that right?

17 A. Yeah, this is like, you
18 know, this layer or mix, different names, but it's
19 basically the same whether -- it's basically rich
20 bottom layer where rich bottom mix is used.

21 Q. That's what I understood.
22 I just wanted to clarify I understood that.

23 A. Yeah.

24 Q. Okay. And do you know at
25 that point having delivered the signed report of

1 the feasibility study, what was to be finished for
2 Phase 1? Do you know?

3 A. No -- you know, it's like
4 after that report was final that was it for the
5 Phase 1, I understand.

6 Q. That is for the what,
7 sorry?

8 A. For Phase 1.

9 Q. Yes, that's what the
10 report was that we looked at from August 2005,
11 okay. So you don't know what the "finishing Phase
12 1" is a reference to?

13 A. Finishing -- sorry, what
14 do you mean?

15 Q. Well, we can go to the
16 note, but it refers to "finishing Phase 1." Do
17 you recall what had to be finished, or was there
18 nothing to be finished?

19 A. It was, you know, so many
20 years ago because basically I think finishing
21 Phase 1 -- it's like feasibility study, it's like
22 general study. But then for detail design I would
23 have to do a final structural analysis to finalize
24 the design. So that would be, you know,
25 additional -- so first, for the design itself.

1 And I know I use a special program for this, and
2 then, you know -- and then you know, Phase 2,
3 like, you know, the mixes and other aspects of
4 Phase 2.

5 Q. Right. No, I appreciate
6 that. It's just the reference in your notes to
7 finishing Phase 1, but if you don't recall we'll
8 move on.

9 So if we could go to image 20
10 of the next page. So paragraph 38 indicates that
11 on November 19th, 2005 your notebook contains a
12 note that states, one:

13 "Hamilton, paving on Lincoln
14 Alexander Parkway, SMA 12/5 and ground rubber
15 modified mix three hours."

16 Can you tell us what this is
17 about?

18 A. Yeah, I can. Lafarge was
19 placing a short test strip of SMA mix with
20 modifier -- with crumb rubber modifier and the
21 purpose of this was to determine how much noise
22 reduction you can use by using this special type
23 of SMA with crumb rubber modifier. Because there
24 was a belief that if you add rubber you even more
25 reduce the noise in -- on SMA pavement. So that

1 was the test strip to -- so for me I went there to
2 look at this thing, but as far as I recall
3 probably University of Waterloo measured the
4 noise. I don't have records, but I think it
5 was -- me just, I was asked to look at the
6 placement, but then they evaluated how much noise
7 reduction they would get.

8 Q. Okay. And who invited
9 you to go? Was that Mr. Moore?

10 A. Mr. Moore and Paul Lamb
11 who was the -- Paul Lamb was the -- at the time he
12 was like maybe director of reduction or
13 construction for Lafarge and Mr. Moore because
14 Mr. Moore was very interested in the subject.

15 Q. Okay.

16 JUSTICE WILTON-SIEGEL:
17 Mr. Lewis, I need about five minutes to deal with
18 something that's come up.

19 MR. LEWIS: Absolutely. So
20 what time would you like us to come back,
21 Commissioner?

22 JUSTICE WILTON-SIEGEL: Just
23 five minutes right now, if you don't mind.

24 MR. LEWIS: Sure. 10:32,
25 everybody.

1 JUSTICE WILTON-SIEGEL: That's
2 perfect.

3 --- Recess taken at 10:27 a.m.

4 --- Upon resuming at 10:33 a.m.

5 MR. LEWIS: May we proceed,
6 Commissioner?

7 JUSTICE WILTON-SIEGEL: Please
8 do.

9 MR. LEWIS: Thank you.

10 BY MR. LEWIS:

11 Q. Registrar, if we could go
12 to overview document 3, image 20. Paragraph 39
13 indicates, Dr. Uzarowski, that on November 22nd,
14 2005 you submitted a cost estimate for Golder to
15 Mr. Moore titled "Perpetual Pavement Phase 2,"
16 which included:

17 "Pavement and asphalt
18 consultations, including detailed corrections and
19 the project documentation, updates to the current
20 HMA --" that's hot mix asphalt "-- paving
21 specifications and development of new required
22 paving specification, any mix design reviews and
23 assisting in preparation of tender documents for
24 the pavement works."

25 And a few days later,

1 November 25th, Mr. Moore e-mailed back accepting
2 it and asking what you needed to get started.

3 So does that accurately
4 describe what you did for this Phase 2 of the
5 project as you described it?

6 A. Yes. Yes, it does.

7 Q. Okay. And to be clear,
8 we'll get to it, that the paving specifications
9 were for the perpetual pavement structure that you
10 described with the rich bottom layer, interim
11 layers and the SMA surface course; is that right?

12 A. Yes, yes.

13 Q. Okay. And part of that
14 was the OPSS specifications and special
15 provisions, which are the Ontario Provincial
16 Standards Specifications; is that right?

17 A. Yes, OPSS is Ontario
18 Provincial Standard Specification, so I have no
19 right to change OPSS, but, you know, on behalf I
20 can recommend changes in a special provision. I
21 can develop special provision for the municipality
22 that I can recommend some changes to the OPSS
23 because I have no right to change OPSS itself.

24 Q. Okay. So the OPSS, just
25 generally speaking can you describe what they are

1 so we have the background?

2 A. Ontario Provincial
3 Standards Specification, that covered detailed
4 requirements for a particular part of -- you know,
5 I'm talking about, in this case about pavement
6 materials and pavement construction because they
7 cover everything. They cover all aspect, but, you
8 know, my area was the pavement. So they cover
9 particular -- what the requirements are for
10 ingredients, for mix design, what should be
11 included in the mix design, what characteristics
12 should be met and also what characteristics should
13 be met during construction.

14 Q. Right.

15 A. So in general, you know,
16 just in general terms.

17 Q. Right. And as you
18 described, you can then recommend -- and obviously
19 the client will decide if they are going to accept
20 your recommendations, we accept that with any
21 consulting work -- but you can recommend special
22 provisions which modify the OPSS specifications;
23 is that right?

24 A. So I can -- in special
25 provision I can describe what should be added or

1 should be changed in the OPSS because OPSS is
2 developed by OPSS committee. Actually I'm a
3 member of OPSS municipal committee, so only the
4 committee can suggest -- can change the OPSS
5 specification itself, but me as the consultant
6 hired by the municipality, I can develop special
7 provision where I can advise or recommend what can
8 be added or changed or removed, whatever.

9 Q. Right. Okay. I
10 understand you.

11 And if we go to image 26 of
12 the overview document. Actually 26 and 27,
13 please. This is just describing ultimately the
14 paving specifications for the pavement materials
15 in the tender for the Red Hill Valley Parkway
16 paving, and it lists in paragraph 54 the number of
17 those specifications.

18 Am I correct this is what you
19 ultimately developed in relation to the tender; is
20 that right?

21 A. I develop special
22 provision and I -- they were incorporated into the
23 tender document.

24 Q. Right. I appreciate you
25 did not put the tender document as a whole

1 together, but you were the one and were retained
2 to and did consult on and recommended the
3 components relating to the paving
4 specifications --

5 A. Yes.

6 Q. -- that went into the
7 tender?

8 A. Yes. Yes, I did.

9 Q. And on page 27 or
10 image 27 there, paragraph 55, there's an addendum
11 to the tender contract documents issued on
12 May 10th, 2006 with the original tender being
13 issued April 25th, 2006. But on May 10th, 2006 an
14 addendum was issued by the City of Hamilton which
15 required trial sections be placed for both the
16 rich bottom mix or the RBM layer and the SMA
17 pavement layers. And were you involved in or did
18 you recommend this addendum?

19 A. You know, I recommend the
20 trial section because this were -- as you probably
21 know RBM was a new idea, was a new mix and, you
22 know, anticipated some difficulties and challenges
23 with the material in construction. And also SMA,
24 obviously they -- I anticipated some changes with
25 this. So, you know, it was my recommendation to

1 do a trial section or test strip, whatever you
2 call it, to verify that the contractor can produce
3 what is in the mix design and can place it to meet
4 the specification requirements.

5 Q. Right. And going on
6 to -- if we can keep the image 27 and add
7 image 28, please. And at the top of 28 in the --
8 still on the addendum with respect to the trial
9 sections, in the last paragraph if we could expand
10 that, please, Registrar. It starts "provided the
11 trial sections" just above paragraph 56.

12 So this paragraph is
13 indicating that:

14 "If the trial section --"
15 whether it's the RBM layer or the SMA layer "--
16 meets the requirements of the specification, it
17 will be considered acceptable and paid, but
18 otherwise the contractor shall be required to
19 repeat additional trial sections until the
20 material meets the requirements of this
21 specification, and the contractor shall be
22 responsible for all costs associated with that."

23 (As read).

24 And again, was that part of
25 your recommendation to do the trial sections?

1 A. I believe, yes, it was.
2 It was based on my airport experience, so I think
3 it -- this is what I -- yeah, I believe that was.

4 Q. Okay. Thank you. You
5 can take that down, Registrar.

6 And going back to your first
7 meeting with Mr. Moore respecting the Red Hill on
8 January 11th, 2005, was there any doubt in your
9 mind from that point forward that Mr. Moore wanted
10 to use a perpetual pavement structure with an SMA
11 surface course on the Red Hill?

12 A. No, no. He -- that was
13 clear to me that he wanted to use perpetual
14 pavement with the SMA surface course.

15 Q. Okay. And we know, as
16 we've discussed, that the CTAA paper wasn't
17 actually published until I think it was late 2006,
18 but that you wrote the abstract for back in
19 February 2005 and prior to completing the
20 feasibility study. I mean, was there ever any
21 doubt that that was going to be the result, that
22 the perpetual pavement structure with the -- both
23 options having the SMA surface course, that that
24 was going to be the preferred method of paving the
25 Red Hill?

1 A. No. After -- you know,
2 the feasibility study showed us, no, it was -- and
3 I was convinced, right, that that would be the
4 implemented solution, so perpetual pavement with
5 SMA surface course, no doubt.

6 Q. Okay. Thank you. And if
7 we could go to image 30. Maybe 31 as well. I'm
8 not sure if that continues onto the next page. So
9 just image 30, please.

10 And so on July 28th, 2006
11 Golder submitted a proposal to Philips which was
12 the contract administrator for the paving
13 construction on the Red Hill outlining Golder's
14 scope of work pertaining to:

15 "...the request and laboratory
16 and field testing inspection services for the main
17 line paving of the Red Hill Valley Parkway."

18 This was a revised proposal.
19 There was an earlier one but....and I believe this
20 is also where your CV is -- that I was asking you
21 about at the start was from this document, was
22 attached to it.

23 Does this excerpt describe
24 what Golder's responsibilities ultimately were for
25 the quality assurance role that it assumed for the

1 Red Hill Valley Parkway paving construction?

2 A. Yes, it does.

3 Q. And to boil it down it's
4 quality assurance testing and inspection of the
5 asphalt materials; is that right --

6 A. Yes, it --

7 Q. -- generally?

8 A. Sorry.

9 Q. No, go ahead.

10 A. Yes, it is quality
11 assurance. Quality assurance is done on behalf of
12 the owner, yes. That was quality assurance.

13 Q. As opposed to the quality
14 control which is done on the paving contractor
15 side?

16 A. Yes. Quality control is
17 done by the contractor to check the quality of the
18 product, and quality assurance is to confirm the
19 quality, and it's typically a base for acceptance.

20 Q. Okay. And so as I
21 understand it, Golder as part of that, you know
22 doing the quality assurance testing, the
23 laboratory and field testing and inspection
24 services as we talked about, and also for
25 approving or not approving asphalt mix designs.

1 Is that one part of the role?

2 A. Yes, it is.

3 Q. And as part of that
4 includes approving or not approving the
5 characteristics of the aggregates used in the
6 various asphalt layers; is that right?

7 A. Yes, it is.

8 Q. And as well results of
9 the test strips that are laid that we just
10 discussed, the rich bottom layer and the SMA
11 surface layer, for conformance with the project
12 specifications; is that correct?

13 A. Yes, it is.

14 Q. And communicating those
15 results to the contract administrator, being
16 Philips, and as well often Dufferin, the paving
17 contractor; is that right?

18 A. Yes. You know, mainly CA
19 because we're hired by CA, but, you know, cc'd the
20 contractor and often the owner.

21 Q. Right. The CA being the
22 contract administrator?

23 A. Yes, CA was the contract
24 administrator. Sorry.

25 Q. Okay. And -- right.

1 This is for all the pavement layers. It's not
2 just the SMA surface layer; it's all of the
3 pavement layers that are being laid in series?

4 A. Yes, all pavement layers.
5 You're right.

6 Q. Okay. And so if
7 something conformed to the contract
8 specifications, then you on behalf of Golder would
9 approve, and if they did not, then you would not
10 approve, is that correct, subject to the client's
11 final decision?

12 A. Yes, generally yes, it
13 is.

14 Q. Okay. Now, I understand
15 that SMA, the surface layer in this case, has some
16 peculiarities and challenges in mix design and
17 placement procedures. Could you describe some of
18 those.

19 A. Yes. You know, SMA, it
20 is, you know -- it is a premium mix, but it's not
21 easy to design, not easy to place and compact.
22 SMA, there are some differences between
23 conventional mix like, you know, Marshall mixes or
24 Superpave mixes and SMA. SMA is an upgraded mix
25 or -- so where, you know, the main part is the

1 stone-on-stone contact, so it includes mainly
2 coarse aggregate and very fine aggregate with a
3 very little amount, a very small amount of the
4 medium fraction. So it's called upgraded mix as
5 opposed to continuously graded mix like Superpave
6 or Marshall mixes. And at the same time it has I
7 would say very high asphalt cement content or ACS.
8 And in order to allow this high AC content and
9 avoid drain down we had to add fibre; it's
10 typically cellulose fiber. And --

11 Q. So that's cellulose
12 fibre?

13 A. Cellulose fibre. But
14 yes, it's not to provide the strength, but to
15 allow addition of this high amount of asphalt
16 cement and to prevent drain down.

17 Q. Do you mean drain down of
18 the asphalt cement?

19 A. Yes.

20 Q. So to hold it together?
21 It's a binder to hold it together; is that right?

22 A. Yeah. If you -- let's
23 say in SMA you have about 6 percent asphalt
24 cement. If you added 6 percent to regular
25 Superpave mix, then the asphalt cement would drain

1 down. You would have dripping asphalt from the
2 mix, so that was the idea. And particularly that
3 we didn't have this medium, medium fraction in the
4 mix, So we had to use additional ingredient to the
5 mix to make sure that it will be -- it will hold
6 together.

7 So this is, you know -- so
8 there are some challenges from the, you know, mix
9 design. But, you know, actually, you know, over
10 time it was, you know, established and, you know,
11 a good experience. But I realize that it's -- you
12 know, it's not easy. So one thing is the mix
13 design.

14 Now, another thing is
15 placement and compaction because, you know,
16 this -- typically SMA incorporates higher quality
17 asphalt cement. So it's, you know -- you probably
18 noticed here that with specified performance
19 graded asphalt cement, 70 to minus 28, and that
20 asphalt cement incorporated a pretty high amount
21 of polymer. Polymer makes it sticky. So, you
22 know, there is, you know, one aspect -- I don't if
23 you want me to --

24 Q. Yes, 70. When you
25 referred to the performance-graded asphalt cement,

1 70-28, that's the temperature range?

2 A. Yeah, so 70 is the high
3 end temperature; minus 28 is the lower end
4 temperature. So in order to have this big range,
5 because, you know, the rule of thumb is that if
6 you add both numbers if you are above 92, you have
7 to add a pretty high amount of polymer. So they
8 had to add, you know, a significant amount of
9 polymer. I don't want to go into details because
10 it's confidential how the suppliers produce it.
11 But we know that it -- so it's very sticky.

12 So you are -- on one hand you
13 are limited when it comes to compaction equipment
14 because you don't want to use rubber tired roller
15 because it can make it -- we call it fat spots.
16 But, you know, at the same time it's so stoney
17 that it's not easy to compact. Actually
18 compactiveness of SMA is only about 30 percent of
19 what conventional mix has. So it's very difficult
20 to compact, and we call it not forgiving mix. So
21 if you don't compact it while it is very hot, this
22 is it, you will not be able -- you can use heavy
23 rollers and you can pack it -- you will crush the
24 aggregate, but you will not compact it.

25 So at the same time, like, we

1 have to be careful with using heavy vibrator
2 rollers because if you, you know, pack it too
3 much, then you can crush the aggregate. So we
4 want to have compaction, but there are some issues
5 that have to be taken into account during -- so
6 that's why I say it's not --

7 Q. So if I could just unpack
8 a couple of things. You don't want to -- you are
9 not supposed to use the rubber rollers because it
10 creates what you call fat spots, and because of
11 the stickiness essentially of the mix?

12 A. Yes, yes. The, you know,
13 rubber tires can cause fat spots or, you know,
14 flash --

15 Q. The rubber tires.

16 A. -- and we call it --
17 yeah, rubber tires, yes, With pneumatic tires.

18 Q. Right. And then with the
19 extra effort or the difficulty with compaction,
20 you have to be careful not to crush or crack the
21 aggregate. I think that was the second thing that
22 you said there; is that right?

23 A. Yeah. We have -- you
24 know, you have to be very careful with compaction
25 because, you know, if you static rolling, that's

1 okay. But if the contractor applies vibration
2 late in the compaction process when the mix gets
3 cool, they may not get compaction, but they may
4 crack the aggregate. So it's not easy to compact.
5 There are some challenges.

6 Q. Okay. And with respect
7 to vibratory rollers, you have the asphalt rolling
8 machines which have the ability to use a vibration
9 function to assist in compaction; is that correct?

10 A. Oh, yes. You can have,
11 you know, even pretty heavy vibratory rollers, but
12 you can turn off the vibration and use it in
13 static mode.

14 Q. Right. That's what --
15 and you've referred to static mode, which means
16 without vibration; is that right?

17 A. Without, yes.

18 Q. And am I correct
19 typically in your understanding that it's not
20 recommended for SMA to use the vibration function;
21 is that right?

22 A. You can use it, but, you
23 know, you have to be very careful. Actually
24 vibration can be used only when the mix is very
25 hot because if it -- if the temperature -- I

1 believe it is about 140 degrees, the temperature
2 drops below 140 and you have the stone-on-stone
3 aggregate, this heavy vibration or heavy vibrator
4 rollers, they cause some aggregate -- you know,
5 they crush the aggregate. So compaction procedure
6 has to be addressed very carefully.

7 Q. Okay. And if we could go
8 to image 49, please, in the OD. Maybe also the
9 next page, image 50.

10 This is jumping ahead, but in
11 paragraph 100 are the site meeting minutes from
12 July 10th, 2007, which is about three weeks before
13 the actual SMA paving started on August 1st, 2007.
14 And this was the meeting that the minutes indicate
15 you were in attendance at, and it's discussing the
16 status of a number things at the time, including
17 the progress of the paving to that date. And if
18 we go to the top of image 50 there under "Material
19 Testing," it indicates:

20 "Golder requested that
21 Dufferin produce a trial batch of SMA for the
22 field labs to work out testing correlation
23 differences --" which we'll get to, but then "--
24 Golder indicated the vibratory roller currently
25 being used by Dufferin is likely too heavy for the

1 SP19 and SMA pavement layers." (As read).

2 So is that the issue that you
3 were just talking about?

4 A. Yeah --

5 Q. The vibratory roller.

6 A. So, you know, that
7 vibratory roller, if it's used in static mode it's
8 okay, but applying vibration, they would have to
9 be very careful with applying vibration because,
10 you know, on the stone-on-stone mix it can cause
11 some aggregate breakage.

12 Q. And if aggregates are
13 crushed or cracked, however you describe it,
14 during the compaction process, what affect does
15 that have on pavement performance?

16 A. Obviously we don't want
17 to use it because it -- you know, it will have --
18 it can have impact on durability.

19 Q. So how long it lasts?

20 A. How long it lasts. Yeah,
21 but, you know, at -- at the end, like, you know,
22 we -- I don't know if this is the time for this
23 comment. We work with Dufferin. We establish
24 compaction procedure, and when we -- when City
25 took number of samples in 2018 and we tested, the

1 results were very good, show that almost there was
2 no degradation of the asphalt cement.

3 Q. And we will get to that
4 in Phase 2.

5 A. Okay.

6 Q. I understand. I'm just
7 asking directionally --

8 A. Yes.

9 Q. -- with -- if you do have
10 crushing of aggregates, and we'll see that was an
11 issue with the test strip when we get to it, but
12 if you do have crushing, you indicate there can be
13 an effect on the durability of the pavement?

14 A. Yes.

15 Q. Is that right?

16 A. Yes.

17 Q. Okay. And does that have
18 any effect if there's crushing or cracking of
19 aggregates on the frictional quality of the
20 pavement in your view?

21 A. No, no, I don't -- I
22 don't think so, no.

23 Q. Okay. Now, at the time
24 you were developing the Red Hill paving
25 specifications, so as we said you presented the

1 proposal in late 2005 for the tender which was
2 issued in late April 2006, you were familiar I
3 take it with the Ministry of Transportation of
4 Ontario's "Designated Sources of Material List,"
5 called the DSM for short?

6 A. Yeah, of course I was.

7 Q. Right, and the DSM of
8 course deals with lots of different materials, not
9 just pertaining to what we're going to talk about,
10 but you would agree that the DSM lists the
11 products and their sources that the MTO will
12 accept as suitable for MTO contracts; is that
13 correct?

14 A. Yes. Yes, it is.

15 Q. Okay. And part of the
16 DSM includes the listing of sources of aggregates
17 that are pre-approved for use by the MTO on MTO
18 projects, correct?

19 A. Yes, it is.

20 Q. And at the time when you
21 were developing the specifications in 2006, were
22 you aware that one of the purposes of
23 pre-qualifying aggregates for use in asphalt mixes
24 by listing them on the DSM for use in surface
25 courses is to ensure that those aggregates have

1 adequate skid resistance?

2 A. Yes, yes.

3 Q. Okay. All right. And
4 were you aware at that time, again in 2006 when
5 you were developing the specifications, that it
6 was a requirement of being placed on the DSM, that
7 skid testing and polished stone value testing be
8 conducted on a road or a test strip using those
9 aggregates, the aggregates in question?

10 A. Yes, I was.

11 Q. Okay. And to come back
12 to the earlier question, we talked about your
13 experience with -- at JEGEL in particular with
14 doing British pendulum testing, but were you
15 familiar that the MTO's approach for measuring
16 friction was to do skid testing using a locked
17 wheel tester? Was that something you were
18 specifically familiar with?

19 A. Well, I know that they
20 used a locked wheel tester. I know that the
21 tester was developed by -- years ago by Dynatest,
22 so I knew what kind of equipment they use.

23 Q. At the time as opposed --
24 I know you do today, but at the time were you
25 aware, yes?

1 A. Yeah. At the time I
2 think I did, yes.

3 Q. Okay. And the polished
4 stone value test, that's a test to determine
5 resistance of an aggregate to polishing. Is that
6 something you were familiar with at the time?

7 A. Yes. Yes, I was.

8 Q. Okay. And you're aware
9 that essentially it's predictive of the
10 microtexture and how microtexture will reduce over
11 time as the aggregate polishes, and therefore
12 affect predictively skid resistance over time. Is
13 that something you were aware of?

14 A. Yes. Yes, I was. It
15 evaluates the resistance to polishing of the
16 aggregate, yes.

17 Q. Okay. Now, we know that
18 Dufferin, the paving contractor, proposed in
19 March 2007 to use aggregates from -- to mix
20 aggregates for the SMA and SP19 FC2 surface
21 courses.

22 And if we could go to
23 images 33 and 34 of the overview document.

24 Specifically it's paragraphs
25 (b) and (c) that I'm looking at for the moment,

1 and then your -- it will be your response which is
2 dealt with below there. And so at the bottom of
3 image 33 in paragraph 66(b), it indicates Vincent
4 Gangaram, laboratory supervisor of Dufferin, wrote
5 to Mr. Meranzin who is the Philips contract
6 administrator representative, regarding the
7 approval of Demix-Varenes trap rock aggregate,
8 and he writes to him at the top of the page that:

9 "Dufferin Construction is
10 seeking approval to use an externally sourced
11 crushed trap rock in the Superpave 12.5 FC2 and
12 SMA mixes. The source Demix-Varenes Quarry
13 located in Quebec and not currently listed on the
14 Ministry of Transportation Ontario's designated
15 source list DSM."

16 He refers to it being --

17 "The aggregate is used as a
18 reference aggregate by the Ministry of
19 Transportation Quebec for the CPP test, skid
20 resistance and on several asphalt paving projects,
21 including Picardie Street in Varenes. Please
22 find attached physical test data submitted by the
23 demix. Your prompt response would be highly
24 appreciated to ensure a timely completion of
25 aforementioned mix designs."

1 Mr. Meranzin in subparagraph
2 (c) indicates he faxes you -- we were dealing with
3 faxes occasionally back then -- the letter to you,
4 and it references that they are "...seeking
5 approval to use Demix-Varennes trap rock aggregate
6 for the Superpave and SMA mixes."

7 And attached to that letter,
8 if we could go to -- attached to the letter, if we
9 could go to Golder 4873. And it's a little bit
10 unclear, bit unclear, but in the very bottom left,
11 this is a quality control technical data for
12 Demix-Varennes Quarry, and it has a date; at the
13 top it says that March 2007. But in the bottom
14 left of the chart it says "polishing by projection
15 coefficient, CPP." Can you see that?

16 If you could expand that,
17 please. Maybe just the bottom four lines of that.
18 Yeah, that's good. And right across. We can
19 start -- we'll start there. "Polishing by
20 projection coefficient, CPP," second line from the
21 bottom. Do you see that?

22 A. Yes, I -- yeah, I can
23 see, yeah.

24 Q. Okay. And what's -- were
25 you familiar with that test, polishing by

1 projection coefficient, CPP?

2 A. I wasn't before, but when
3 I receive this thing then I read about CPP, and
4 then CPP is the method of evaluating of aggregate
5 resistance to polishing used by MTQ or the
6 Ministry of Transportation in Quebec. It was
7 developed in cooperation, I think, with French
8 laboratory and it was described by -- obviously I
9 look at this, what it was. It was described in
10 CTAA paper by people from MTQ. You know, I knew
11 people from MTQ, and, you know, their contribution
12 to CTAA.

13 So there was a CTAA paper by
14 Pierre Langlois and Guy Tremblay about how they
15 evaluate aggregates, and they described CPP
16 methodology. And also there was a technical paper
17 by U.S. Army Corps of Engineers, and I think it --
18 roughly about, you know, somewhere about 2000, and
19 it's called synthesis -- I think something -- no,
20 maybe Synthesis 99 maybe, dash 20 or something,
21 and when they describe this method of polishing
22 resistance evaluation. And so obviously I read
23 about this values -- about -- read about this
24 methodology just to familiarize myself with this
25 -- with the methodology. So after I received this

1 I read it, I read what it was, what methodology it
2 was.

3 Q. Okay. Does it have a
4 similar -- you take that down, please, Registrar.

5 Does it have a similar purpose
6 to the polished stone value test about measuring
7 the polishing qualities of an aggregate?

8 A. Yes. It measures the
9 polishing resistance of the aggregate, but, you
10 know, with some differences. They also use --
11 like in PSV they use British pendulum testers to
12 evaluate before and after polishing, but the
13 method of polishing is somewhat different between
14 PSV, British method, and this French method.

15 Q. Right. The British and
16 the French do it a bit differently, and the -- and
17 you're talking about the actual polishing method
18 is different, not the -- then the using the
19 British pendulum method to actually measure the
20 frictional qualities after the polishing; is that
21 right?

22 A. Yeah. So they both use
23 BPN, British pendulum number, British pendulum
24 tester, but the method of polish (indiscernible)
25 actually, as far as I know, you know, the people

1 in Quebec consider this thing more reliable than
2 PSD, so, you know, it's more recognized in
3 Quebec --

4 Q. Okay.

5 A. -- this methodology.

6 Q. But this isn't -- this
7 isn't part of the contract requirements that were
8 in the tender for the Red Hill Valley Parkway.
9 That wasn't a contemplated test, correct?

10 A. No. No, it was not.

11 Q. Okay. Can we take that
12 down, please, Registrar.

13 And so on -- in the overview
14 document, images 34 to 35, which is I think where
15 we were, you wrote back along with Michael Navarra
16 at Golder and then here in training to Philips
17 Engineering and to Hamilton, Mr. Oddi,
18 specifically regarding your review of the
19 aggregate physical properties and the test results
20 that had been sent to you. And I won't read
21 through the entire document that has been
22 reproduced in total here.

23 And you note that it's not on
24 the MTO's -- this is in the second paragraph -- on
25 the MTO's DSM list, and so to be approved for use

1 in the RHVP they must meet the aggregate
2 requirement specified in the OPSS standards,
3 including method of testing and specifications.

4 So what -- having received
5 this request, and we can read the letter, and you
6 go on for various reasons it's not currently
7 acceptable and we'll -- for use in the Red Hill,
8 and we'll talk about that. But when you got this
9 request about using the Demix-Vareennes aggregates
10 and it not being on the DSM, what was your
11 reaction and did you have any concerns at the
12 time?

13 A. So, you know, first of
14 all, because I would prefer if it was on the DSM
15 list, but it was not. So, you know, I express my
16 concerns here in this, so if you look at the --
17 actually, you know, what you showed just a moment
18 ago, the results the demix aggregate, there is
19 one -- the results were, for the aggregate itself,
20 they were excellent. Rarely, you know -- results
21 that you rarely see in terms of very low Los
22 Angeles abrasion, very low micro Micro-Deval, and,
23 you know, other characteristics. So, you know,
24 there's good CPP, but this aggregate was not on
25 the DSM list, one thing. Another thing, that

1 testing that they sent was not done by a
2 CCIL-certified laboratory by the manufacturer.

3 Q. Sorry, that CCIL lab,
4 right?

5 A. Yes. It was not CCIL
6 certified. It was done by the manufacturer. So
7 the OPSS 1003 clearly requested or required that
8 testing should be done by a CCIL certified
9 laboratory. So that was one thing. So it was the
10 result done by laboratory that wasn't certified.
11 Then those characteristics, I wanted in-depth
12 testing to include all tests that were required in
13 OPSS. And another item was some of those results
14 were outdated. I wanted to have the most recent
15 results. So that's why at that point of time, you
16 know, I had to state that this -- I realized it
17 was a good quality aggregate, but for me it was
18 not acceptable. For us, it was not acceptable.

19 Q. Right. And you list the
20 items that they have to meet in order to be
21 approved in those bullets. You give your comments
22 and state that they are currently -- for
23 Demix-Varennes Quarry are currently not considered
24 acceptable for use on the project is how you
25 conclude your memo.

1 But you said you would have
2 preferred it be on the DSM. Why is that?

3 A. Because, you know, if
4 it's on the DSM list, then, you know, MTO takes
5 care of these thing. So, you know, in terms of
6 all of these characteristic, these are done by --
7 the characteristics are tested, and also in terms
8 of the PSV and frictional performance is verified,
9 all characteristics testing is done on time, and
10 testing is done by CCIL certified laboratory. So
11 I would not have to think about this because I
12 knew it was on the DSM list because they would
13 still have to submit with the results, not PSV but
14 other results they would still have to submit, but
15 I knew -- I would know that that was within the
16 limits.

17 Q. Because that had been
18 pre-qualified by the MTO.

19 A. It pre-qualified, yes.

20 Q. Okay. And in developing
21 the specifications, if you know you would have
22 preferred that it be on the DSM, why did you not
23 make it a requirement that the surface course
24 asphalt contained an aggregate that is -- an
25 aggregate source listed on the DSM?

1 A. You know, at this point
2 of time, like, you know, I just stated it would --
3 the aggregate would have to be -- meet the
4 requirements of OPSS 1003 which is the OPSS
5 specification for aggregate, so I stated this, and
6 it was -- it wasn't a common, you know, practice
7 by municipi- -- because it wasn't a provincial
8 project. It was municipal project. So it wasn't
9 a common practice for a municipality to put
10 additional requirements, and OPSS standards are,
11 you know, very highly appreciated across Canada,
12 and, you know, honestly speaking even in the US.
13 Like, you know, sometimes I'm asked to --

14 Q. Right.

15 A. -- even to send. So I
16 have extremely high opinion about OPSS. So I
17 thought if I specify that it was -- it would have
18 to meet OPSS 1003 requirements that would be --
19 that was the most common approach of (skipped
20 audio) qualities.

21 Q. Okay. If we can go to --
22 and you refer to OPSS 1003 --

23 A. Yes.

24 Q. -- November 2004
25 specification in your memo. Okay. If we could go

1 to Golder 3905, which is OPSS 1003.

2 This is the one that you are
3 referencing in your memo?

4 A. Yes. This is the
5 November 2004 OPSS standard, yes.

6 Q. Right. Okay. And if we
7 could go to image 19. And maybe just have the
8 previous page too just so we have -- make sure we
9 have the heading and so forth. We have both?
10 Yeah. Thank you.

11 Right. And you see that
12 there's a note at the top of image 18 which says:

13 "This appendix does not form
14 part of the standard specification. It's intended
15 to provide information to the designer on the use
16 of this specification in the contract."

17 And it goes on to refer to a
18 number of things with respect to hot mix asphalt.

19 And then, for example, at the
20 bottom of image 18, if you could expand that, the
21 last paragraph. The last paragraph, please.

22 Thank you.

23 So, for example, the
24 specification requires coarse and fine aggregates
25 for SMA, DFC and Superpave 12.5 FC2 to be from the

1 same aggregate source. And so that's one thing,
2 and that is a requirement that you included; is
3 that correct?

4 A. Oh, yes. Yeah, that
5 was -- initially they wanted to use different
6 sources, but, you know, there is a requirement,
7 but it's also in the -- it's in the appendix, but
8 it's also in the -- because OPSS standards -- you
9 know, OPSS specifically has two pieces. One is
10 the first one, mandatory part, and then the
11 appendices which are just information.

12 So in the mandatory it's also
13 stated that it -- the coarse -- the aggregate has
14 to be from the same source. So the blending of
15 aggregate for this FC2 and SMA mixes and DFC that
16 what it uses, so blending is not allowed.

17 Q. Right. Okay. And if you
18 could reduce that. And then on the next page
19 there, the third paragraph up from the bottom
20 starting "the designer should provide," if you
21 could expand that.

22 "The designer should provide a
23 list of approved aggregate sources for SMA, DFC
24 Superpave 12.5 FC2 coarse and fine aggregates and
25 HL1 and Superpave 12.1 FC1 course aggregates."

1 That is indicating that the
2 designer should provide a list of approved
3 aggregate sources. That's referring presumably to
4 the DSM; is that right?

5 A. Yes. Yeah.

6 Q. Okay. So it's -- and if
7 I take your prior answer, is that the appendix is
8 not a mandatory requirement, it's not in the main
9 body of the OPSS 1003, but nonetheless it is
10 stating that that is something that should be
11 done, correct?

12 A. Yes, it is, you know,
13 provided for information. Yes, it is the
14 information. I'm in the OPSS committee, so I know
15 how this thing is. So it's not mandatory, but
16 it's considered, you know --

17 Q. It's good practice.

18 A. A good practice, yeah.

19 Q. Right. And you're aware
20 of the DSM, so it's not -- it would not -- I
21 appreciate what you said that it is perhaps not a
22 common practice for municipalities, but you're
23 aware that it is what the MTO did was require
24 DSM-approved aggregate sources to be used for high
25 volume roads and they're -- for surface courses,

1 and you have this recommendation here that the
2 designer should provide the list of approved
3 aggregates in this appendix to the OPSS 1003. So
4 you're aware of both of those things, right?

5 A. Yes, I know. The MTO
6 would include, yes.

7 Q. I'm sorry?

8 A. MTO would include.

9 Q. Right. If it was an MTO
10 road, absolutely it would be included; that it
11 would have been a requirement, right?

12 A. Yes.

13 Q. Nonetheless did it occur
14 to you to specify that it would have to be a --
15 when you were developing the specifications, that
16 it would have to be a DSM-listed aggregate source
17 or no?

18 A. So, you know, when I --
19 at the design stage I just reference OPSS 1003,
20 and then it would be only the aggregate -- like,
21 you know, to meet those requirements that are
22 listed there, that would have to be from the DSM
23 list. Because, you know, if you look at the
24 requirements of the aggregate, that would -- they
25 are very, very, very, very, very tight. There

1 would have to be, you know, material. But, you
2 know, DSM, actually DSM is not mentioned in the
3 standard, OPSS 1003. It is in the current version
4 but that was the 2004 version.

5 Q. I understand.

6 A. DSM is not even
7 mentioned.

8 Q. No, but as you
9 indicated -- and I appreciate it, but as you
10 indicated, you knew that's what this referred to,
11 the list of approved aggregate sources, if I
12 understood you correctly?

13 A. Yes.

14 Q. Okay. Thank you. So if
15 we can move away from that document, Registrar,
16 and go back to the overview document paragraphs
17 that we were at, pages rather. 34 and 35, images
18 34 and 35 of the OD. Thank you.

19 So at the end in this memo you
20 indicated that it's not acceptable for use on this
21 project, at that time, currently. And -- so they
22 have to go back, Dufferin needs to go back at that
23 point to satisfy the list of items that you set
24 out; is that correct?

25 A. Yes.

1 Q. Okay.

2 A. Yes, it is.

3 MR. LEWIS: And it's
4 26 minutes after, Commissioner. Normal break time
5 is 11:30. I was going to move on to another set
6 of documents, so perhaps this would be a good time
7 to take our morning break.

8 JUSTICE WILTON-SIEGEL: Okay.
9 That'll be fine. So we'll stand adjourned for
10 15 minutes until 11:42.

11 --- Recess taken at 11:27 a.m.

12 --- Upon resuming at 11:43 a.m.

13 MR. LEWIS: Thank you,
14 Commissioner. Can we proceed?

15 JUSTICE WILTON-SIEGEL: Please
16 do.

17 BY MR. LEWIS:

18 Q. My very able associate
19 Chloe Hendrie reminds me that I did not make an
20 exhibit of RHV935, which is the signed feasibility
21 study dated August 2005. And so, Commissioner, if
22 we could mark that as Exhibit 18. Thank you.

23 EXHIBIT NO. 18: Perpetual
24 Pavements feasibility Study dated August 2005,
25 RHV935.

1 BY MR. LEWIS:

2 Q. Registrar, if we could go
3 to overview document 3, image 39.

4 And paragraph 74,
5 Dr. Uzarowski, on April 23rd, 2007 Mr. Arnicas,
6 that's Paul Janicas of Dufferin, e-mailed you
7 following up on an earlier meeting on April 13th
8 and attaching a letter dated April 23rd, 2007 with
9 SMA and other asphalt aggregate test results by
10 Trow for Dufferin, and he answers some of the
11 questions in your earlier memo that the proposed
12 surface coarse aggregates from Quebec demix have
13 tested for physical properties in a CCIL certified
14 laboratory, and he attaches to results which he
15 says conforms to all the requirements of the
16 contract. DCC requests that these aggregates be
17 approved for use in the SMA and 12.5 FC2 mixes,
18 and then he asks that Dufferin request the fine
19 aggregate used in both the SMA and 12.5 FC2 be
20 obtained from different sources from the coarse
21 aggregates.

22 So first of all, do you recall
23 -- did the results meet the contract requirements
24 for the SMA?

25 A. The results that Paul

1 Janicas send me, yeah, they were excellent --

2 Q. Okay.

3 A. -- results. Actually
4 rarely, you rarely see the aggregate results that
5 are so good.

6 Q. Okay. But what about the
7 second part. This is what you referred to
8 earlier, that they were requesting that the
9 aggregate in the SMA and 12.5 FC2 be obtained from
10 different sources from the coarse aggregate. So
11 we know they reversed on this after, but that's
12 the point you were talking about, that we were
13 talking about, that you have to be from the same
14 source, all the fines and coarse aggregates?

15 A. Yeah. They want -- at
16 that point of time they wanted to use the coarse
17 aggregate from the mixed quarry and the fine
18 aggregate from Marmora Quarry in Ontario that was
19 actually on the DSM list, but that was against
20 OPSS, that OPSS states that the aggregate should
21 be from the same source. So I was -- it was
22 against the OPSS.

23 Q. Right. And that's -- I
24 mean, that's also the MTO requirement, right, for
25 their projects?

1 A. Yes, yes. It was a
2 requirement that it should be from the same
3 source.

4 Q. Okay. And the physical
5 properties, that's referring to what, which test
6 results?

7 A. So, you know, I don't
8 have them in front of me, but I can tell you the
9 two main for me were the LA -- Los Angeles
10 abrasion and Micro-Deval. You know, so I can tell
11 you I was, you know, impressed how good the
12 results were because they were -- as far as I
13 remember, that the LA was less than 20 and
14 Micro-Deval was about 1.5, so exceptional results.

15 Q. Well, rather than do a
16 memory test, why don't we pull them up.

17 A. Yeah, if you can --

18 Q. Why don't we pull up
19 Golder 1769, and beside it Golder 1770.

20 A. So I think this one shows
21 the first Micro -- and I'm talking about this
22 aggregate testing results. So this is Micro-Deval
23 and 1.7, and I'm looking from what is the type
24 of aggregate because there were a number of
25 results. So 1.7, 1.7 Micro-Deval, you know, it is

1 exceptional. I would say it is exceptional, 1.7.

2 I don't know if you have more result because --

3 Q. We can go to the next

4 page.

5 A. Yeah.

6 Q. I think there's

7 additional pages. On 1770, Registrar.

8 A. Because this is, you

9 know, fine -- this is fine aggregate. I'm looking

10 what fraction. Oh, this is from the screening.

11 That one was for coarse aggregate, the biggest

12 one, 1.7. Here you have for the screenings. The

13 screenings are the -- you know, there's fine

14 aggregate, so we say .5 is good, but the coarse

15 aggregate was excellent. And then if we can go

16 further.

17 Q. The next page, please.

18 A. Yes. So now we have

19 absorption 0.7, so it's -- I know maybe I can use

20 the --

21 Q. Are you looking at the

22 Micro-Deval --

23 A. Yeah, so it is --

24 Q. -- at the bottom?

25 A. So it is -- let me look

1 at this screen. Sorry. You know, it's -- so
2 yeah, yeah, this is like Micro-Deval, and this is
3 for 12.5-millimetre stone. So it was three, and
4 I, you know -- but if you look at the requirement
5 for this aggregate is 10. So this is excellent;
6 this is excellent plus, you know. All aggregate,
7 because it's quarry aggregate with hundred percent
8 crush. Then let me check here because, you know,
9 other characteristics, okay. The petrographic
10 number, it's fantastic. It's 101. You see the
11 requirement for this aggregate would be 120, so
12 it's really excellent. It's not elongated, but
13 it's flat (indiscernible). It's how you crush,
14 very good.

15 So all these characteristics
16 are -- in my opinion, they are excellent. So
17 actually you rarely, you know, the aggregate that
18 are -- that have so good characteristics.

19 Q. Okay. Next page.

20 A. And here is you have the,
21 you know, the chip, so this is the second.
22 Previous one was 12.5. (Indiscernible) chips are
23 coarse aggregate. So you see in this case
24 Micro-Deval is even better, so it's 1.4. You have
25 some, like, you know, major, major -- of course

1 it's hundred percent -- it's quarry so its hundred
2 percent crushed. Okay.

3 Now, petrographic number is
4 even better; it's 100. Flat and elongs are I
5 think of very low -- so again, you know, these
6 results are even better than what you showed in
7 the previous -- in the previous page, so these are
8 excellent results.

9 Q. Next page. I believe
10 there's one more.

11 THE REGISTRAR: Sorry,
12 Counsel, that seems to be the end.

13 MR. LEWIS: Okay. Thank you.

14 BY MR. LEWIS:

15 Q. And Micro-Deval is --
16 what does that show you? What does the
17 Micro-Deval test show you?

18 A. Micro-Deval, it's a
19 resistance to abrasion. So in Micro-Deval you
20 place a certain amount of particular fracture (ph)
21 aggregate, you put in a small drum, and it's a wet
22 test. You add the number of small steel balls,
23 and you run it for a number of hours and you
24 determine how the aggregate will break. So it's
25 abrasion resistance, resistance of the aggregate.

1 So it is sort of an indication
2 of -- typically Los Angeles abrasion and
3 Micro-Deval abrasion, they indicate -- they are
4 indicator of skid resistance, resistance of the
5 aggregate. So this is abrasion or wear of the
6 aggregate. So it shows this aggregate -- like,
7 you know, I can -- so, like, you rarely see
8 something like 1.4, so this aggregate almost
9 doesn't abrade, doesn't wear.

10 Q. So you refer to the LA
11 abrasion. That's a different test that
12 Micro-Deval?

13 A. Yes. I was also talking
14 about LA because later on we did those LA. But
15 this is -- LA is more drastic than this, but this
16 simulates the wear or the abrasion that occurs in
17 highway pavement or road pavement.

18 Q. Right. If we could then
19 go back from these documents to the overview
20 document, image 39, please.

21 And as I indicated he --
22 Mr. Janicas in paragraph 74, he's requesting the
23 aggregates be approved for use, and he -- there
24 certainly is not an immediate approval at that
25 point from you. Is there a reason for that at

1 that time?

2 A. You know, it was, like,
3 you know, so many years ago, so, you know -- I
4 know that there was some -- I don't remember
5 exactly the dates when identified. There were
6 some aggregate breakdown.

7 Q. Right. Which is in --
8 you're talking about in the ignition testing --

9 A. In the ignition oven,
10 so --

11 Q. Which we'll get to, and
12 that's in July, so we'll get to that in a minute.
13 I'm wondering why here we're in late April. He's
14 provided you these test results, which you
15 indicate are very good. There's no approval
16 forthcoming at that time. Do you recall why?

17 A. No, I -- you know, it was
18 such a long time ago. I don't -- I don't know
19 whether we issued any -- I don't have records of
20 this. I know that in my opinion the aggregate was
21 of very good quality, so I had no base to reject
22 the aggregate, and I think I would anticipate very
23 good performance of this aggregate, but I don't
24 have -- I don't have any -- I couldn't find any
25 written record of approval of that.

1 Q. Well, we'll see that
2 there are subsequently Dufferin requesting
3 approval. So we know that it wasn't given at
4 around this time because Dufferin is proceeding
5 under the understanding that they have not been
6 approved. So we can move forward, and we'll get
7 to that point.

8 A. Yeah, I was -- I think I
9 did (indiscernible), and you would probably show
10 later on that I also wanted to, like -- I needed
11 some sort of performance confirmation of that
12 aggregate. So I still was not fully comfortable
13 with this. I was thinking about field
14 performance, a confirmation of that aggregate.

15 Q. Okay. Perhaps -- and
16 we'll see there was a -- the test strip was placed
17 on July 25th, but we'll get to that point.

18 A. It was before, yes.
19 Sorry, I interrupted you, sorry.

20 Q. It's okay. Just to close
21 off the point at the -- if we go to the next
22 image, 40. 40 and 41.

23 Paragraph 78, which is at the
24 bottom of page 40, Mr. Paul Janicas of Dufferin
25 wrote to you on May 2nd, 2007, and he indicates

1 that Dufferin would like to retract their request
2 to the blend of premium sources for the SMA and
3 12.5 FC2, and that they intend to use the coarse
4 and fine aggregates from the same source. So that
5 was the issue we were just talking about before.
6 And then at the top of 41 he goes on to say:

7 "Please advise if this
8 aggregate is acceptable for both mixes as soon as
9 possible as we need to begin our mix designs.
10 Also please advise of the status on all of the
11 other mix designs."

12 So we know at that point that
13 there has not been -- you have not issued an
14 approval of the aggregates at that point since
15 Dufferin is still asking for it. Just to close
16 off that point.

17 Now, if we jump ahead a bit,
18 we know that the paving started in late May
19 of 2007 involving the rich bottom layer and
20 progressed through June and into July. And just
21 to place it, we know that the SMA main line paving
22 began on August 1st.

23 And if we can go to image 48
24 and 49.

25 In paragraph 97 -- oh, and

1 before we -- I apologize. Another exhibit. I
2 will get the hang of this, I promise,
3 Commissioner. But relying on the overview
4 document and already-made exhibits I -- probably
5 take me a bit to train myself, but we need to make
6 the OPSS 1003 document that I discussed with
7 Dr. Uzarowski an exhibit, and that is Golder 3905.

8 THE REGISTRAR: And that's
9 Exhibit 19.

10 JUSTICE WILTON-SIEGEL:
11 Exhibit 19.

12 MR. LEWIS: Yes.

13 EXHIBIT NO. 19: OPSS 1003 -
14 Material Specification for Aggregates Hot Mix
15 Asphalt, GOL3905.

16 BY MR. LEWIS:

17 Q. Okay. So paragraph 97.
18 Mr. Janicas for Dufferin e-mailed to you the SMA
19 mix design for the surface course, and within that
20 e-mail attaching the mix design, which is quite a
21 long document, he's asking for approval of the
22 mix. In the second last paragraph:

23 "Let us know if there are any
24 issues as any delays in the approval of the mix
25 will impact the project schedule."

1 And this appears to be in
2 keeping with the general approach in your quality
3 assurance role, which is that Dufferin would seek
4 approval from you as we discussed with the
5 aggregates, mixed designs and so forth; is that
6 correct?

7 A. Yes. Yes, it is.

8 Q. Okay. And at this point
9 we know that the paving started on August 1st.
10 We're at June 22nd. If Dufferin was required to
11 use a different aggregate source at this point in
12 time because it wasn't approved for use, would
13 that have occasioned some measure of delay for the
14 project?

15 A. Oh, yeah. Very likely it
16 would result in significant delay in all the
17 project and the impact on the time of completion
18 of the project definitely.

19 Q. Right. Hence, Mr.
20 Janicas indicating that it would impact the
21 project schedule if the mix design wasn't
22 approved, right?

23 A. Yes.

24 Q. And the mix design
25 includes the aggregates of course, right? That's

1 just one of the components of the mixed design; is
2 that correct?

3 A. Yes, yes. It's a part of
4 the mixed design, yes.

5 Q. Right. All right. And
6 as I indicated it was actually May 29th that
7 Dufferin commenced the rich bottom layer paving.
8 How frequently were you on-site during the paving?
9 And I appreciate, yes, it was a long time ago, but
10 I mean in general. I'm not asking you specific
11 days but in general. Were you there daily? Once
12 a week? Twice a week?

13 A. Definitely not daily
14 because I had Mr. Andros Delos Reyes. He was my
15 site supervisor --

16 Q. Yes.

17 A. -- so I was in, you know,
18 daily contact with him, so he was the person to be
19 daily, to be on-site. But I would go on-site
20 probably at least weekly or maybe twice a week.
21 It depends on, you know, if there were any issues
22 or -- like, of course if there was a site meeting
23 in Dufferin's trailer, then I would attend it.
24 But if there was any issue then they would -- that
25 I considered I would have to go and visit and

1 evaluate the condition, then I would go. So
2 probably depending on what type of -- what stage
3 of paving, I would go. But -- you know, when they
4 did RBM, when they did SP25, when they did SP19,
5 then I would go and look at it or test -- and I
6 would go probably at least weekly, roughly
7 probably twice a week.

8 Q. Okay. All right. And
9 just for the reporter's benefit, that's Andros
10 Delos Reyes that Dr. Uzarowski referred to
11 earlier, and he was the lab supervisor; is that
12 right?

13 A. He was the site
14 supervisor.

15 Q. Or site supervisor.

16 A. Supervised the -- he
17 supervised the field lab, but also he was doing
18 the inspection and overseeing field testing. Yes,
19 he was the site supervisor.

20 Q. Right. And Golder had a
21 lab set up in proximity to the construction?

22 A. Yes, we had a field
23 laboratory near construction site.

24 Q. Right. And who was your
25 main contact at the City of Hamilton during

1 construction? Was that Mr. Oddi?

2 A. During construction

3 Mr. Marco Oddi.

4 Q. All right. And how often
5 did you communicate with him typically? We've
6 seen the e-mails and so forth, but what about in
7 person?

8 A. In person probably each
9 time I would go, so maybe, you know, depending on
10 the importance of the visit, probably each time I
11 went on-site I would say Marco in person, but, you
12 know, we communicated over internet or sending
13 e-mails or, you know, phone calls, but in person
14 that would be when I went on-site if there was
15 some significant issue that we had to discuss.

16 Q. Okay. And what about
17 Mr. Gary Moore? Did you see him much during
18 construction?

19 A. Not really. Not too
20 often. Probably a few times when we, you know --
21 but no, the main point of contact was Marco and
22 Philips.

23 Q. Okay. And Philips, is
24 that Walter Meranzin principally at Philips?

25 A. Mainly -- I think Gary

1 Tinsley maybe, you know, a few times but mainly
2 was.

3 Q. Walter Meranzin. Okay.
4 And who was your main Dufferin contact. Is that
5 David Hainer?

6 A. David Hainer. From the
7 quality point view, Paul Janicas, and typically I
8 think David Hainer was on-site a few times, but my
9 main contact was Paul Janicas. And I think Peter
10 Gamble maybe like two or three times. I don't
11 remember how. But, you know, a few times Peter
12 Gamble but mainly Paul Janicas.

13 Q. Paul Janicas. Okay. And
14 sometimes Mr. Hainer, but principally --

15 A. Mr. Hainer. There was
16 also some other people from Dufferin, but
17 mainly -- if I recall on-site, I mainly --
18 because, you know, there were some technical
19 issues to be discussed, some finetuning of the
20 mixture during construction, so that would be
21 mainly Paul Janicas.

22 Q. Okay. And as we've seen
23 that Mr. Janicas is -- frequently it's him who is
24 e-mailing you and vice versa, or sending memos to
25 one another about mix approvals, aggregate

1 approvals, so testing and so forth. And I guess
2 your discussions on-site follow that?

3 A. Yes. He was a technical
4 person who, you know, understood the issues, and,
5 you know, he was, you know, very knowledgeable
6 technically, so he was the guy that, you know, I
7 would typically discuss. Other people were
8 probably more from the management point of view,
9 but Paul was the technical guy.

10 Q. Okay. If we go to image
11 50 please, maybe 50 and 51 in case it follows.

12 So at the top of page 50 is
13 the reference to the site meeting that we already
14 discussed on July 10th about the vibratory roller
15 that we discussed earlier just to place this in
16 time.

17 In paragraph 101 on July 17th,
18 2007 Mr. Janicas e-mailed you and Mr. Oddi about
19 ignition oven test results and physical property
20 testing on the aggregates delivered and
21 Micro-Deval test results. And could you first
22 describe what the ignition oven testing is and
23 what its purpose is. I understand generally
24 speaking that it's intended to burn off the
25 asphalt cement leaving the aggregate for gradation

1 testing. Is that in its simplest terms what it
2 is?

3 A. Yes, it is. So, you
4 know, very briefly there are two methods of
5 testing, aggregate. It's aggregate gradation and
6 also asphalt cement content. So one is by solvent
7 or Rotarex method. A solvent is used to dissolve
8 the asphalt cement, and then you determine the
9 asphalt cement content and gradation of the
10 aggregate. Another one is the ignition oven. The
11 ignition oven, the difference is that in the
12 ignition oven method you just burn the asphalt
13 cement. You burn the asphalt cement and then you
14 are left -- so first, by the difference of, you
15 know -- by comparing the weight of the mix before
16 and after burning, you know how much asphalt
17 cement you had, and then after you burn the
18 asphalt cement you can test the gradation of the
19 aggregate. Yeah, so these two methods.

20 Q. The solvent method, it
21 also removes the asphalt cement --

22 A. Yeah.

23 Q. -- just a different
24 method of doing so?

25 A. Yes.

1 Q. Okay. And in this
2 e-mail, Mr. Janicas refers to:

3 "A concern was expressed over
4 the percent breakdown discovered during the
5 ignition oven testing at 30 percent.
6 Dufferin Construction Company understands that
7 this is not what is typically seen. However, it
8 is not a requirement of the contract that these
9 aggregates meet a specific maximum loss during the
10 ignition oven testing."

11 And he goes on to explain the
12 testing that they are doing and that the
13 aggregates are currently being tested at Golders
14 from Micro-Deval, and again asks that the
15 aggregates -- that if the aggregates continue to
16 meet the physical requirements that the SMA mix
17 design will be approved for production. So could
18 you just describe what happened with the ignition
19 oven testing that he refers to and the concern
20 expressed?

21 A. So whenever you use
22 ignition oven you have to do the correlation. So
23 the correlation between solvent, because the
24 solvent is considered to be more realistic than
25 the ignition oven, and determine the correlation,

1 how much aggregate will disintegrate during
2 burning of asphalt cement. Because the ignition
3 oven you have to hit the mix at a very high
4 temperature. It's well above 400; it may be 460
5 or 480, so it's a very high temperature. So some
6 aggregate can disintegrate or can -- you know,
7 some breakdown can occur. So, you know, if it's
8 small then, you know, use a correlation factor,
9 and we can see ignition oven.

10 However, in this case when
11 our -- I think it was our laboratory did it --
12 when they compared the Rotarex or solvent method
13 with ignition oven, they noted that the difference
14 was so significant that we could not base the
15 results on the ignition oven testing. But we have
16 to keep in mind that in the Hamilton field
17 laboratory we only had the ignition oven because
18 it's a -- it's such an environmentally friendly or
19 sensitive area that we would never get permission
20 to do the solvent. So we're only allowed to use
21 ignition oven. But it didn't make sense because
22 the impact of this high temperature was so
23 significant. At the same time the contractor
24 Trow, who was doing the testing of the mix for
25 Dufferin, they used the solvent. So the decision,

1 the final decision was that we would shift the
2 samples from -- samples obtained from the project.
3 We would send them to Whitby for solvent testing.

4 Q. Right. Because you
5 couldn't correlate the results with the testing
6 being done by Dufferin's consultants?

7 A. Yes, so you can -- this
8 time we would -- we would have the -- we would use
9 the same method.

10 Q. Right.

11 A. But actually in some
12 cases you can use different method if the
13 breakdown is very small, but this breakdown was so
14 significant that, you know, we couldn't do it. We
15 had to send the aggregate samples to Whitby for
16 solvent testing.

17 Q. Right. So he indicates
18 in his e-mail that we looked at that a concern was
19 expressed over the percent breakdown. Who
20 expressed the concern and what was it? Was that
21 you or Mr. Delos Reyes?

22 A. I think probably it was
23 initially Andros, and he let me know, so we had
24 concern. And this is also we -- you know, we got
25 these results for aggregate testing from Dufferin,

1 but we decided that we wanted ourselves to do some
2 testing, so we -- you know, we tried the CCI labs,
3 but we wanted us, ourselves, to do the testing,
4 and we decided to do Micro-Deval abrasion
5 ourselves, and also we did LA abrasion, Los
6 Angeles abrasion.

7 Q. All right. And we'll
8 look at those in a moment. Did the -- the
9 breakdown in the ignition oven, aside from the
10 part that it creates the problem with correlation,
11 as you indicated that it just means the test is a
12 problem, so you need to do a different method of
13 extracting the aggregates. Did it cause you any
14 concern or not about the aggregates themselves
15 that they broke down in the ignition oven?

16 A. Like, you know, that was
17 concern from the testing point of view because in
18 the pavement you never heat the aggregate. You
19 don't -- you cannot burn the asphalt cement when
20 you produce the mix.

21 So in the ignition oven the
22 temperature can be, like, well above 400; it can
23 be 460, et cetera. At the plant when you produce
24 asphalt you heat the aggregate to the -- you heat
25 the mixture, the temperature for about 180 degrees

1 not only to -- not to burn asphalt cement, but not
2 to even oxidize. Because if you increase the
3 temperature too much then you will not only burn,
4 you will oxidize. So the temperature has to be
5 significantly -- very, very significantly lower.
6 Probably about, you know, at the plant --
7 depending on asphalt cement, it can be about
8 180 degrees so that, you know, there is no concern
9 for, you know, it will -- anything will occur
10 during production.

11 Q. So it didn't create -- it
12 didn't create a concern for you with respect to
13 the aggregates themselves; it was just that it
14 meant that the test was not something that you
15 could rely on; you had to do a different method.
16 Is that a fair summary?

17 A. Yeah.

18 Q. Because you're not
19 reaching that temperature at any point in the
20 normal production process?

21 A. Yes, that's correct.
22 That's correct.

23 Q. Okay. Thank you. If we
24 could go to two tests that are not in the overview
25 document from July 17th and 18th. So the first

1 one is Golder 244, and the second one is Golder
2 245. Can these be rotated? Maybe we'll just --
3 because it takes up more of the screen, why don't
4 we look at this first. This is 244.

5 This is an LA -- marked LA
6 abrasion. Is this a -- and you mentioned doing an
7 LA abrasion test and a Micro-Deval test. Is this
8 a Golder-done LA abrasion test result?

9 A. Yes, these are the
10 results from our testing. This is a Los Angeles
11 abrasion, a 19.2. As I say, it's incredible, a
12 very excellent number.

13 Q. And excellent number.
14 Okay. That's the percentage of lost particles?

15 A. Yes.

16 (Speaker overlap)

17 A. So the difference between
18 Micro-Deval and this, that here you use a much
19 larger drum and fewer but big steel balls, and
20 also you rotate this thing for a number of hours,
21 and you determine the lost particles, like, you
22 know, change in gradation and basically the
23 abrasion, but it's more of impact than compared to
24 Micro-Deval. But this is excellent number, 19.2.

25 Q. Okay. And then if we

1 could go to Golder 245.

2 And I guess if we could,
3 Registrar, mark Golder 244 as an exhibit. As I
4 said, it's not in the overview document. I
5 believe that's Exhibit 20.

6 THE REGISTRAR: Noted,
7 Counsel.

8 EXHIBIT NO. 20: LA abrasion
9 test result done by Golder, GOL244.

10 MR. LEWIS: I told you I would
11 train myself, and I have, see how long it sticks.

12 BY MR. LEWIS:

13 Q. This document is marked
14 "Micro-Deval" dated, of the test, July 18th, 2007.
15 Is this a Golder-done Micro-Deval test result?

16 A. Yes, it is. So we did
17 this thing, and basically it confirms the result
18 that we got from Dufferin, the test result done by
19 Trow. You know, it's also, you know, important
20 that both Los Angeles and Micro-Deval were so low.

21 Basically the rule of thumb is
22 that if you had both, if you had LA abrasion and
23 Micro-Deval abrasion and if you got the value
24 before -- below 40, it's a good aggregate. So in
25 our case we had, like, 20-something, like, very

1 low 20s, so it showed that it was very good
2 aggregate.

3 Q. And which result are you
4 looking at there, the loss again?

5 A. Yes, so --

6 Q. 37.9?

7 A. No, no, no, no, no.

8 Maybe I -- you know, the final result is 2.5.

9 Q. Is the which?

10 A. Is 2.5 percent, yes,
11 percent loss.

12 Q. The percent loss?

13 A. The percent loss.

14 Q. Yes.

15 A. And in the previous that
16 you showed, that was LA abrasion we had, like, you
17 know, 19 point something, so let's say 20. So the
18 rule of thumb is if you add both, if you have
19 value below 40, then it's a very good aggregate.
20 So in our case, you know, we had like -- we would
21 have 20, what, 22. So it showed that it was a
22 very good aggregate.

23 MR. LEWIS: If we could mark
24 that as Exhibit 21, Commissioner.

25 JUSTICE WILTON-SIEGEL: Yes.

1 EXHIBIT NO. 21: Micro-Deval
2 test result done by Golder, GOL245.

3 BY MR. LEWIS:

4 Q. And are these the results
5 obtained following using the solvent extraction
6 method?

7 A. No, no, no. That was the
8 virgin aggregate.

9 Q. That was the which?

10 A. No, no. This
11 aggregate -- that was a virgin. Like, you know,
12 the aggregate itself, not extracted from --

13 Q. Not extracted.

14 A. -- the solvent because
15 solvent can impact the -- no, it was the aggregate
16 from the stockpile.

17 Q. Okay. So this is not
18 done, not part of the -- what we just discussed
19 about using the solvent extraction following the
20 ignition oven test problem?

21 A. No, no, no. That -- we
22 call it virgin aggregate, so the aggregate at the
23 stockpile was not included in the production.

24 Q. Okay. Provided by
25 Dufferin?

1 A. Dufferin, yes.

2 Q. Yeah. Okay. And if we
3 go to -- back to the overview document. We're at
4 page 51, image 51 rather, same thing. Okay. And
5 so on July 18th, which is the same day as the
6 second of those tests that we just looked at,
7 Mr. Janicas wrote to you to provide physical test
8 results, and the physical property test results
9 from construction testing asphalt lab from demix
10 aggregates, and he indicates:

11 "It is our understanding that
12 the Micro-Deval was the attribute in question due
13 to the breakdown discovered in the ignition oven
14 testing, and the results indicate the materials
15 delivered from the demix quarry meet the
16 requirements of the Micro-Deval abrasion loss."

17 And then in the fourth
18 paragraph he asks:

19 "With the above-mentioned
20 results meeting the contract requirements are the
21 SMA and 12.5 FC2 mixes approved for production on
22 City of Hamilton contract?"

23 And then:

24 "If after reviewing these
25 results there is still a question of the

1 suitability of the aggregates, please advise
2 Dufferin immediately and a meeting with all
3 stakeholders will be convened at the earliest
4 possible opportunity."

5 So was there still at this
6 point a question about the suitability of the
7 aggregates?

8 A. No, not really. You
9 would probably see on the next page because I
10 talk -- when I talked to MTQ to verify field
11 performance to --

12 Q. Well, let's look at that
13 and then -- we'll pull that up and then you can
14 describe -- so you're not doing it from memory.
15 52, it should be 51 and 52. Thank you.

16 So you said not really, and
17 then you referred to discussing with the MTQ, and
18 in paragraph 104, which runs on to the two pages,
19 there's a note that you took on July 18th, 2007,
20 and there's a reference near the top of image 52
21 to Daniel Fleury of the Quebec department of
22 transportation. Is that what you're referring to?

23 A. Yes.

24 Q. Okay. Sorry. So you go
25 on; you said, not really, and then you spoke to

1 the MTQ. There we go. So you see I had -- like,
2 based on the results that, you know, I got from
3 the testing, so it was original that they sent and
4 tested by Trow and then tested by Golder, I knew
5 characteristics of the aggregate. It was very
6 good. Then I got CPP in terms of that
7 characterized polishing, and I know it was used as
8 a reference by MTQ, and the results was good.

9 But I wanted to have a
10 confirmation about field performance of that
11 aggregate. So I called MTQ because, you know,
12 I -- you know, I knew MTQ. I called MTQ to
13 discuss -- to get more information to discuss the
14 field performance of the aggregate. These are my
15 short notes from that conversation, I called
16 Daniel Fleury from MTQ, and I was informed that
17 that was a -- this very good aggregates, one of
18 the best in Quebec. They are used in hot mix
19 asphalt and high volume roads, and actually it was
20 indicated that and what we confirmed that LA was
21 below 35 and Micro-Deval was below 15 percent. So
22 it was just that -- that element that I was
23 missing about field performance of that aggregate.
24 So that was the last element that I needed for --
25 to have, you know, my opinion about the mix

1 aggregate.

2 Q. All right. And so those
3 references to the Micro-Deval and LA abrasion,
4 those are the numbers that Daniel Fleury gave you;
5 is that right?

6 A. Yes. So she -- you know,
7 it indicated that it is below. So it is, and
8 actually our testing confirmed that it was well,
9 well below, yeah.

10 Q. Okay. And was your call
11 to the MTQ, was that related to concerns arising
12 from the breakdown of the aggregates in the
13 ignition oven, or was it something else, or a
14 combination of things? What was -- or was it just
15 this final confirmation you were referring to?

16 A. I think this final
17 confirmation because, like, I knew about -- I had
18 enough information about the quality of the
19 aggregate in terms of, you know, mechanistic
20 characteristics and this estimation, Micro-Deval,
21 LA abrasion, petrographic number, other
22 characteristic. I knew CPP. So I knew these
23 values were good, but I wanted some information
24 about, like, field performance.

25 Q. Okay.

1 A. How it performed in the
2 field, and then I got this confirmation that it
3 was one of the best and performed very well. So
4 that was this missing element in my picture, in my
5 opinion about the aggregate.

6 Q. Okay. And if we could
7 look, then, at the next entry in paragraph 105.
8 So five days later, after your -- the call with
9 the MTQ. In paragraph 105(a), Mr. Hainer, Dave
10 Hainer of Dufferin, e-mailed Philips and Mr. Oddi
11 of the City about concerns expressed about the
12 demix aggregates in the SMA and FC2 surface
13 courses and about laying down the SMA test strip.
14 And so he'd -- he writes:

15 "Walter, please see the
16 attached correspondence regarding the concerns of
17 the aggregate which are to be used in the FC2 and
18 SMA surface course mixes. As you're aware, we
19 still have to have the test strip for the SMA
20 scheduled for this upcoming Wednesday and trust
21 the documents below will satisfy the concerns
22 verbally identified. Should there still be
23 concerns on this matter after reviewing this
24 information, please call me at your earliest
25 convenience so we can arrange a meeting to resolve

1 this matter."

2 And if we could go to the
3 document itself, this is Dufferin 1965.01. -- or
4 maybe just .1. Thank you.

5 And the way this is structured
6 is -- and you're not copied on it, which we'll
7 discuss in a minute.

8 At the top is the e-mail from
9 Mr. Hainer that we were just reading from, and he
10 is forwarding an e-mail internally at Dufferin
11 from Paul Janicas on July 20th to Mr. Hainer and
12 Mr. Gamble. And in that he says:

13 "Dave, attached is the package
14 discussing the demix aggregates issue. Please
15 review."

16 And, Commissioner, I can
17 advise that -- and this is indicated in the
18 footnote 143 to that paragraph in the overview
19 document -- that although the e-mail states, the
20 e-mail from Mr. Janicas forwarded by Mr. Hainer,
21 indicates that there are attachments, and you can
22 see the image there at the bottom shows four PDFs,
23 that there are no attachments to the document as
24 produced. We don't have the actual documents.
25 Dufferin has advised us that they have searched

1 and are unable to locate them, and we do not have
2 any production of that --

3 JUSTICE WILTON-SIEGEL: Okay.

4 Thank you.

5 MR. LEWIS: -- from the City.

6 And then there's the four items are listed. "Skid
7 Resistance Report" are just the titles of the
8 images of the documents. "Skid Resistance
9 Report," "Mixed Design Examples," "Red Hill Valley
10 Aggregate Physicals Comments," "Trow 20th July,
11 2007," and "Demix Aggregates July 20th, Dufferin
12 Cover Letter."

13 And we do not have those
14 documents.

15 BY MR. LEWIS:

16 Q. So, Dr. Uzarowski, you're
17 not copied on either of those e-mails. Did you
18 receive the e-mail or made aware of the e-mail
19 from Mr. Hainer at the time?

20 A. You know, I'm not on the
21 list, so probably under this inquiry I would see
22 the copy that you have.

23 Q. Yeah, I mean at the time.
24 Until this inquiry --

25 A. No.

1 Q. -- had you seen this
2 e-mail?

3 A. No, I was not included in
4 this e-mail.

5 Q. Okay. I get that. And
6 were you aware back then of this -- of the e-mail
7 and/or its contents even though you weren't sent
8 the e-mail?

9 A. I think it -- it may --
10 probably covered the aspect that, you know, you
11 showed before, the correspondence in all this --

12 Q. The question I asked,
13 were you aware at the time that this e-mail had
14 been sent or of its contents?

15 A. No. No.

16 Q. Could you go back,
17 Registrar, to the overview document, the same
18 page, 52.

19 Okay. And in the e-mail from
20 Mr. Hainer on the 23rd, he three times refers
21 to -- I think it's three times -- concerns raised
22 or the concern of -- the concerns of the aggregate
23 that the documents will satisfy the concerns
24 verbally identified should there still be concerns
25 on this matter. Three times he mentions that.

1 Appreciating you didn't receive it, as of that
2 date do you know what concerns he is talking
3 about?

4 A. No. No. He didn't talk
5 to me.

6 Q. Okay. Had you expressed
7 concerns to Mr. Hainer or Philips or Mr. Oddi or
8 anyone else at that point beyond the issues that
9 we've already discussed?

10 A. No. No, I didn't. Only
11 what was discussed.

12 Q. What about Mr. Delos
13 Reyes?

14 A. No, I didn't.

15 Q. Okay. And the same day,
16 if we look at paragraph 105(b), and maybe you
17 could put up the next image, Registrar, image 53
18 as well.

19 On the same day
20 Mr. Delos Reyes of Golder is e-mailing you
21 internally about SMA and SP19 mix design, and he
22 reminds you of the test strip for this coming
23 Wednesday, which we know is the 25th of July, and
24 indicates:

25 "If you are going to issue

1 written approval with reservation for the SMA mix
2 design, please include the SP19 mix design. We've
3 already given verbal approval during the regular
4 monthly meetings just to confirm it in writing."

5 So that same day as Mr. Hainer
6 is e-mailing Philips and Mr. Oddi about concerns
7 raised about the aggregate, Mr. Delos Reyes is
8 writing to you about if you are going to issue
9 written approval with reservation for the SMA mix.
10 And do you know what the reservation is that he's
11 talking about?

12 A. I understand that his
13 reservation was this aggregate breakdown.

14 Q. In the ignition oven?

15 A. In the ignition oven,
16 yes.

17 Q. Okay. So that's what you
18 understood at the time?

19 A. Yes, this is what I
20 understood and this is I think what we discussed.

21 Q. Okay. And we hear about
22 concerns from Mr. Hainer in his e-mail, and you
23 weren't included on that. Do you think you ought
24 to have been included as a recipient of that
25 e-mail, and then the one that follows it in -- on

1 image 53, paragraph (c) at the top. There's a
2 subsequent e-mail the same day by Mr. Janicas
3 about the aggregates that you also did not
4 receive. Should you have been?

5 A. I think of course I
6 should. I was the QA person. I should be
7 included in my opinion.

8 Q. Right. And do you know
9 why you were not included?

10 A. No, I -- no, I don't. I
11 don't know -- I don't know why. I think I should
12 be, but I wasn't -- no, I don't know.

13 Q. And you weren't aware at
14 the time again that -- again, looking at the
15 second e-mail the there from Mr. Janicas in 105(c)
16 at the top when he's e-mailing Philips and
17 Mr. Oddi about prior use of demix aggregates by
18 the Quebec Ministry of Transportation, giving
19 some -- three examples of prior usage. You didn't
20 receive that either?

21 A. No, I only saw it during
22 this inquiry. Not that time no, I didn't.

23 Q. Okay. And you weren't
24 told about it being sent; is that right?

25 A. No, I wasn't.

1 Q. Thank you. Now, we know
2 that Dufferin placed the SMA test strips on one of
3 the Mud Street interchange ramps on July 25th,
4 2007.

5 And that's if we -- at the
6 bottom of image 53, so if we could move image 53
7 and put up image 54 as well, please. And you were
8 there for the placement of the test strip; is that
9 rate?

10 A. No, I was not. Andros
11 was there.

12 Q. Oh, sorry, your later
13 meeting. I apologize. You were not there.
14 But -- okay. Okay. And so your notes from that
15 day do not reflect that you were attending at the
16 test strip placement; it's just that test trip was
17 occurring?

18 A. That the test strip was
19 occurring, yes.

20 Q. Okay. Mr. Delos Reyes
21 was there. Okay. And you did attend a meeting,
22 which we'll discuss in a minute, on 27th. Do you
23 know -- do you recall where it was located?

24 A. On a ramp -- Mud Street
25 ramp.

1 Q. Right. And I'm going to
2 put up a map that you had previously annotated for
3 us. This is RHV930. And the -- is that part
4 outlined in red there on the Mud Street
5 interchange -- well, first of all, that's the Mud
6 Street interchange, correct?

7 A. Yes, it is.

8 Q. Okay. And it's where the
9 Red Hill curves up north as it moves from the lane
10 if you're going in an eastbound and then
11 northbound direction; is that right?

12 A. Yes, it is.

13 Q. Okay. And then the red
14 indication there is the approximate location of
15 the SMA test strip?

16 A. Yes, it is.

17 Q. Okay. And how do you
18 know that that is the case? Is that -- you can
19 just -- you can recall that? You know where the
20 result -- where it was laid?

21 A. I think Andros told me,
22 and I think I also saw when I came to -- for a
23 site visit, I think I had a look at this. So I
24 knew I was pretty -- I'm pretty positive that this
25 is the location I saw.

1 Q. Okay. Well, there was a
2 meeting on the 27th.

3 A. Yes.

4 Q. And so we'll get to that
5 in a second.

6 So what was the objective of
7 this test strip?

8 You can take that down,
9 please.

10 A. As I indicated before the
11 test strip is to check, you know. Because they
12 did a trial batch, so in that trial batch they
13 determined that they -- you know, what finetuning
14 was required to produce it.

15 Q. So 'they' is Dufferin and
16 their consultants in this case?

17 A. Dufferin, yes, and their
18 consultants. So now, the objective of the test
19 strip was to make sure that they can produce a
20 significant amount of material, of that particular
21 mix, and then they can place it, and they can
22 compact it in such a way that they will meet the
23 specified requirements. But before they do the
24 mainline paving, the test strip was to verify that
25 they can produce, place and compact in accordance

1 with the specification.

2 Q. Okay. And should we talk
3 about, then, the results of the test strip. In
4 paragraph 108 on image 54 and 109 Mr. Reyes is
5 e-mailing you on the 26th and 27th of July, and --
6 2007 about the test strip, and he indicates that:

7 "The thickness is thinner than
8 required. There seems to be some sort of
9 aggregate breakdown."

10 He sends you some photographs.

11 And on the 27th he attaches to
12 test strip results stating:

13 "Air voids is low, DCC got --"
14 that's Dufferin "-- got 6.22 on their AC but seems
15 to be higher on AV(3.1) which does not jive with
16 their test result on trial plant mix."

17 And then you met with Dufferin
18 and the City of Hamilton to inspect the test strip
19 on the 27th. So what was the problem?

20 A. Well, the problem was
21 with the quality of the test trip. So there were
22 a few items. First, the mix itself. You know,
23 you can see in my notes that the air void -- this
24 is just that air was -- I got from the lab, that
25 there were only 1.7 percent. So also I think

1 we -- gradation phase on 475, later on were
2 identified there was an error; it was 0.75. So
3 the mix itself, then the compaction was low, and
4 one thing why the compaction was low, why it was
5 so difficult to compact, because the thickness of
6 the mat was significantly reduced. Actually I got
7 information from Andros Delos Reyes that the
8 thickness of the mat was only 32 millimetres.

9 Q. Right. 32 millimetres,
10 and it's supposed to be 40?

11 A. It's supposed to be 40.
12 And it's a stoney mix. It's stone-on-stone
13 contact, and the thinner the lift the more
14 difficult it is to compact. So --

15 Q. Because you're trying to
16 push the stones --

17 A. Yeah. So you can --

18 Q. -- the aggregate into a
19 smaller space?

20 A. You have stone-on-stone
21 contact, you cannot -- you have no room for
22 relocation of the aggregate. So I also understand
23 that, you know -- I think from Andros, he told me
24 that they even tried to use vibration, and this is
25 why they probably got this aggregate breakdown.

1 So they had thin lift, they try to pack it, they
2 couldn't get compaction, and they broke the
3 aggregate. Some -- broke some aggregate. So
4 overall, in my opinion they failed test strip.

5 Q. And that's what you
6 indicated according to your notes, that the test
7 strip has failed --

8 A. Yes.

9 Q. -- in your notes, and the
10 test strip is rejectable?

11 A. Yes.

12 Q. And you described the
13 reasons. Okay. And when you say low air voids,
14 does that mean there are not -- just to make sure
15 we have the right nomenclature -- that the air
16 voids within the placed asphalt mix are too small?

17 A. No, these are laboratory
18 air voids. So --

19 Q. These are lab air voids.

20 A. These are laboratory air
21 voids. So this is a characteristic of the mix.
22 Because field air voids are hundred minus
23 compaction. Hundred percent minus compaction,
24 these are field air voids. But this 1.7, this is
25 laboratory air voids. This is the parameter of

1 the mix itself.

2 Q. Okay. So they are --
3 they're not talking about the results taken from
4 what was placed in the test strip?

5 A. Yes, so they took a
6 sample --

7 Q. Right.

8 A. -- bring it to the lab.
9 They took it through a giant rotary compactor,
10 compacted it, and then they realized that their
11 air voids were too low.

12 Q. But when it says low lab
13 voids, you're talking about air voids?

14 A. Yes, low laboratory air
15 voids, yes.

16 Q. Okay. Thank you. That's
17 where my confusion was. And you refer to the
18 gradation failed on 4.7 millimetres. That's
19 talking about the sieve, the 4.75 millimetre
20 sieve?

21 A. Yes. I stated it was
22 475, but I think I understand that it was an
23 error, and it was actually 0.075.

24 Q. I think we'll come --
25 just your --

1 A. Okay. Yeah, yeah.

2 Q. You do make an error, but
3 it's -- you correct it after. I think this is the
4 correct one, but we'll confirm that in a minute?

5 A. That's a sieve, yes,
6 sieve size.

7 Q. Okay. And that means
8 that, what, is it too few aggregates are ongoing
9 through the sieve or too many?

10 A. I would have to look at
11 the gradation and see on what side we are.

12 Q. Okay. That's fine.
13 We'll come to that. And so this is what you
14 advised -- you indicate in your notes, there's a
15 meeting with Marco Oddi, James DCC, Andros and LU.
16 So you're LU, Andros is Andros Delos Reyes, and is
17 that James DCC, is that James Wharrie --

18 A. Yeah.

19 Q. -- of Dufferin?

20 A. Yeah.

21 Q. Okay. And do you recall
22 that those people were all there at the meeting or
23 is this -- that -- do you have a specific
24 recollection of that, or is that just recollection
25 based on your notes?

1 A. I think, you know, I
2 would have to rely on my notes because it was such
3 a long time ago. So -- but, you know, I think
4 that, you know, that's the advantage of taking the
5 notes because after so many years I would have no
6 chance to remember, but, you know, when I look at
7 the list -- so definitely these guys where on-site
8 when we met, or the four of us met.

9 Q. Okay. Thank you. And
10 you do a test strip. Is it uncommon that test
11 strips fail?

12 A. No.

13 Q. It's not a regular
14 occurrence?

15 A. I would say, you know,
16 it's -- it's a regular occurrence. This is why we
17 do test strip, so we want to make sure that before
18 we do the mainline paving, we can verify that the
19 contractor can produce. So this is the idea of
20 this. This is, you know, the main road, main
21 line, so just to -- not only to verify but also
22 the contractor can learn because they will see,
23 okay, you know, where they fail. What should they
24 do? What -- should they finetune the mix, or
25 should they correct the paving operation,

1 compaction operation, monitor the temperature. So
2 this is I think very important for them, not only
3 me to -- you know, for me to penalize the
4 contractor, but for the contractor to learn how
5 they have to modify the paving corporation to make
6 sure that they do it in accordance with the
7 specification.

8 Q. Right. And your
9 reference to -- just want to be clear, the
10 aggregate breakdown, Mr. Delos Reyes in his
11 July 26th e-mail says, "There seems to be some
12 sort of aggregate breakdown."

13 And you indicated that your
14 understanding was that Dufferin had used vibratory
15 roller on the test strip. Is that -- did I get
16 you right on that?

17 A. I think it was probably
18 in Andros' comment that they -- you know, they had
19 (indiscernible). They noticed that compaction was
20 low so they wanted to apply more effort to improve
21 compaction. But as I mentioned this is like
22 you're not forgiving mix. If you don't compact it
23 immediately, there is no chance. And on top of
24 this there was this thin layer.

25 Q. That it was thin.

1 A. So that was -- so when
2 they packed it and apply vibration, they crushed
3 some aggregate.

4 Q. Okay. We can keep 54 up
5 then move to -- and put up 55 as well, please.

6 In paragraph 110 at the bottom
7 of page 54 on July 31st Mr. Delos Reyes e-mailed
8 you attaching SMA nuclear density compaction test
9 results for the SMA test strip, and said:

10 "They are proceeding ahead
11 tomorrow on SMA, SP12.5 looks okay compaction-wise
12 and (indiscernible)."

13 And you wrote in paragraph 111
14 on July 31st. You e-mailed Mr. Janicas, Mr. Oddi
15 and Philips attaching SMA test results, including
16 those that Mr. Delos Reyes had e-mailed to you on
17 that day. And then you wrote what was in that
18 paragraph:

19 "Please find attached the
20 results of the laboratory testing of the SMA plant
21 sample obtained during the test strip on
22 July 25th, 2007 and test strip compaction results.
23 As discussed at a meeting with representatives of
24 the City of Hamilton and Dufferin Construction on
25 Friday July 25th, 2007, the mix did not meet the

1 specified requirements. Laboratory air voids at
2 end design and the percentage of the material
3 passing the 0.075 millimetre sieve are in the
4 rejectable zones. The Superpave Gyratory
5 cylinders prepared with this mix were presented at
6 the meeting. They look much richer and finer than
7 the cylinders prepared with the SMA trial batch
8 mix that met the specified requirements. Also,
9 the SMA compaction results were in the rejectable
10 zone at a number of locations. The test strip is
11 not acceptable. We recommend a new test strip be
12 completed. We understand that Dufferin
13 Construction intends on place the SMA mix on the
14 main line tomorrow. Dufferin Construction should
15 be aware that the test strip has not been
16 approved, and the paving will be at their entire
17 risk."

18 So one thing, there's a
19 reference to material passing the 0.075 millimetre
20 sieve, and I -- which is what you were referring
21 to before when you were looking at your meeting
22 notes. I can tell you that later that day
23 Mr. Delos Reyes corrects you to it being the
24 4.75 millimetre sieve, and you correct it the next
25 day. So just to deal with that issue.

1 And as we discussed earlier
2 the addendum to the tender, addendum 1 that we
3 discussed, if there's a failure of the test strip
4 Dufferin is supposed to do another one, are they
5 not?

6 A. Yes.

7 Q. Okay. And so why is
8 Dufferin moving ahead without one, to your
9 knowledge?

10 A. You know, I can't tell
11 you, like, I -- we as -- I, as a consultant, or
12 Golder as a consultant, we can evaluate the test
13 strip, and we can say that the test strip passed
14 or failed, and we can advise that the contractor
15 and the owner or the contract administration --
16 administrator, it failed, and this is it. We
17 cannot force the contractor to do it. I can
18 advise and say, hey, you know, you do this thing
19 at your own risk; you failed. But this is maximum
20 I can do. I cannot -- I have no other power to
21 enforce the contractor to do it.

22 So at the same time they learn
23 what was wrong. So this is one of the thing that
24 they learn, but they should repeat the test strip
25 in my opinion.

1 Q. Right. The City could
2 certainly require it of Dufferin, could they not?

3 A. Oh, yes, they could.

4 Q. Okay. And do you
5 recall -- you sent this e-mail. You were advised
6 by Mr. Delos Reyes of Dufferin's intention to
7 proceed. And do you recall if you spoke directly
8 to anyone at Dufferin or the City or Philips who
9 told you that this was happening or advised you of
10 what was going on?

11 A. You can see the thing in
12 my notes on July the 27th that we met on-site, and
13 then we -- I told them that the test strip is
14 rejectable, so that was the City, Dufferin and
15 Andros and myself. So, you know, that was meeting
16 on-site, directly on-site when we met, plus the
17 e-mail.

18 Q. And that's the -- is that
19 the only discussion that you recall about it, the
20 meeting on-site on July 27th?

21 A. Yeah, I -- you know,
22 again, like, you know, it was so many years ago,
23 so I probably -- unless there is something more in
24 my hand notes or in my journals, but if there
25 is -- there is nothing, so it would be probably --

1 Q. So Probably not.

2 A. It would be probably the,
3 you know, the site meeting that is in my notes and
4 then followed by an e-mail.

5 Q. All right. And I mean,
6 if -- there's nothing else in your notes; there's
7 no other communication. It does strike me as
8 something that there would be -- you know, you had
9 the site meeting. Dufferin says they are going
10 ahead. It does strike me as that's something you
11 would have at least a discussion with at that time
12 with the City, Philips or Dufferin, but you don't
13 have any recollection; is that fair?

14 A. No. No, I don't.

15 MR. LEWIS: I believe I may
16 have, after tooting my own horn, forgotten to make
17 an exhibit of the last document. It's the map
18 which is RHV930, should be marked as Exhibit 22,
19 Commissioner.

20 JUSTICE WILTON-SIEGEL: Thank
21 you.

22 MR. LEWIS: It's the test
23 strip map.

24 EXHIBIT NO. 22: SMA Test
25 Strip Placement map dated July 25, 2007, RHV930.

1 MR. LEWIS: I think this would
2 be a good time for the lunch break, it's 12:55,
3 and I was going to go next to Dr. Uzarowski's
4 discussion with Chris Raymond at the Ministry of
5 Transportation of July 31st. But it will likely
6 take more than five minutes.

7 JUSTICE WILTON-SIEGEL: Okay.
8 Let's take our break then, and we'll turn at 10
9 past 2:00.

10 MR. LEWIS: Thank you.

11 --- Recess taken at 12:56 p.m.

12 --- Upon resuming at 2:10 p.m.

13 MR. LEWIS: Good afternoon,
14 Commissioner, may I proceed?

15 JUSTICE WILTON-SIEGEL: Please
16 do.

17 MR. LEWIS: Thank you.

18 BY MR. LEWIS:

19 Q. Dr. Uzarowski, when we
20 left off we were talking about July 31st, 2007,
21 and your e-mail to Dufferin, Philips and the City
22 about the failure of the test strip and Dufferin
23 proceeding with the placement of SMA the next day.
24 If we go to overview document 3, pages 56 to 57,
25 please.

1 It's paragraph 114, which goes
2 on both pages, refers to a July 31st, 2007 call by
3 you to Chris Raymond at the MTO, and at the bottom
4 of that page going onto the next page is his
5 entire text of his e-mail internally the next day
6 at the MTO reporting on his discussion with you
7 the prior day.

8 He's a writing to Becca Lane,
9 Kei Tam (ph) and Chris Rogers within the MTO, but
10 of course you're not copied on it. It's internal.
11 He says:

12 "I received a call yesterday,
13 Tuesday, August 31st --" we know he means July
14 31st. He's writing it on August 1st.

15 "-- from Ludomir U. of Golder
16 Associates. He had heard a rumour that the
17 ministry no longer allows Ontario Trap Rock in
18 SMA. I informed Ludomir that the ministry has had
19 concerns with early life friction in some SMA
20 pavements. In response to these concerns, the
21 ministry is, continues to investigate early life
22 friction and has formed MTO industry task groups
23 to discuss the issue the last two winters. As an
24 interim measure, the ministry has developed a
25 short list of acceptable SMA aggregates which are

1 communicated through special provisions, 313SR5
2 and now 110F12. The special provisions do not
3 currently list Ontario Trap Rock. Also, in SWR we
4 look at the cost implications of the limited SMA
5 aggregate sources in the area to determine if
6 SP12.5 FC2 should be the surface course on
7 potential SMA projects. Action has also been
8 taken on carryover contracts to ensure acceptable
9 early life friction. Ludomir expressed concern
10 regarding the proposed use of SMA on a City of
11 Hamilton project, Red Hill Creek Expressway, where
12 the contractor has submitted a mixed design using
13 a Quebec source, Demix-Vareennes, the aggregate is
14 not on the ministry's DSM. Ludomir indicated he
15 was going to follow up with Chris Rogers regarding
16 the background of this source. A possible outcome
17 is that the City of Hamilton could make a request
18 for friction testing." (As read)

19 So I'll ask a number of
20 questions about this e-mail, but starting off,
21 does Mr. Raymond's e-mail reporting on this the
22 next day, on your call the previous day,
23 accurately reflect your conversation with him?

24 A. I think it does, yes.

25 Q. And why did you call Mr.

1 Raymond in particular rather than someone else at
2 the MTO? Apart from the substance of your call,
3 why did you call Mr. Raymond in particular?

4 A. You know, first of all, I
5 knew Mr. Raymond quite well. He did his PhD at
6 the University of Waterloo and I did my PhD at the
7 same university, and his wife, Professor Susan
8 Tighe, was my supervisor, so I knew him quite
9 well. But at the same time, you know, from my
10 note, hand note, you can see that I also tried to
11 reach other people. I think since I wrote their
12 names I probably tried to reach other people at
13 MTO, but Chris was -- Dr. Chris Raymond was the
14 best guy because I knew him and he was very
15 knowledgeable.

16 Q. You're referring to in
17 the prior paragraph, 113, if you could go back one
18 page, Registrar. Dr. Uzarowski's notes on
19 July 31st at the bottom of that page in 113, they
20 indicate a number of names, Judy Pretty; Neil
21 Virani who was an MTO person; Chris Raymond; John
22 Blair, another MTO person; Chris Rogers at the
23 MTO, his phone, and there's a reference to SMA
24 trap rock and other items. So that's -- you think
25 you may have also tried those people, or Chris was

1 the first one you got?

2 A. I think maybe I tried
3 to -- you know, I only don't recall, honestly
4 speaking, Judy Pretty. The name sounds familiar,
5 but I cannot put a person, you know, in front of
6 my face. But I knew -- I knew Neil Virani very
7 well. Of course Chris Raymond. I knew John
8 Blair. I know Chris Rogers.

9 So this -- the people that I
10 could talk about this. But, you know, obviously
11 Chris Raymond would be probably the best choice
12 because I knew him and I knew how knowledgeable he
13 was on this subject.

14 Q. He was the senior
15 bituminous engineer in the bituminous section at
16 the time at the MTO.

17 Okay, if we could bring back
18 56 and 57, please, just so we have the e-mail in
19 front of us.

20 To deal with one specific
21 issue, in the second sentence Mr. Raymond
22 indicates that you had heard a rumour that the MTO
23 no longer allows Ontario Trap Rock in SMA. Do you
24 recall when and from whom you heard that rumour?

25 A. I don't recall, but

1 actually his note corresponds well with my note
2 that I also wrote about Ontario Trap Rock.

3 You know, I would like to
4 clarify one thing, that Ontario Trap Rock is not
5 the trap rock in the entire province, it's just
6 one particular quarry.

7 Q. It's capital O, capital
8 T, capital R, is the name of the company and
9 quarry which is a source of trap rock which is a
10 type of aggregate?

11 A. Yes. Yes, thank you.
12 Sorry about it. I just wanted to clarify this.

13 Q. I'm glad you did because
14 I was going to get there, so thank you.

15 A. I'm sorry. So yeah, so
16 that was -- I must have heard about this, so it
17 basically, you know, raised some concern because I
18 want -- or Dufferin wanted to place SMA on that
19 highway. So whatever was related to SMA was of my
20 interest so I heard about this thing. So I wanted
21 to talk to knowledgeable -- because, you know, the
22 people from bituminous section, they know
23 everything about our asphalt mixes in Ontario, so
24 to get their input, to get their information.

25 Also if I can -- I also had --

1 had some question about asset modifications. This
2 is in my notes so I must have been heard, you
3 know, something about a problem with SMA if asset
4 modified -- polyphosphoric acid is used as a
5 modifier for asphalt cement used in SMA. So I
6 wanted to clarify this, but also, you know, to
7 clarify this Ontario Trap Rock and, you know,
8 those restrictions that I heard about the use of
9 Ontario Trap Rock in SMA.

10 Q. Do you recall when you
11 had heard that, how far in advance of this call?

12 A. No, I -- you know, I can
13 only -- you know, it was such a long time ago, I
14 have to rely on my notes, and I couldn't find
15 anything more on my --

16 Q. Do you think it was a
17 matter of days or weeks or months?

18 A. I think it was probably
19 days, or maybe on the same day, or days.

20 Q. Yeah, okay.

21 A. I would rather say
22 probably days, because this is why I decided to
23 call. You see my notes that I tried to reach
24 somebody at MTO, so I must've heard this and I
25 wanted to get their input, MTO input on --

1 information from MTO on this subject.

2 Q. Right. So you don't
3 recall where you heard that rumour from or when it
4 was -- likely it was in the order of days prior to
5 the call; fair?

6 A. Yeah, it is. Yeah, it is
7 fair. No, I don't recall exactly it was such a
8 long time ago, no.

9 Q. Okay. So he indicates
10 that you expressed a concern regarding the
11 proposed use of SMA on a City of Hamilton project
12 where the contractor has submitted a mixed design
13 using a Quebec source that isn't on the DSM.

14 Was your concern with the use
15 of SMA or with the aggregates being used in the
16 SMA or both?

17 A. Not with -- not concerned
18 with the use of SMA because I was convinced that
19 SMA was the right application, but I was -- as
20 mentioned before, I would prefer if that was on
21 DSM list, so I wanted to share my concern with the
22 fact that this aggregate was not on the DSM list.

23 Q. Okay. And so that was
24 the concern, was it not being -- was that the mix
25 was not on the DSM. And so the concern with it

1 not being on the DSM then, do you recall his
2 reaction to that, what he told you about it? He
3 has his note, but do you recall if he had any
4 reaction to the concern that you raised?

5 A. No, I think it's -- you
6 know, my reaction was I -- you know, even from
7 this note, I think I was critical of this at the
8 end of this conversation that we would have to do
9 friction testing.

10 Q. Right. And that's the
11 last sentence, is:

12 "A possible outcome is that
13 City of Hamilton can make a request for friction
14 testing."

15 And who -- do you recall whose
16 idea that was? Was it yours or his idea?

17 A. I think it was a result
18 of, you know, conversation; if you use, then test
19 the friction.

20 Q. Is the reason for that
21 because the mix aggregates was not on the DSM and
22 that because one of the requirements of being
23 listed on the DSM is the friction testing and
24 polished stone value testing and so forth to
25 pre-approve the aggregates, that friction testing

1 would be a good idea to conduct in light of the
2 aggregate not being on the DSM?

3 A. So that was -- yeah, that
4 was one. The aggregate was not on the DSM. At
5 the same time, Chris, you know, told me about this
6 Ontario Trap Rock and high every strength so that
7 would be, you know, if I -- if we test it,
8 friction, then we would know what we have. And,
9 you know, actually what -- based on the results we
10 get, what action we can -- would be required. So
11 that was -- you know, that would address both
12 things.

13 Q. Right. So it's two
14 parts, if I understood you correctly, and correct
15 me if I'm wrong. One was the aggregate is not on
16 the DSM; therefore, had not been pre-qualified as
17 having good frictional qualities. And the second
18 is the issue that he identified with you, being
19 the low early age friction issue that the MTO was
20 dealing with for SMA; is that correct?

21 A. So, you know, I had no
22 base to reject this aggregate, but obviously I
23 would -- yes, you know, I would prefer if it was,
24 so I had some -- I wanted to have a better
25 comfort. So if -- I think in my opinion if -- and

1 that was the result of the conversation. If we
2 tested then I would know right away what is -- and
3 I have to also point one thing, that since I'm
4 involved at a lot of airport work, I was a member
5 of AAPTP when actually one of the subjects was
6 using SMA on airports, and that included also the
7 subject of early friction.

8 So, you know, I remember at
9 the conclusion there that there was some issue,
10 but they were still within a reasonable value.
11 But, you know, that -- you know, if we did this
12 thing -- so I would know -- if I did the friction,
13 I would know, you know, the early friction results
14 on that pavement, and also was it an impact of
15 that -- the fact that this aggregate was not on
16 the DSM list on the results. So I think -- I
17 believe that, you know, at the end of this
18 conversation I was convinced to do friction
19 testing.

20 Q. Okay. There's also a
21 reference in there right before that that you
22 indicated you were going to follow up with Chris
23 Rogers regarding the background of the source, and
24 Chris Rogers at the time was the head of the soils
25 and aggregates section at the MTO which

1 administered the DSM; correct?

2 A. Yes.

3 Q. You were aware of that.

4 Do you recall if you called -- if you contacted,
5 called Mr. Rogers?

6 A. I think I probably tried
7 but I wasn't successful. This is -- you know, I
8 don't have -- I think if I contacted him I would
9 have something in my notes, but I don't have him
10 -- I probably -- if I said I would try, I would,
11 but I probably wasn't successful. Because it's --
12 it's not easy to contact those guys.

13 Q. Was this conversation
14 then the genesis of the MTO friction testing that
15 took place on October 16th, 2007?

16 A. I think so, yeah. I
17 think -- you know, I can probably say that at the
18 end of this conversation I was convinced to do the
19 friction testing on the Red Hill Valley Parkway.

20 Q. Understood. To come
21 back, though, to what we were talking about before
22 lunch, you still did have concerns. I think you
23 had indicated, well, the test strip failed; I
24 wasn't concerned about the aggregate. You did
25 have concerns still and that's why you called --

1 as you described them, and that's why you called
2 Mr. Raymond?

3 A. Yeah. So one DSM.
4 Another thing was, you know, this information
5 about Ontario Trap Rock. So, you know, as I
6 mentioned before, obviously I would prefer if it
7 was, so that the subject would not exist. But,
8 you know, I had no base to reject, you know.
9 Dufferin, you know, was to place the SMA, but, you
10 know, it was still in my mind that I would -- I
11 wanted to gather, you know, as much information
12 and as much input on this as possible.

13 Q. Do you recall if around
14 that time of this call that you spoke to anyone at
15 the City about friction testing occurring? Mr.
16 Oddi? Mr. Moore? Anyone else? I know later in
17 September there's a call. I mean at this point in
18 August -- September -- sorry, July 31st.

19 A. I think, you know -- I
20 don't know if it's in my notes, but I probably --
21 I think I definitely talked to the City, to --
22 with -- I talked to them with information that I
23 would recommend doing friction testing after the
24 SMA was completed on the Red Hill Valley Parkway.

25 Q. We know that Dufferin

1 started the SMA paving on August 1st. And if we
2 could go to -- well, actually we're on page 57.
3 On page 57, paragraph 117.

4 We know that Golder completed
5 -- conducted compaction testing on August 1st and
6 August 3rd. I would like to look at the
7 August 1st results first, and that is Golder 1718,
8 and we need to pull this up as a native, Mr.
9 Registrar, as I believe it's in Excel format.

10 THE REGISTRAR: Okay. One
11 second.

12 BY MR. LEWIS:

13 Q. While he's doing that,
14 Dr. Uzarowski, you'll see it's a nuclear density
15 compaction report. So this is what you were
16 talking about earlier when you referred to
17 compaction, and that's the testing that you do to
18 determine whether the compaction is acceptable?

19 A. Yes, yes, we use nuclear
20 Densometer, so we would use nuclear Densometer,
21 but it was also correlated against course.

22 Q. If you could go up to the
23 top, Mr. Registrar, and we're going to have to
24 maneuver this around a bit. So asphalt nuclear
25 density test results summary. I think -- I see

1 the date paving. Could you expand that cell, if
2 you are able to do it, the date paving. The far
3 left one. It's -- there we go. Thank you.

4 Perfect. Thank you.

5 It's August 1st. If we go to
6 the bottom. Could you take us down there.
7 There's a number of things that we'll talk about,
8 but at the very bottom left it talks about stretch
9 vibrated, 1300 metres. And it says, "23 plus 800
10 start vibration. Stretch not vibrated, 1950
11 metres. Total paved 3250 metres." Presumably.

12 So it appears from this -- and
13 this is a Golder test result; right?

14 A. Yes.

15 Q. -- that Dufferin used the
16 vibratory function on the roller for a portion of
17 the SMA placement on the first day, August 1st; is
18 that right?

19 A. Yes. Sorry. Yes, yes, I
20 understand. Yeah, this is how I understand this,
21 yes.

22 Q. Okay. Were you there
23 that day?

24 A. No.

25 Q. You were not?

1 A. No, I -- no, only Andros
2 Delos Reyes and probably one of our technicians.

3 Q. I don't think we need to
4 go to it right now because I'd like to leave this
5 up, but your journal entry for August 1st, for
6 that same day, and it's quite a short entry --
7 this is in paragraph 116 just for the commissioner
8 and everyone else's reference -- says one "RHVP
9 test trip SMA 4." So what were you doing that
10 day?

11 A. Oh, you know, if it's --
12 you know, if it's 4, so it's probably number of
13 hours. So I probably went there. So, sorry, you
14 know, I -- if it was in my journal, you said?

15 Q. Yeah, we can go to it if
16 you want.

17 A. Yeah, because, you know,
18 I think 4 would be the number of hours, so I
19 probably drove to Hamilton. But typically I
20 would -- that would be Andros and one of his
21 technicians to do the testing. I maybe -- I could
22 go and have a look but -- I don't recall.
23 Maybe --

24 MR. LEWIS: Sorry, I
25 apologize, Dr. Uzarowski, you have a notice up

1 here. Mr. Lederman says that they have lost
2 connection. If we could just hold on for a
3 moment.

4 (DISCUSSION OFF THE RECORD)

5 BY MR. LEWIS:

6 Q. So if I understand you
7 correctly, you weren't there for the placement per
8 se, but you were likely on-site that day; is that
9 right? Do I understand you correctly?

10 A. Yeah, because typically
11 it would be Andros and his technician, but if my
12 journal notes indicate I was there, I would be
13 probably there to discuss how -- what they can do
14 to improve compaction.

15 Q. So why don't we just look
16 at -- finish looking at this nuclear density
17 report, and then we'll come back to the note in a
18 moment.

19 So it says, as I said, that
20 part of it vibrated, part of it not. And you said
21 earlier that, number one, that you've got to be
22 really careful with vibration with SMA for the
23 reasons you described. Second, that you think
24 that the test strip laid on July 25th, that there
25 was some aggregate breakdown and that may have

1 been the result of the vibration being used on the
2 roller, and then here we have vibration being used
3 again. Do you know what was going on there and
4 why vibration was being used for part but not the
5 rest?

6 A. Because, you know, you
7 could see that, you know, some of the results are
8 marked as -- like there are some acceptable, some
9 borderline, some rejectable. So probably when
10 they were doing the testing they noticed that some
11 low, so they wanted to improve compaction. And as
12 I mentioned before, you know, I realize the
13 specification doesn't restrict the use of
14 vibration, but the contractor has to be careful.
15 So probably they were -- they had some lower areas
16 of compaction, and so they turn off vibration to
17 improve it. That's probably why this was
18 reported.

19 Q. Above where we were
20 looking about the vibration, just underneath the
21 chart, there's the range that set outs acceptable,
22 borderline and rejectable percentages, and the
23 rejectable percentages is under 93 percent or over
24 98.5 percent. And then there's an average, if you
25 go across the bottom of the chart, it says

1 averages, and it shows 91.7 percent. And that's
2 the overall average, is that right, overall
3 average compaction?

4 A. Overall average, yes, but
5 you have to keep in mind that what you say -- what
6 you just said, acceptable, borderline and
7 rejectable, is for the centre. And then you have
8 below, longitudinal joint, acceptable, borderline,
9 rejectable. So in column F where you have centre
10 line, this would apply to row 111, 12 and 13, and
11 where you have outside edge or centre edge, that
12 would reply to 117, 118 and 119.

13 So this edge versus centre
14 line, yes. We include it in the specification.
15 We also included edge compaction, joint
16 compaction. This -- let's say --

17 Q. It's a lower percentage
18 at the longitudinal joints?

19 A. Yes, yes, but we wanted
20 to include it in this specification because it's
21 often ignored. You can get compaction -- good
22 compaction in the middle but poor at the joint, so
23 we included this thing on purpose.

24 Q. And I understand that the
25 range there, the rejectable range is a tighter --

1 as I think you described it, a tighter
2 specification is actually required in the OPSS
3 standard; is that right?

4 A. Yes. Yeah, we -- you
5 know this is some kind of -- so we tighten the
6 specification, we raise the specification
7 requirement assuming that, you know, if the
8 contractor is still a little bit below, it will be
9 still better than in the original OPSS. So this
10 is used to force the contractor to put more effort
11 and more attention into compaction.

12 Q. Right. And is the OPSS
13 lower rejectable range, is that 92 percent rather
14 than 93 --

15 A. It is 92, and there are
16 no joints, no edges in OPSS.

17 Q. So here in the top three,
18 the rejectable range being under 93, that would
19 normally be under 92; is that right?

20 A. Yes.

21 Q. All right. And I went
22 through the numbers and counted that of -- there's
23 99 samples here. 53 are rejectable of that total
24 amount, but it's significantly improved when
25 you're in the vibratory roller area. If the

1 registrar could scroll up a bit, it actually shows
2 where -- continue. There it is. Vibration
3 started at this location at 23, 800. Is that what
4 you would expect to see, better compaction with
5 the use of the vibratory roller?

6 A. Oh, yeah, you will get
7 better compaction, but, you know, at the same time
8 you don't want to -- so it's like this OPSS does
9 not restrict using vibration, but it's the that --
10 be careful -- yes.

11 Q. I know.

12 A. Be careful with it.

13 Yeah.

14 Q. Okay. I understand. So
15 if we could then go back to OD image 57. I should
16 ask you, overall, I've just described to you what
17 the -- you know, slightly over half were
18 rejectable. What do you make of that when you get
19 those kind of results?

20 A. Oh, I definitely report
21 it -- you know, I definitely report it to the
22 client, you know, to CA and the client.

23 Q. What's the effect of low
24 compaction on pavement performance?

25 A. Okay. It's again, you

1 know, the impact can be the durability and -- and
2 then, you know, I recall that we worked with
3 Dufferin how to improve compaction in the most
4 effective way. So you probably -- and we stated
5 this thing later on in a CTA paper. So they
6 increase -- they did what -- you know, so that
7 time they were responsive because they increased
8 the number of rollers.

9 Q. Right. We'll get to
10 that. I just wanted to know what the effect -- so
11 durability is the --

12 A. Yeah, durability, yes.

13 Q. Okay. So here's the note
14 that we were referring to at paragraph 116 that
15 your journal entry stated, "RHVP test strip SMA
16 4." So you think that the 4 is the number of
17 hours that you spent. I think I caught --

18 A. Yeah, if it's number 116
19 in my journal, so the 4 would be, you know, the
20 number of hours, so me driving there and back.
21 And so, yeah, so that was -- yeah. This is how I
22 understand this, yes.

23 Q. It refers to the test
24 trip. Who are you discussing the test trip with
25 and what test strip?

1 A. I don't know whether I
2 wrote whether I was on-site. I think the test
3 strip maybe discuss the results of the test strip,
4 in particular how to improve compaction. So yeah,
5 I don't know like -- you know, I -- definitely
6 this August 1st was the main line, so it wasn't
7 the test strip.

8 Q. Well, that's what I'm
9 wondering because, you know, the test strip was on
10 the 25th that we already talked about, and on the
11 1st, of course, they are paving the main line and
12 they are, you know, using vibratory roller for
13 part of it and part of it not. So what I'm
14 wondering is whether this was being -- on the 1st
15 being treated as test strip?

16 A. No, I don't think so.
17 You know, on the main line, you don't do test
18 strip on the main line.

19 Q. I wouldn't have thought
20 so, but that's what your note says from that day,
21 refers to test strip, which is why I'm asking the
22 question. Related to that is in the nuclear
23 density report, we talked about the vibratory
24 roller being mentioned, right, it actually refers
25 to that. Is that typically what Golder -- does

1 Golder typically include that information in its
2 compaction test result reports?

3 A. No, typically not.

4 Because that was written by Andros, so probably,
5 you know, he noticed that change in what Dufferin
6 was doing in terms of compaction so he wanted to
7 know this. No, typically would not write this.

8 Q. I think that's -- we'll
9 look at some subsequent ones. It doesn't mention
10 whether vibration is being used or not in the
11 subsequent nuclear density test report which
12 would -- and that would be the normal practice for
13 Golder, is that right, to not be mentioning that?

14 A. Yeah, the normal practice
15 would be not to mention.

16 Q. Okay. Then again on --
17 in 117, it also indicates that you had compaction
18 test results on August 3rd. And if we can go to
19 that. It's Golder 1717. And again, Registrar, if
20 we could pull that up as a native. If you go up
21 to the top just so we can identify the document.
22 Thank you. It shows as the date paving, the 3rd
23 of August, 2007, so two days later. Do you recall
24 if you were on-site that day or not?

25 A. I'm not sure. I would

1 have to check in my journals, but no, I don't
2 recall.

3 Q. I don't think we have a
4 note from that day. Oh, we do, sorry. 118. I
5 apologize, we do. Sorry, we should go back.
6 Apologize. Registrar, if we can go back to images
7 57 and 58. Your note from August 3rd refers to
8 Red Hill Valley Parkway, SMA, four results, and
9 says two trips to Hamilton. Does that help you
10 out?

11 A. Yeah, so it would show
12 that yes, I -- sorry about that. I didn't
13 remember. So I would have to --

14 Q. That was my fault.

15 A. -- on my notes or on my
16 journals, yes.

17 Q. Okay. So you were
18 on-site that day; is that right?

19 A. It shows that yes, I was.

20 Q. Sorry, if we can go back
21 then to Golder 1717. These results are -- as I
22 read them and I think you reviewed them -- as
23 fewer rejectable samples. I think it's 26 of 73
24 are indicated as being rejectable.

25 If we go down to the bottom

1 there, Registrar. It doesn't give an overall
2 percentage in this instance, though. So those are
3 improved results?

4 A. Oh, yeah, this are
5 improved result, because I think, you know, we
6 discussed this with them how they can improve
7 compaction.

8 Q. Do you know one way or
9 the other whether Dufferin was using the vibratory
10 function on the rollers that day?

11 A. No. If there is no note,
12 I would not know.

13 Q. If we can go back to
14 overview document 3, image 58. This is
15 paragraph 119. You e-mailed Mr. Oddi along with
16 Philips and Mr. Delos Reyes regarding your
17 concerns about low compaction. If we could take
18 that off for a minute, or scroll up so only
19 paragraph 120 shows. Thank you. I'm going to
20 come back to that. That showed some information
21 that I think was supposed to have been redacted,
22 but it does not appear to have been. So -- or it
23 won't show it then. But you indicate:

24 "Could you please call me?
25 There are quite --"

1 This isn't the portion that's
2 up. This is from paragraph 119.
3 "Could you please call me?
4 There are quite a few locations where the SMA
5 compaction is low, some are even below 91 percent.
6 We are concerned about these locations. Low
7 compaction is almost a constant issue with the SMA
8 paving. I suggest that we carry out additional
9 new compaction testing at these locations in the
10 presence of contractor's representative and then
11 decide what to do. The feasible alternative would
12 be to reduce the payment based on the percent
13 compaction."

14 So you're still at that point,
15 on August 8th, having concerns with compaction; is
16 that right?

17 A. Yes.

18 Q. And this is continuing
19 from the August 1st and 3rd results. Here you are
20 talking about results on August 7th, and you
21 recall almost a constant issue. So what were your
22 concerns at this point? It doesn't appear that
23 there's been any -- or perhaps not a lot of
24 improvement. Do you know why that was?

25 A. You know, I think there

1 was some improvement, but still there was -- you
2 know, my -- one of -- you know, I wanted to put as
3 much pressure as the -- on the contractor as
4 possible to make sure that they would improve
5 compaction and make the specified requirements.
6 As much as possible. There is nothing more I can
7 do. So put more pressure on them so that they can
8 modify the compaction operation and get better
9 results. And it is possible, but that requires a
10 lot of attention from the contractor, particularly
11 on the SMA mix.

12 Q. If we go to then -- if
13 you highlight paragraph 122 on that page. I guess
14 it's that page, yeah. Thank you. On August 15th
15 Mr. Delos Reyes e-mailed SMA compaction test
16 results from August 11th and 13th showing
17 compaction as acceptable, and I wonder if we could
18 go to Golder 1684, and pull it up as a native.
19 What was it that Dufferin did to improve the
20 compaction?

21 A. So first of all I would
22 like to clarify that, you know, our people are not
23 allowed to instruct the contractor how to do it,
24 but I would go and discuss this thing with them
25 and we'll discuss together what can be done, and I

1 think here the results are much better because
2 they did what we discuss and what we suggest.
3 First, increase the number of rollers. Two --

4 JUSTICE WILTON-SIEGEL:
5 Increase the number of what?

6 THE WITNESS: Of rollers. So
7 I understand that this is, you know, one of the
8 papers. They increase the number of rollers to
9 six. Okay. Then, and this is critical, keep the
10 distance between the rollers and the paver as
11 short as possible. So it means that they can
12 compact the SMA, this SMA that is so difficult,
13 immediately after they pave because the mix is
14 very hot. So that's easy.

15 Three, monitor the temperature
16 because if the temperature of SMA drops before 140
17 then there is physically no way.

18 And four, reduce the amount of
19 water that they're using because sometimes, you
20 know, some rollers use a lot of water and you put
21 water on the surface, you cool the mix. This were
22 the streams that we discuss, how you -- what you
23 can do to improve compaction and actually -- and
24 this is a good example, that at the end it work.
25 Of course I would prefer if it was right

1 established during the test strip, but, you know,
2 at the end they were responded -- they corrected
3 and they improved compaction, and compaction here
4 also means the quality of the mat, but without
5 this heavy vibration and, you know, taking the
6 risk of crushing the (indiscernible).

7 Q. If we go down, so this is
8 from the 13th of August, this particular one. And
9 again, it doesn't tell us here where vibratory
10 rollers were used or not, but if we look at the
11 acceptable borderline and rejectable one, the
12 first three lines beneath the chart there, I see
13 that the rejectable percentage is now -- it says
14 under 92 or greater than 97.5 percent. So that's
15 the OPSS standard, right, 92 rather than 93?

16 A. So it's a typo. No, it
17 should be still 93.

18 Q. So the reason I think
19 it's not, if you go up, just start at row 62, the
20 third one from the bottom of the chart. Or you
21 can take any other ones. Between 92 and 93 it
22 shows as being acceptable, not in the rejectable
23 range, so it appears that what's being applied is
24 the OPSS standard here; is that correct?

25 A. Yeah, it looks like,

1 yeah, but, you know, still the -- in terms of long
2 term, you know, obviously it was better than OPSS,
3 so I told you before that, you know, I tightened
4 the single purpose, okay, because this is what I
5 anticipated.

6 Q. No, no, and you were
7 clear about that. You indicated that you had
8 hoped that they would get that high, but if not,
9 then they are still within the OPSS standard. I
10 get that. However -- and I understand that. But
11 these results, again if I count them up, if 93 was
12 the rejectable range there would still be a number
13 of them that are rejectable. I count 12 if my
14 math is right. 12 of the results would be over 92
15 but under 93. So there has been a change in here
16 as to what is considered rejectable or acceptable.
17 So that --

18 A. 93 should be for what is
19 in column F under centre line. That should be 93.

20 Q. Sorry, where is that?

21 A. In column F.

22 Q. Column F, yes.

23 A. Centre line, that should
24 be -- still should be 93.

25 Q. Should be 93.

1 A. To follow the special
2 provision, yes.

3 Q. Right. The improved --
4 right, to follow the - your more stringent
5 requirement.

6 A. Yeah.

7 Q. Yes. Okay. Now, if we
8 could go back to image 58 and paragraph 120. If
9 you could highlight 120, please. Thank you. As
10 we had it before, on August 9th Mr. Oddi e-mailed
11 Mr. Hainer, Mr. Gamble of Dufferin, and James
12 Wharrie at Dufferin, all three of them at
13 Dufferin, and he wrote:

14 "This correspondence confirms
15 that the Varennes demix aggregates have been
16 approved for use in the SMA and Superpave 12.5 FC2
17 surface course asphalt mixes on the Red Hill
18 Valley Parkway main line paving project. The
19 trial batches for both mix designs met the
20 specified requirements."

21 If we -- did you receive a
22 copy of this e-mail? You weren't copied on it.

23 A. No, I didn't.

24 Q. And were you aware that
25 this e-mail was sent by Mr. Oddi? Did he tell you

1 he was sending it?

2 A. No, I didn't.

3 Q. Sorry. No, he did not?

4 A. No, he did not. I was
5 not aware.

6 Q. Did you just become aware
7 of this e-mail in the course of this inquiry?

8 A. Yes. Yes, I was.

9 Q. And given your role as
10 you've described it as quality assurance and
11 acceptance, mixed design review and approval,
12 including approval of aggregates, is this
13 something that you would have expected to be
14 involved in?

15 A. Yes, I would.

16 Q. Do you know why Mr. Oddi
17 did not involve you in this?

18 A. No, no, I don't -- I
19 don't know. I don't want to speculate, but I
20 don't know.

21 Q. I'll offer a speculation
22 and you can tell me if you know this or not.
23 Was -- were you being bypassed because you had
24 been difficult with approval of the aggregates?

25 A. I think overall I had the

1 opinion of being difficult and very demanding, not
2 only for aggregates, but for the entire work. I
3 think that was my opinion. I was strict and
4 difficult, or demanding.

5 Q. You don't ultimately know
6 the reason why you weren't copied on this?

7 A. No, I don't.

8 Q. Now, if we could jump to
9 image 60, and these are the site meeting minutes
10 from August 21st, 2007. This is after the SMA
11 paving was completed. It appears to have been
12 completed on the 13th of August. And you were at
13 this meeting, and it indicates on the -- under
14 section 1, the fifth bullet says:

15 "Golder has completed their
16 analysis and provided written confirmation
17 indicating --" thank you. Fifth bullet:

18 "-- confirmation indicating
19 the SMA mixed design is satisfactory."

20 So it's referring to mixed
21 design. It doesn't refer specifically to
22 approval of the aggregates. But other than that
23 August 9th e-mail that we just showed you from
24 Mr. Oddi to Dufferin, are you aware of any
25 specific approval by Golder in writing of the

1 demix aggregates?

2 A. No. No, I -- no, I'm
3 not. Of the aggregates, no.

4 Q. No. Or of the mixed
5 design more generally, appreciating that the
6 aggregates are part of the mixed design?

7 A. No, I don't have any
8 records, but actually the mixed design itself as
9 the mix was okay. The mix, you know, was done in
10 accordance with OPSS and met the requirements for
11 the mix.

12 Q. Okay, but then the
13 aggregates has been -- we talked about that
14 before. They are part of the mix design, although
15 I appreciate a discrete part in a way.

16 A. Yes.

17 Q. So just to confirm,
18 you're not aware of an actual approval given by
19 you or anyone else at Golder of the aggregates?

20 A. No.

21 Q. Thank you. We can pull
22 that down. Thank you.

23 I should also indicate,
24 Commissioner, I understand that Golder has made
25 efforts to locate a document of that nature and

1 has not been able to, but efforts have been made.

2 MS. JENNIFER ROBERTS:

3 Counsel, I can confirm that.

4 MR. LEWIS: Thank you.

5 BY MR. LEWIS:

6 Q. Now, if we could go to
7 image 61 and 62. Paragraph 127 sets out a --
8 Commissioner, sets out e-mails between
9 Dr. Uzarowski and Mr. Delos Reyes on August 21st,
10 2007 following the site meeting we were just
11 talking about pertaining to 32 asphalt test
12 results conducted by Golder and the results on a
13 number of those tests being rejectable and then
14 there being some confusion around potential
15 mislabelling of some of those results. I can
16 advise there's no communications following this in
17 the inquiry database about the results or any
18 follow-up on them, but given the number of test
19 results involved -- as you can see, they are cited
20 there at the bottom of image 61 -- and just sort
21 of the complexity of the explanation given, I
22 thought it was more efficient to have
23 Dr. Uzarowski and Mr. Delos Reyes address the
24 matter by way of affidavit rather than oral
25 evidence in-chief.

1 So I would like -- we can pull
2 up Dr. Uzarowski's affidavit. I'm not going to
3 ask any questions on them today. It's RHV928.

4 This is the affidavit of
5 Dr. Uzarowski affirmed on April 8th, 2022, and
6 this provides an explanation of the issues in that
7 paragraph of the overview document.

8 If I could make this an
9 exhibit. I believe it is 23. I got a thumbs up
10 from Ms. Hendrie, which means my numbering remains
11 correct.

12 EXHIBIT NO. 23: Affidavit of
13 Ludomir Uzarowski affirmed on April 8, 2022,
14 RHV928.

15 MR. LEWIS: And then if we
16 could pull up RHV927, which is Mr. Delos Reyes's
17 affidavit. He'll be testifying on Monday but --
18 so we have these together, it makes sense to do
19 that. It's the affidavit of Andros Delos Reyes,
20 affirmed on April 9th, 2022. Deals with the same
21 issue. If we could mark that as Exhibit 24.

22 EXHIBIT NO. 24: Affidavit of
23 Andros Delos Reyes, affirmed on April 9th, 2022,
24 RHV927.

25 MR. LEWIS: I'll proceed then.

1 If you could take that down, Registrar.

2 I was going to now move on to
3 the topic of the MTO skid testing, the arranging
4 of it and the skid testing itself. We're planning
5 on the break at 3:15, but perhaps since it's a new
6 topic, would this be a good time for a break?

7 JUSTICE WILTON-SIEGEL: It
8 would probably be a good time. Should we take the
9 full 15 minutes or should we shorten it?

10 MR. LEWIS: I think I would
11 leave it to Dr. Uzarowski, and I'm fine with 10
12 minutes, take a quick break, if that's okay with
13 Dr. Uzarowski.

14 I would also ask -- I think I
15 will be finished by the end of today, so I would
16 ask counsel for the participants to start thinking
17 about -- so we can have a discussion at the end of
18 the day -- about allocation of time tomorrow. If
19 I do continue on to tomorrow, it will be quite
20 short, but I'm hopeful to be done by the end of
21 today.

22 JUSTICE WILTON-SIEGEL: Thank
23 you, Mr. Lewis. Let's take a 10-minute break, and
24 I would encourage counsel to have a conversation
25 with Mr. -- think about the conversation with

1 Mr. Lewis which I guess you'll have at the end of
2 the session today. Thank you.

3 --- Recess taken at 3:11 p.m.

4 --- Upon resuming at 3:22 p.m.

5 MR. LEWIS: We're back. May I
6 proceed, Commissioner?

7 JUSTICE WILTON-SIEGEL: Yes,
8 please do.

9 By MR. LEWIS:

10 Q. Registrar, I'm going to
11 switch to overview document 4, and in particular
12 images 49 and 50 to start. Registrar, did you
13 catch my instruction, overview document 4, images
14 49 and 50? Thank you.

15 I want to talk about, as I
16 indicated, the lead up to and the skid testing
17 itself on the Red Hill on October 16th, 2007.

18 In paragraph 109 at the bottom
19 of image 49 and going on to 50, you have some
20 notebook entries from September 10th of 2007 and
21 they -- I understand that these reflect
22 discussions about the MTO conducting the skid
23 testing on the Red Hill Valley Parkway which you
24 had discussed with Mr. Raymond, of course, back on
25 July 31st of 2007 as we discussed.

1 So do you recall who you had
2 discussions with? I see references there in the
3 first note, it says:

4 "Request for the City of
5 Hamilton. SN, give the location. Frank,
6 tomorrow."

7 Frank I think is Frank
8 Marciello of the MTO. Does that sound right?

9 A. Yes.

10 Q. And then on the next page
11 at the top it says:

12 "Estimate, 40 miles an hour,
13 posted speed, a wet test Monday 10 a.m., Gary."

14 And then there's another in
15 110, it says:

16 "Red Hill Valley Parkway.
17 Gary Moore, IRD instrumentation, SN testing.
18 Chris Raymond, SN, RVM spec, and Andros,
19 deficiencies."

20 So the SN testing, that means
21 skid number testing; is that right?

22 A. Yes, it is.

23 Q. And so these are notes in
24 part referring to arrangements for the testing.
25 And do you recall when it says "request for the

1 City of Hamilton," what's that talking about? Is
2 that about the MTO wanting a request made by the
3 City of Hamilton?

4 A. You know, I think a
5 request for the City of Hamilton -- I don't
6 recall. You know, I know it was about the
7 friction testing, obviously for the City of
8 Hamilton on the Red Hill Valley Parkway, but --
9 you know, I can only say that yes, I wanted this
10 testing to be done and it was supposed to be done.

11 Q. In the next paragraph,
12 111 it's an e-mail from Mr. Raymond to Mr.
13 Marciello and Ms. Lane internally to the MTO
14 referring to a telephone call with you and you
15 say:

16 "Ludomir called me this
17 afternoon regarding the City of Hamilton friction
18 testing that we discussed this morning."

19 And -- right? And so do you
20 recall who you spoke to at the City about this in
21 light of your note?

22 A. Maybe when I said
23 request, this is maybe when I talked to Chris and
24 asked about this. From the City it would be Gary
25 Moore or Marco Oddi or both of them. But

1 definitely Gary Moore would know about this and --

2 Q. So definitely Gary Moore,
3 maybe Marco Oddi?

4 A. Maybe Marco Oddi. Yes,
5 Marco Oddi was in charge of construction, so I
6 would have to let him know because that was his
7 responsibility because the project has not been
8 completed and open to traffic, and Gary Moore was
9 the person -- you know, he was the director of
10 engineering, so he would have to know this.
11 Likely both of them.

12 Q. In the last sentence of
13 the paragraph of the e-mail at 111, it says:

14 "I informed him that we would
15 conduct the testing once the request is received."

16 And we can get to it, but, you
17 know, there's subsequent correspondence about the
18 testing and about a request being required by the
19 MTO. Do you recall that as being an issue? Why
20 don't we go to it.

21 At image 52 and 53, if we
22 could pull those up, please, there's a series of
23 e-mails internal to the MTO that follow an e-mail
24 from you in 116, in paragraph 116 at the top of
25 the image 52, where you e-mail Mr. Raymond and --

1 in the last sentence:

2 "Also, as discussed with you
3 before and with the City of Hamilton, could you
4 please carry out the skid number testing on the
5 RHVP pavement?"

6 That leads to a series of
7 communications internal to the MTO. In 117,
8 Mr. Raymond indicates internally in the second
9 paragraph:

10 "Ludomir is requesting
11 friction testing and the City does not have
12 objections to the testing, but the City is not
13 making a request to the ministry."

14 And there are some further
15 discussions. In 119, Mr. Kazmirowski, that's Tom
16 Kazmirowski of the MTO at the time, indicates:

17 "We should have Ludomir
18 instruct the City to either request the testing or
19 at least approve Ludomir's request for testing and
20 give permission for us to test their facility."

21 And there's again further
22 discussion about it, and in paragraph 121 --
23 sorry, 120, Ms. Lane indicates:

24 "We don't need a letter of
25 request, but we do need their approval."

1 Referring to the City of
2 Hamilton. In 121, Mr. Raymond says:
3 "Yes, the City is in agreement
4 but it is strange that the City are not willing to
5 write a request. I asked Ludomir to specifically
6 send me a request from the City a few weeks ago."

7 And then Ms. Lane responds,
8 says:

9 "Maybe they are concerned
10 about the results from a liability perspective."

11 And then she says:

12 "Anyway, we had agreed earlier
13 this year to provide testing rather than money for
14 instrumentation, which was their original request.
15 Please coordinate with Frank."

16 So do you know why the City of
17 Hamilton did not want to make a request directly
18 to the MTO?

19 A. No, this is -- I don't
20 know, and, you know, I would have to speculate.
21 But no, I know that I wanted, and the City agreed
22 with me, that -- you know, the City gave me okay
23 for friction testing, but I don't know why they
24 didn't want to issue a request for testing again.
25 That would -- I would have to speculate.

1 Q. Did Mr. Moore or Mr.
2 Oddi -- did you have any discussions with them
3 about that? I mean, you must have had some
4 discussion because they -- if you knew that they
5 weren't going to make a request, you must have had
6 a discussion with them.

7 A. You know, like I -- they
8 are the owners of the road, so I had to get their
9 permission. So I had to talk to them and get
10 their permission. They knew about this that I
11 wanted to do the testing and MTO was ready to do
12 the testing for free because that was a
13 contribution, but I don't know why they didn't
14 want to issue a request. You know, probably,
15 okay, I want to do it, so they agree with this
16 thing that I -- that it can be done on the road,
17 but I --

18 Q. Is there a concern about
19 a Freedom of Information request might result in
20 something in the disclosure of the request for the
21 testing? Was there any discussion of that?

22 A. No, this is like -- you
23 know, I didn't hear anything. I don't know
24 whether there are any -- there were any politics.
25 I don't know. I only know that I got okay from

1 them to -- from the City to do the testing, but
2 they didn't want to give the request.

3 Q. You referred to a
4 contribution in those e-mails, and we will be
5 asking MTO people about it. They talk about, in
6 relation to an earlier suggestion, that they
7 agreed earlier in the year to provide testing
8 rather than money for instrumentation, which was
9 their original request. Do you have any knowledge
10 about that earlier -- those earlier discussions
11 prior to your July 31st discussion with Mr.
12 Raymond?

13 A. I know that there was a
14 discussion because the City wanted to install the
15 monitoring station that would include those --
16 one, the traffic monitor (indiscernible) pavement
17 response. So the City was trying to get some
18 contribution from the Ministry, and I think I --
19 as far as I recall, even, you know, like
20 University of Waterloo offered that, you know, if
21 they get some money, that they can double the
22 funding through NSERC. So there was some
23 discussion because there were number of parties
24 involved --

25 Q. Did you know that at the

1 time or is this what you've learned after the
2 fact?

3 A. Oh, no, about this -- I
4 know not of the -- I knew about this -- I knew
5 first off about NSERC because I think Professor
6 Tighe told me that, you know, if we get some
7 funding then if it's through the university, that
8 they can double the funding. So I knew at that
9 time well before this inquiry and the testing.
10 But not about the details like -- that was rather
11 between the City and the ministry who would
12 contribute to what.

13 Q. I want to come back again
14 to 2007, at that time, because you had your
15 discussion on July 31st with Mr. Raymond. Prior
16 to that, were you aware of discussions between the
17 City and Hamilton about friction testing?

18 A. No, friction testing, no.
19 The friction testing I think was, you know, after
20 my discussion with Chris Raymond, but -- not about
21 friction testing, but I know there was discussion
22 about the monitoring station.

23 Q. About the monitoring
24 stations. Yes, I understand that. I get that.
25 And there will be evidence about whether friction

1 testing was discussed, but I just want to be
2 clear, when you had your discussion with Mr.
3 Raymond on July 31st, if I understand you
4 correctly, you were not at that time aware of any
5 prior discussions about friction testing on the
6 Red Hill; is that correct?

7 A. No, I don't recall any
8 before.

9 Q. Thank you. We know that
10 you had Mr. Delos Reyes get involved in making the
11 logistical arrangements, is that right, for the
12 testing to take place?

13 A. Yes. Yes, I ask Andros
14 to do it.

15 Q. And we know that Mr.
16 Delos Reyes gave some instructions -- or rather,
17 let Dufferin and Philips know that this was going
18 to take place, that the testing was going to take
19 place. And this is at -- if you go to images 55,
20 56. This is at paragraph 127 at the top of 56
21 where Mr. Delos Reyes forwards an e-mail from Mr.
22 Marciello to Philips and to Dufferin, James
23 Wharrie, stating "Gentlemen, for your information
24 and permission," and he's forwarding an e-mail
25 from Mr. Marciello about making arrangements for

1 the testing. Did you have any recollection of you
2 yourself discussing with Dufferin or Philips that
3 skid testing was going to take place?

4 A. No, I don't recall any.
5 I think I left it to Andros because he had to
6 arrange this thing with the contractor. That was
7 construction site. It was not open, so the
8 construction that was there, their responsibility,
9 so he had to get their permission.

10 Q. Right, because they're
11 going to drive along a still not open construction
12 site, you want to get the okay.

13 A. Yeah, they were in charge
14 of health and safety on that, so he had to get
15 their permission and arrange this thing with them.

16 Q. Okay. You weren't
17 present for actual skid testing when it occurred
18 on October 16th, 2007, were you?

19 A. No, I wasn't.

20 Q. And then if we go to OD4,
21 image 62. We'll come back to that image at the
22 top. But on October 18th, this is paragraph 139,
23 at the bottom of the image, Mr. Raymond e-mailed
24 you and Mr. Delos Reyes the MTO's friction testing
25 results that was conducted by Mr. Marciello on

1 October 16th, 2007. And he wrote:

2 "Attached please find the
3 friction testing results for the Red Hill Valley
4 Parkway. Please pass the results on to those
5 involved with the project. You may wish to note
6 that some of the friction numbers less than 30
7 correlate with being located under a structure.
8 Should you have any questions regarding the
9 results, please do not hesitate to contact us."

10 And before we go to the
11 results themselves, what did you understand Mr.
12 Raymond's comment to mean where he said "you may
13 wish to note that some of the friction numbers
14 less than 30 correlate with being located under a
15 structure"?

16 A. So, you know, I look at
17 the number. It was obvious that wherever there
18 were structures, the numbers were -- overall, they
19 were significantly higher than 30, but under
20 structures, you know, a few numbers were slightly
21 below. So I understood that this was because of
22 the presence of the structure, the exact location
23 where the structures were.

24 Q. Overhead structures. You
25 mean overpasses for the roads?

1 A. Yes, overhead structures,
2 yes.

3 Q. Okay. If you could pull
4 up 61 and 62, please, Registrar, just so when we
5 have both charts up we can expand them when we
6 need to.

7 What did -- you refer to the
8 number 30, the friction numbers less than 30.
9 What did you understand to be the significance of
10 the friction number for skid number of 30 for the
11 MTO?

12 A. You know, here I was
13 thinking about this usual friction number would be
14 before the pavement structure was open to traffic.
15 So the number 30 was the expected value that the
16 ministry expected on the pavement. So I think
17 that was -- I would call like -- I think they call
18 it in one of the papers expected value for early
19 friction, to consider this thing as acceptable.

20 Q. I just want to back up.
21 Putting aside the Red Hill for a second.

22 A. Yes.

23 Q. Are you saying that
24 you -- did you have an understanding at the time
25 about the MTO's use of the friction number of 30

1 for some purpose?

2 A. Like, you know, there was
3 general opinion within the pavement community or
4 industry that the value of 30 was the -- how can I
5 say - like, you know, expected value or reasonable
6 acceptable value for asphalt pavement.

7 Q. As the friction number?
8 If it was 30 or above it was okay?

9 A. Sorry?

10 Q. If it was 30 or above it
11 was okay?

12 A. Yeah, so basically if it
13 was 30 or above, that was okay, yeah.

14 Q. Okay. And that's
15 something that you knew through your experience at
16 the industry, you were aware that the ministry
17 used it in that fashion?

18 A. Yeah, it was like -- you
19 know, it was generally well known. That number 30
20 was known by the industry and in the pavement
21 community.

22 Q. So did you understand
23 what the MTO's approach was when an FN of under 30
24 was obtained from its skid testing? Did you have
25 any appreciation or understanding of that?

1 A. So, you know, this was
2 only for -- you know, for me it was just the
3 initial friction number, so I knew that this
4 number would go up almost right away. So in this
5 particular case, you know, I don't know if this is
6 your question, like my opinion. When I look at
7 this, I knew, okay, 30 was expected, some number
8 slightly below. I know it was not open to
9 traffic, so when they open to traffic it will go
10 almost immediately -- not immediately, because
11 when the asphalt cement film wears off it will go
12 quickly up. So I anticipated very soon it would
13 be above 30.

14 Q. So just to unpack that a
15 little bit. Are you referring to specifically SMA
16 pavement and the early low friction issue that we
17 talked about earlier or any pavement?

18 A. Any pavement.

19 Q. That's new. Okay.
20 Initially you'll have a lower FN, but it will
21 increase once there's some traffic on it?

22 A. Yeah, usually, you know,
23 you always have because you have the surface of
24 the aggregate is covered with relatively thin film
25 of asphalt cement. The estimate (ph) will a

1 little bit thicker, but when it wears off it
2 exposes the surface of the aggregate, so the FN or
3 FN numbers go up immediately.

4 Q. Okay.

5 A. Immediately, I mean
6 within like whatever. Typically I think we
7 consider this like, you know, 60 days or
8 five weeks or something.

9 Q. That it will take to
10 increase?

11 A. Yes.

12 Q. At this point, were you
13 aware, in light of your conversation with Mr.
14 Raymond back in July 31st, about the specific
15 issue with SMA early age low friction? Was that
16 something you also had in your mind when you were
17 looking at these results?

18 A. So, you know, I was
19 interested -- you know, of course I was, because
20 that was the purpose for me, so I was interested.
21 But, you know, when I look at the results, I
22 consider this results maybe -- of course I would
23 prefer not to have a single one below 30, but when
24 I look at this with a few just very slightly below
25 and the average 34, I saw that that were, you know

1 good, acceptable numbers.

2 Q. I think there were five
3 of the total numbers are slightly below 30, in
4 between 29 and 30 with the decimals.

5 A. Yes.

6 Q. One is 28.7 but slightly
7 below 30. So five of them are below there. And
8 what did you take from Mr. Raymond's mention of it
9 being -- of the lower results being underneath
10 structures?

11 A. So, you know, it was like
12 the overall between the structures, those values
13 were okay, and then, you know, there were some
14 under the structure. So I knew it will go up, but
15 likely it will be because of some schedule, maybe
16 lower pave (ph) of weathering. So there was
17 obviously some impact of the structure where
18 they're -- probably mainly because of the shadow
19 and, you know, oxidation of -- slower rate of
20 oxidation of asphalt cement. But I think was some
21 slight impact of the structures -- of the presence
22 of the structures.

23 Q. To be fair, you probably
24 didn't really know what the reason was. You just
25 took a note -- the way you're describing it, it's

1 sort of like, maybe it was this, maybe it was
2 that, but there's a correlation, it appears, to
3 being located underneath a structure. Do you
4 really actually know or did you know what the
5 reason was?

6 A. No.

7 Q. Prior to this, just to
8 confirm, you had had been involved in the British
9 pendulum testing when you were at JEGEL but not
10 with the type of skid tester -- skid testing that
11 the MTO did; is that right?

12 A. Yes, that's right.

13 Q. All right. Did you have
14 any further discussions with Mr. Raymond about
15 these specific results? There are some subsequent
16 discussions that we'll get to in a second about
17 potentially doing British pendulum testing and so
18 forth. Do you recall if you had any further
19 discussions with Mr. Raymond about these results?

20 A. No. I think I thank him,
21 but I don't recall any particular conversation
22 about his results.

23 Q. You sent him an e-mail
24 back saying thanks very much, I will -- and
25 indicating that you would discuss it with the

1 City.

2 If we could go to image --
3 keep 262, move to 63. At the top of 63, as you
4 indicated, you thanked Mr. Raymond for the
5 results, and you were going to discuss with the
6 City, and then you forwarded Mr. Raymond's e-mail
7 with the friction test results to Mr. Moore and
8 Mr. Oddi indicating:

9 "Please find attached the
10 results of the friction testing on the Red Hill
11 Valley Parkway completed for us by MTO. I will
12 call you to discuss the results."

13 Do you recall discussing the
14 results with them, and if so, which of them?

15 A. You know, I definitely
16 would given them a call and -- you know, I think
17 it was probably, okay, these are the results and
18 the results are, in my opinion, acceptable -- not
19 perfect but acceptable -- and I would have to
20 check if there is anything in my notes, but I
21 definitely would call them, yes, call them and
22 discuss it, but I don't have any particular --

23 Q. We do in your notes - we
24 have a couple of notes of yours from -- if we go
25 to the typewritten transcription, at RHV933,

1 images 181 and 182. You see on October 18th it
2 indicates RHVP, FN number, also instrumentation,
3 PM24, and October 19th, RHVP instr project. So
4 there's not anything -- it does say SN (ph)
5 numbers on October 18th. Does this assist you at
6 all?

7 A. Yeah, so I probably --
8 you know, I probably -- I probably called them and
9 told them this is like -- this SN numbers that we
10 got from Chris, but I see I also talk about the
11 instrumentation because that was a big involvement
12 at that time.

13 So I understand, yes, I sent
14 them an e-mail and I follow with a telephone call,
15 but there was nothing particular. It's probably,
16 okay, these are the numbers and the numbers are
17 acceptable.

18 Q. Am I correct that, from
19 the way you've described it, you don't have a
20 specific recollection of the discussion, but you
21 believe that you did call Mr. Moore and Mr. Oddi
22 to discuss the results and that you would have
23 said that they are acceptable results, is that
24 correct, or do you have a specific recollection of
25 speaking to them?

1 A. No, I -- sorry, I
2 interrupt you. No, I don't have particular
3 recollection, but this is something like I sent an
4 e-mail and then I said I will call you. Probably
5 get a quick call and say, okay, these are the
6 numbers and the numbers are good, are acceptable.

7 Q. Do you think you would
8 have discussed the significance of FN30 and the
9 there being results below FN30? Is that something
10 you would have discussed as well?

11 A. You know, I don't
12 anticipate I would discuss this because they were
13 slight -- very slightly, and I anticipated as soon
14 as it was open to traffic they will go higher
15 because it was pretty good. So no, I wasn't -- I
16 didn't have any particular concerns with this
17 thing.

18 Q. I get that. I'm just
19 wondering if you would have discussed that with
20 them? Would you have discussed the likelihood
21 that the numbers would increase?

22 A. Yeah, it's possible that
23 I would tell -- I don't have, you know, detailed
24 recall because for me it was like, you know, so
25 close, but obviously I think they were aware and I

1 was aware that it would go up immediately. But,
2 you know, I don't have detailed recall whether I
3 would just tell them this particular thing.

4 Q. Why would they be aware
5 that the numbers would go up? Did you have an
6 appreciation that Mr. Moore or Mr. Oddi had an
7 understanding of friction numbers and so forth?

8 A. I think why -- probably
9 because, you know, it's like -- you know, it's
10 common sense, it will resolve quickly and then the
11 numbers go up. But, you know -- like I know, but
12 for me -- because I'm a materials guy, so for me
13 it's obvious and common sense, so I realize
14 that --

15 Q. You may or may not have
16 discussed that with them?

17 A. Yes, you're right.

18 Q. All right. Now, if we go
19 to image 65. Sorry, image 65 in the overview
20 document 4. Thank you. At paragraph 146. On
21 October 19th, so again the day after the testing,
22 you wrote to e-mail Mr. Raymond with Mr. Delos
23 Reyes about British pendulum testing on the Red
24 Hill Valley Parkway. You indicated:

25 "Chris, I talked to the City

1 of Hamilton today. You can go ahead with the
2 British pendulum testing on the SMA on the Red
3 Hill Valley Parkway before it is open to traffic.
4 Please let Andros Delos Reyes from Golder know
5 when you will be doing the testing."

6 We know from subsequent
7 e-mails that the British pendulum testing didn't
8 take place, but it says that you talked to the
9 City of Hamilton today, which was the 19th of
10 October, and do you recall again who that would
11 have been about British pendulum testing?

12 A. So that would be
13 definitely Chris Raymond.

14 Q. No, sorry, you wrote to
15 Chris Raymond, but who at the City of Hamilton did
16 you talk to on that day?

17 A. It would have to be
18 either Gary Moore or Marco Oddi, one of them. But
19 I think it was just for -- I believe for
20 correlation, so it would be -- from the City, it
21 would be just a courtesy, just to allow the
22 ministry to do the correlation.

23 Q. So when you say that, do
24 you mean -- are you saying that you think that it
25 was the MTO that wanted to do the British pendulum

1 testing for the purpose of correlation between
2 British pendulum testing and the MTO skid tester?

3 A. Yes, I think MTO wanted
4 this.

5 Q. So you're saying that
6 that was a request not from you or from the City
7 to the MTO, but it was a request from the MTO to
8 you and the City; is that right?

9 A. Yes, this is my
10 understanding. This would be a great opportunity
11 for MTO to correlate the locked wheel tester
12 against British pendulum tester, on, you know,
13 similar weather, the same pavement. So these are
14 rare opportunities to do this, yeah. I think it
15 would come from MTO.

16 Q. You said it was "my
17 understanding," but you were the one that was
18 involved in it. So is that your recollection?

19 A. Again, like, I would --
20 I'm pretty positive that it will come from MTO,
21 but I don't remember the detailed conversation,
22 but to me it would be -- make sense and I'm pretty
23 positive that it would come from the ministry.

24 Q. Thank you. That's what I
25 wanted to know.

1 We'll see it didn't take
2 place. There's an e-mail later from Mr. Raymond
3 that says I think the pendulum testing of the SMA
4 will not happen. Do you have any recollection of
5 why that was?

6 A. No, I don't know.
7 Because I think also, if I recall, also OHMPA was
8 talking about some correlation. No, no, I don't
9 know why it didn't happen. No, I don't know. We
10 gave them opportunity they could do it, but at the
11 end they decided not to do.

12 Q. And then if we could go
13 to -- actually, it's still at 65, right below
14 there, paragraph 147. On October 22nd you sent an
15 e-mail to Mr. Raymond with the subject line
16 "pavement shot blasting." And you're giving him
17 information about a company named Blastrac, and
18 you understand shot blasting is a method of
19 friction remediation; is that correct?

20 A. Not -- it's a method of
21 retexturing of pavement. By doing shot blasting
22 you improve macro and microtexture.

23 Q. Which has a salutary
24 effect on the frictional qualities?

25 A. Oh, yeah, definitely.

1 Definitely. That's the main purpose. So yeah, I
2 don't know if you want more information about --

3 Q. Not at the moment. My
4 question is actually does this have -- does this
5 request -- sorry. You're giving him this
6 information. Did this have anything to do with
7 the Red Hill Valley Parkway?

8 A. No, not with the Red Hill
9 Valley Parkway.

10 Q. Because there's e-mails
11 and there's a number of things of e-mails back and
12 forth about shot blasting and so forth, and I want
13 to take you to images 69 and 70. Beginning at the
14 bottom of 69, paragraph 155, you e-mail Mr.
15 Raymond with the subject line "friction on SMA on
16 Hamilton's Red Hill Valley Parkway," which appears
17 to be responding to your earlier e-mail about
18 Blastrac.

19 And again you're giving him at
20 the top of page 70, contact information for
21 Blastrac, and then you talk about -- you ask him
22 if he would like to tour the Red Hill Valley
23 Parkway pavement and instrumentation and then
24 talking about arrangements for that.

25 And then 157, you'll see that

1 Mr. Raymond responds to your e-mail, he thanks you
2 for the Blastrac information, and then he says
3 he's been really busy here with SMA issues and
4 construction of the MTO's first pervious payment,
5 I assume he means permanent but pervious payment.
6 And says:

7 "I will follow up with you
8 regarding the tour of the site once I hear from
9 Becca. I think that the pendulum testing of the
10 SMA will not happen."

11 So that's what I was just
12 referring to about the British pendulum testing
13 not happened, the subject line says "friction on
14 SMA and Hamilton's Red Hill Valley Parkway," and
15 then you talk about the other issues, including
16 Blastrac. So you've indicated that the Blastrac
17 information was not about the Red Hill. Can you
18 explain this?

19 A. I think that the subject
20 is because, you know, you just -- when you
21 respond to somebody, you click 'reply' and the
22 subject comes automatically. But I -- you know,
23 we -- sometime before we talk about this low early
24 friction, so in my mind it would be one
25 possibility of addressing low friction. But by

1 using Blastrac -- at that time I was thinking
2 about Blastrac also, skidabrading. But Blastrac,
3 because it's very quick, it's low cost and very
4 efficient. I send it to Chris because I attended
5 a conference in the States on airports and they
6 had a practical demonstration. I was very
7 impressed how quickly, how effectively, how good
8 it looked after just one pass. So I was so
9 impressed that I got their contact information,
10 and I wanted to share it with Chris because I
11 think if they have problem with early friction,
12 that can be one of the solutions.

13 Q. Right. We anticipate
14 that Mr. Raymond will indicate that this was
15 not -- the Blastrac information was not about the
16 Red Hill Valley Parkway, it was just in light of
17 that e-mail. It's the same subject line as was
18 used in Mr. Raymond's e-mail on October 18th when
19 he sent you the results, "friction on SMA on
20 Hamilton's Red Hill Valley Parkway."

21 Do I understand what you are
22 saying is you picked another e-mail and -- with
23 Mr. Raymond and you responded to it in that way
24 with the subject line, is that what you were
25 getting at?

1 A. I think you just click
2 'reply' or whatever, then the subject comes
3 automatically. No, I didn't have any other
4 friction -- no, not about Blastrac and SMA. No,
5 that was not related.

6 Q. Now, we know that the MTO
7 conducted skid testing on the Red Hill Valley
8 Parkway in 2008, each year in 2008 through 2012
9 and in 2014. For the most part we're not going
10 beyond 2007 and '08, but I just want to cover this
11 area in this portion of your evidence.

12 That subsequent skid testing
13 that was conducted by the MTO. Were you aware of
14 that testing at the time it occurred in each of
15 those years?

16 A. No, I was not.

17 Q. When did you become aware
18 of it?

19 A. About this
20 investigation -- about this -- you know, during
21 this inquiry, but also there was -- it was before
22 inquiry or during inquiry, an article in Hamilton
23 Spectator.

24 Q. There was -- before the
25 inquiry was called, there were publications in the

1 newspaper, but that was in 2019. So you're
2 talking about much after the fact when the issues
3 in this inquiry became public. Are you saying
4 that's when you first learned about the subsequent
5 MTO skid testing?

6 A. Yeah, so that was --
7 because there was also I think some interview with
8 the minister, and then they -- so that was the
9 first time -- and obviously during this inquiry.

10 Q. Did you also become aware
11 at some point that the Demix-Varennes Quarry had
12 been added to the MTO'S DSM list?

13 A. I noticed this -- you
14 know, it must have been after 2009, but I knew
15 this, that -- I knew Demix Quarry was placed on
16 the DSM list.

17 Q. Right.

18 A. In 2009 -- it was placed
19 in 2009 but when I noticed, probably somewhere --
20 I don't know -- '09 or '10 or something.

21 Q. At some point after when
22 it was on the list in 2009?

23 A. Yes.

24 Q. As we said, you were
25 aware that in order to be listed on the DSM that

1 skid testing had to be conducted on a road
2 containing the aggregates in question. Were you
3 aware that that skid testing had been performed on
4 the Red Hill Valley Parkway?

5 A. No, I was not.

6 Q. If we could go to image
7 90, in OD4. There's two paragraphs, 2012 and
8 2013. In the first one on November 15th, 2010 --
9 so we're now in 2010, Mr. Marciello, Frank
10 Marciello of the MTO who did the skid testing at
11 the MTO, and Becca Lane of the MTO -- had an
12 e-mail discussion where Mr. Marciello is writing
13 about the arrangements made for the skid testing
14 in October 2007 and explaining what had happened
15 there, and then he indicates in the second
16 paragraph:

17 "Northbound lanes have shown
18 declining friction properties from the start,
19 while southbound lanes improved in the first year
20 and then started declining after."

21 You weren't copied on this
22 e-mail, I appreciate, but then you'll see in
23 paragraph 213 Ms. Lane writes back to Mr.
24 Marciello:

25 "Good stuff, Frank. Thank

1 you. Perhaps I will call Ludomir for a City of
2 Hamilton contact."

3 Then she goes on and asks Mr.
4 Marciello for the most recent friction testing
5 results from the spring of 2010, which he provided
6 to her.

7 Do you recall -- appreciating
8 you weren't copied on these e-mails, but do you
9 recall whether Becca Lane of the MTO called you to
10 ask for contact information at the City at around
11 or about that time?

12 A. I'm not sure at that
13 time. I know that probably a few years later she
14 contacted me and I gave her Gary Moore's contact
15 information, but I don't think it was in 2010.
16 No, I don't think it was in -- it was probably a
17 few days -- a few years after.

18 Q. Just on your level of
19 certainty of this, you are certain that at some
20 point Becca Lane contacted you and you gave her
21 Gary Moore's contact information. You're certain
22 about that; is that correct?

23 A. I think so, but it was,
24 you know -- I don't know, maybe like 2015, '16,
25 something around that time. At one point of time

1 I think she contacted me, she asked me, and I
2 thought I would -- yeah, I think I gave her Gary's
3 contact information.

4 Q. The timing of it, how
5 certain are you about that? I think you said at
6 first two or three years, and then you said maybe
7 2015, 2016, and I'm just wondering do you have any
8 more certainty other than that you don't think it
9 was in 2010?

10 A. Oh, definitely it was
11 not -- I don't think it was in 2010. It was -- I
12 think it was -- maybe I was wrong that I say two
13 or three years. I think it was -- you know, I can
14 check again my -- not at that time. I don't think
15 at that time. It was much later.

16 Q. By all means let us know.
17 We're not aware of a note that indicates about
18 that. Is it fair to say that you don't have, and
19 correct me if I'm wrong, that you don't have any
20 certainty about the timing of the call, other than
21 that it wasn't in that 2010, it was at some point
22 a number of years after? Is that -- that you
23 don't have any certainty? Could it be 2014 or
24 2015 or 2016? Are those equal possibilities, or
25 are you more certain of one than the other?

1 A. I think, you know, there
2 are probably some e-mails around that time when
3 she send me something, I responded. So I think
4 there are probably records of this. But I don't
5 recall -- I don't recall anything in 2010.

6 Q. Well, we don't have any
7 other information that indicates when the call
8 was. Otherwise, I would be using that to refresh
9 your memory.

10 A. Definitely not in -- I
11 don't recall anything in 2010. I know it was --
12 it may be '15 or '16 because '15 I was involved in
13 asphalt cement investigation, so that was probably
14 roughly about that time. But not at -- in 2010 I
15 don't recall.

16 Q. Do you recall if she was
17 -- what she was calling you about? Was it about
18 the Red Hill Valley Parkway? In these e-mails she
19 seems to be talking about friction results. Do
20 you recall what she called you about and you gave
21 her Gary Moore's number for at that later date?

22 A. You mean in '15 or '16
23 or -- yeah, that was something about Red Hill -- I
24 think it was Red Hill Valley Parkway. I can
25 check. I would have to check the e-mails, but she

1 -- I think she -- yes. I can check my e-mails and
2 my records, but not at this time, no.

3 Q. We're not aware of any
4 further communications about it. I'm sure
5 Golder's counsel will let us know if there is
6 something that we haven't seen.

7 MS. JENNIFER ROBERTS: There's
8 not in this time period, Counsel.

9 BY MR. LEWIS:

10 Q. Thank you. If we could
11 move on to the paper that you referred to a couple
12 of times about the construction of the Red Hill.

13 JUSTICE WILTON-SIEGEL: Just
14 before you do that, Mr. Lewis, we're almost at
15 4:15. How much longer do you anticipate being?

16 MR. LEWIS: I wonder if -- I
17 think I'll be about 15 more minutes, but I wonder
18 if perhaps we could call it a day. I think that
19 it would be more efficient if I finished off in
20 the morning. And I'll be quite short. I'm just
21 really going to cover one more topic, and it's
22 going to be the -- just so Mr. Uzarowski knows, it
23 will be about the paper he referred to, the 2008
24 TAC paper that he had referred to. I think that's
25 15, possibly 20 minutes, but it won't be longer

1 than that.

2 JUSTICE WILTON-SIEGEL: That
3 makes sense. There's a breakout room for counsel.

4 MR. LEWIS: I believe --
5 Registrar, has that been set up? I would like to
6 have a discussion with all counsel about timing of
7 their questioning tomorrow, and we had asked this
8 afternoon that an all counsel room be set up. Has
9 that been done? If it hasn't been done, we can
10 meet somewhere else.

11 THE REGISTRAR: No worries,
12 Counsel, I can set it up for you.

13 MR. LEWIS: Great.

14 MS. JENNIFER ROBERTS: Can we
15 excuse Dr. Uzarowski?

16 JUSTICE WILTON-SIEGEL: Yes
17 that is what I was going to suggest. It's been a
18 long day for Dr. Uzarowski. We appreciate your
19 testimony, so you're certainly excused, and I
20 think if there's nothing else, Mr. Lewis, that
21 involves the commissioner, I will excuse myself by
22 adjourning until tomorrow morning at 9:30, and
23 I'll leave counsel to their discussion in the
24 breakout room.

25 MR. LEWIS: Thank you very

1 much.

2 --- Whereupon at 4:14 p.m. the proceedings were

3 adjourned until Friday, April 29, 2022

4 at 9:30 a.m.

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25