

RED HILL VALLEY PARKWAY INQUIRY

TRANSCRIPT OF PROCEEDINGS  
HEARD BEFORE THE HONOURABLE J. WILTON-SIEGEL  
held via Arbitration Place Virtual  
on Monday, May 9, 2022 at 9:30 a.m.

VOLUME 10

Arbitration Place © 2022  
940-100 Queen Street  
Ottawa, Ontario K1P 1J9  
(613) 564-2727  
900-333 Bay Street  
Toronto, Ontario M5H 2R2  
(416) 861-8720



INDEX

PAGE

GARY MOORE; AFFIRMED

1435

EXAMINATION BY MR. LEWIS

1435

1 Arbitration Place Virtual

2 --- Upon resuming on Monday, May 9, 2022

3 at 9:30 a.m.

4 JUSTICE WILTON-SIEGEL: Good

5 morning, all. Good morning, Mr. Moore.

6 THE WITNESS: Morning, sir.

7 MR. LEWIS: Good morning,

8 Commissioner. Counsel, Mr. Moore.

9 Before we begin the

10 proceedings today I would like to open this week

11 of hearing by acknowledging that the City of

12 Hamilton is situated based on the traditional

13 territories of the Erie, Neutral, Huron-Wendat,

14 Haudenosaunee and Mississaugas. This land is

15 covered by the Dish With One Spoon Wampum Belt

16 Covenant which was an agreement between the

17 Haudenosaunee and Anishinaabek to share and care

18 for the resources around the Great Lakes. We

19 further acknowledge that the land on which

20 Hamilton sits is covered by the Between The Lakes

21 Purchase 1792, between the Crown and the

22 Mississaugas of the Credit First Nation.

23 Many of the counsel appearing

24 on this hearing today are in Toronto which is on

25 the traditional land of the Huron-Wendat, the

1 Seneca and, most recently, the Mississaugas of the  
2 Credit River.

3 Today this meeting place is  
4 still the home to many indigenous people from  
5 across Turtle Island and we are grateful to have  
6 the opportunity work on this land.

7 GARY MOORE; AFFIRMED

8 MR. LEWIS: And before we get  
9 started, Commissioner, Mr. Moore is going to be  
10 called to testify at this time twice in this  
11 inquiry. Today and tomorrow is going to largely  
12 be about the time period encompassing design and  
13 construction of the Red Hill Valley Parkway,  
14 including some matters pertaining to the Lincoln  
15 Alexander Parkway, of course the earlier part of  
16 the project, ending in late 2007, and there will  
17 be a couple of trailing matters that do extend  
18 into later years but flow directly from the  
19 construction period. And essentially in terms of  
20 the overview document, those are the matters in  
21 overview document 3, 3.1, and some of 4. And it  
22 will not encompass the matters in overview  
23 documents 5 through 10 which will be addressed in  
24 Mr. Moore's second attendance.

25 EXAMINATION BY MR. LEWIS:

1 Q. Thank you for coming,  
2 Mr. Moore. Just to go through a bit of your  
3 background, to begin with, and your work history  
4 and education, I understand that you have a  
5 bachelor of engineering degree in civil  
6 engineering from McMaster University; is that  
7 right?

8 A. That's correct.

9 Q. Obtained in 1981; is that  
10 right?

11 A. Yes, that's correct.

12 Q. And then you were  
13 employed by the City of Hamilton and its  
14 predecessor entity the Regional Municipality of  
15 Hamilton-Wentworth from 1988 to 2020?

16 A. Yes.

17 Q. And prior to joining the  
18 region in 1988 just briefly what were you doing  
19 then in that intervening period between graduating  
20 from university and joining the region?

21 A. I was with a consulting  
22 engineering firm.

23 Q. Which one was that?

24 A. McCormick Rankin.

25 Q. What kind of work were

1 you doing then? Road construction work, other  
2 work?

3 A. Mostly project  
4 management, site supervision, contract  
5 administration, on-site for roads, sewers,  
6 bridges, water mains.

7 Q. Did you have the  
8 opportunity in some of that work to work on  
9 projects with the MTO?

10 A. Not on-site, no. I did  
11 prepare some contracts as -- when you moved into  
12 the office in the winter they moved you into  
13 other, you know, assisting work, put contracts  
14 together.

15 Q. Contract preparation in  
16 the offseason, essentially?

17 A. Yes, that's correct.

18 Q. And then when you joined  
19 the region I understand that from 1988 to 1993 you  
20 were first a project engineer and then a senior  
21 project manager; is that right?

22 A. That's correct.

23 Q. And then from 1993 to  
24 2001 you were the manager of the special projects  
25 office?

1                   A.    I believe that's correct,  
2    yes, those are the dates.

3                   Q.    So what was the special  
4    projects office at that time?

5                   A.    It not only included the  
6    LINC work or the freeway work, but any other major  
7    projects that required environmental assessments  
8    or a lot of (ph) consultant work and that type of  
9    thing.

10                  Q.    Major infrastructure  
11    projects? Or just roadwork?

12                  A.    No, there were  
13    infrastructure projects involved in that as well.  
14    I can't recall specifically right at this point  
15    but...

16                  Q.    Okay. And you were the  
17    manager. Does that mean you were the lead in  
18    charge of the special projects office?

19                  A.    No, there was a director  
20    in charge of special projects. I was the manager  
21    so I had some project managers and coordinating  
22    work and that type of thing.

23                  Q.    So the director, who was  
24    that during that time period?

25                  A.    I believe that was John



1 Vandermark.

2 Q. As manager were you in  
3 charge of the day-to-day of the office? Is that  
4 fair, or no?

5 A. It depends on what you  
6 mean day-to-day. I mean, the day-to-day of  
7 handling the projects, yes, but I wasn't really in  
8 charge of the people that -- they still reported  
9 to John and that type of thing, and the direction  
10 and administration of the office, that wasn't part  
11 of what I was doing.

12 Q. Right. So the HR, human  
13 resources stuff, administration of the office  
14 would have been -- were his remit, but the  
15 projects themselves, that was your side of it; is  
16 that fair?

17 A. That's fair.

18 Q. As you've said, one of  
19 the projects, a big one, I guess the biggest for  
20 the special projects office, was the design and  
21 construction of the LINC, Lincoln Alexander  
22 Parkway? It was part of the Red Hill Valley  
23 Parkway project at the time but it later was named  
24 the LINC?

25 A. Yeah, from 1993 to 2001,

1 part of that was the completion of the LINC, yes,  
2 up until '97.

3 Q. Right. And then there  
4 was the -- in 1999 there was the extension of the  
5 LINC to the Mud Street interchange?

6 A. Yeah, I believe that's  
7 the date, yes.

8 Q. Before you were in the  
9 special projects office -- you were there from '88  
10 to '92, '93 when you became the manager of the  
11 special projects office -- were you involved in  
12 the LINC design and whatever construction was  
13 going on at that point?

14 A. Yes, I was.

15 Q. And then moving forward,  
16 after you left the special projects office in 2001  
17 I understand you were the manager of design,  
18 capital planning and implementation from 2001 to  
19 2009; is that right?

20 A. Yes, I believe that's a  
21 timeframe of the title.

22 Q. And concurrently for part  
23 of that time period you were the manager who  
24 designed for the Red Hill Valley Parkway project;  
25 is that right?

1 A. That's correct.

2 Q. That would have been  
3 early 2003 through to the end of 2007?

4 A. Yes, that's correct.

5 Q. So you were holding two  
6 positions concurrently during part of that larger  
7 time period that I was just talking about?

8 A. Yes.

9 Q. And so just briefly talk  
10 about Marco Oddi, I understand that he reported to  
11 you when you were the manager of the special  
12 projects office until he left the special projects  
13 office in 2000. Does that sound right?

14 A. Yes.

15 Q. I can unpack. When he  
16 was there did he report to you at the special  
17 projects office?

18 A. Yes.

19 Q. Okay. And then my  
20 understanding is that he -- that was the time  
21 period. Fair to say that you can't recall the  
22 specific dates off the top of your head but that  
23 sounds about right?

24 A. Yes, when he was there.

25 Q. Okay. Again on the Red

1 Hill Valley Parkway project, I understand that  
2 he -- Mr. Oddi then came onto that project in sort  
3 of spring 2003 and then he reported to you again?

4 A. I believe that's correct,  
5 yes.

6 Q. And on the Red Hill  
7 Valley Parkway project you reported to Chris  
8 Murray until he left to take another position in  
9 the City in June of 2007; is that right?

10 A. Yes, that's correct.

11 Q. And then in 2009 you  
12 became the director of engineering services; is  
13 that right?

14 A. I believe it was  
15 September of 2009.

16 Q. September?

17 A. I think so.

18 Q. And that was until I  
19 understand May 28th, 2018, jumping way ahead?

20 A. Yes, yes. When I  
21 retired.

22 Q. Well, actually at '18, is  
23 that when you were assigned to the LRT project?

24 A. Well, I retired and then  
25 took a position -- a contract position with the

1 City. So I did retire but then moved to the LRT  
2 project at that time.

3 Q. And till June 2020; is  
4 that right?

5 A. Yes.

6 Q. What about now, are you  
7 fully retired at this point?

8 A. Yes, I am.

9 Q. If we could go to  
10 overview document 3 images 10 and 11. And  
11 Mr. Moore, from time to time I'll take you to  
12 specific documents but I'll also take you to what  
13 I think you're aware is the overview document.

14 A. Yeah.

15 Q. And to the extent that we  
16 can deal with the document just by -- if it's  
17 entirely put out in the overview document we will  
18 do that, but if you need to go to the underlying  
19 document we can certainly do that if I haven't  
20 already done so. And just let me know.

21 In paragraph 17 of overview  
22 document 3 it sets out on these two pages the  
23 section about the job description essentially of  
24 the various individuals and positions on the Red  
25 Hill Valley Parkway project dated March 25, 2003.

1 And the page on the right, if you could expand the  
2 paragraph in the middle, Registrar, it starts with  
3 Gary Moore, third paragraph down. And this --  
4 first, he was the manager of design.

5 Does this description  
6 accurately describe your role and responsibilities  
7 on the Red Hill Valley Parkway project from that  
8 period?

9 A. (Witness reviews  
10 document). Yes, it appears that that's the gist  
11 of it.

12 Q. I appreciate there may be  
13 details within those larger categories, but  
14 broadly speaking that's -- it's accurate?

15 A. That's correct.

16 Q. And if you could take  
17 that down, please, Registrar.

18 And the other team members,  
19 does it accurately describe their roles and  
20 responsibilities in the same way, broadly  
21 speaking?

22 A. Yes, I believe so.

23 Q. Was your role with the  
24 LINC design and construction and the LINC  
25 extension in the 1990s, was that similar to your

1 role as described here with the Red Hill?

2 A. Yes, I believe it was.

3 Q. So essentially the same  
4 role but on the earlier part of the overall  
5 project; is that right?

6 A. Yes.

7 Q. And with respect to the  
8 Red Hill portion, the north-south portion if we  
9 can call it, because I know that's what it was  
10 called in the earlier days, who on the team made  
11 the pavement design and specification decisions?

12 A. In terms of?

13 Q. Pavement structure, what  
14 each layer would be?

15 A. Specifically our  
16 consultant.

17 Q. But who made the -- those  
18 were the details, but what about the overall --  
19 who made the decision as to what it would be?  
20 They would recommend things. Who made the  
21 decision?

22 A. Well, it was always a  
23 team approach. I mean, we had certain ways that  
24 we wanted to approach on overall, you know, the  
25 north-south was basically initially the same as

1 the east-west type of thing in terms of pavement  
2 approach.

3 Q. But it was a different  
4 pavement structure that was used on the Red Hill?

5 A. It ended up being a  
6 different pavement structure, yes.

7 Q. Right. And so in terms  
8 of -- forgetting about consultants for the moment,  
9 who made recommendations? Who on the team made  
10 decisions as to the pavement design and structure?  
11 Was it you?

12 A. I might have made  
13 recommendations to the team. We did have a very  
14 good team approach making decisions on the  
15 overall -- on any aspect of the overall project,  
16 whether it was landscaping or lighting or fencing  
17 or whatever it was.

18 Q. But I'm talking here  
19 about pavement design. So Mr. Murray testified  
20 that he relied on you to make pavement design and  
21 specification decisions. Do you agree with that?

22 A. I don't doubt that he  
23 relied on me, yes.

24 Q. And he also testified  
25 that field engineering and supervision of



1 engineering consultants during the Red Hill Valley  
2 Parkway construction was the purview of you and  
3 Mr. Oddi. Do you agree with that?

4 A. I don't dispute that.

5 Q. He also testified that it  
6 would be for you and Mr. Oddi to receive advice  
7 from external consultants in respect of pavement  
8 and construction -- pavement design and  
9 construction matters and make the decisions on  
10 behalf of the City. Do you agree with that?

11 A. Yeah, yes, I agree with  
12 that.

13 Q. And appreciating that you  
14 had consultants, but from the perspective of the  
15 City would you agree that technical and design  
16 matter decisions such as which pavement structure  
17 to use and what mixes to use for each pavement  
18 layer were part of your responsibilities?

19 A. While we were the office  
20 who is responsible -- I mean, most of our work was  
21 management and administration. Relying on our  
22 consultants, we did -- we were trying to be  
23 knowledgeable clients as best we could, and when  
24 they made recommendations, you know, if they said  
25 it was 60 mils we didn't dispute it or if it was

1 whatever mix it was, an overall approach on  
2 whether it was a rigid pavement or flexible  
3 pavement, we had more input on how we wanted to  
4 approach the overall project, but the specifics of  
5 each individual was -- we relied on -- heavily on  
6 our consultants' recommendations.

7 Q. But say -- and we'll get  
8 to this in detail, but for example, the decision  
9 to use -- just to divide it in the way that you've  
10 described -- decision to use a perpetual pavement  
11 structure, as I understand it from the way you  
12 described it, that would ultimately be your  
13 decision, but the specific components would be  
14 those that were recommended by the consultants; is  
15 that fair?

16 A. No, I wouldn't say so.  
17 The perpetual pavement approach was different than  
18 we did on the LINC and it had significant initial  
19 capital impacts to the project, so it was  
20 something that was discussed at length in the  
21 project. I mean, my recommendation was for the  
22 perpetual pavement, so -- but I wouldn't say it  
23 was my decision but...

24 Q. Well, we'll come to that  
25 then. Mr. Oddi testified that he was not involved

1 in the design of the Red Hill Valley Parkway  
2 pavement, including the decision to use a  
3 perpetual pavement structure and the SMA surface  
4 course on the main line. Do you agree with that?

5 A. I can't agree or  
6 disagree. I don't -- I would have -- I would have  
7 thought it was a team discussion and that he would  
8 have been involved, but I can't recall any  
9 specific discussions in that regard but...

10 Q. We'll come to that then.

11 A. Yeah.

12 Q. And more broadly, I very  
13 much appreciate that this would have been  
14 something that varied depending on when we're  
15 talking about during the Red Hill Valley Parkway  
16 project, but how much of your time, given that you  
17 had two roles at that point, how much of your time  
18 was devoted to it on average, like in a month,  
19 rather than your job duties as manager of design,  
20 capital planning and implementation? I appreciate  
21 that some times would have been more intense than  
22 others, but what sort of split are we talking  
23 about, generally speaking?

24 A. I mean, it varied from  
25 year to year even, but 30, 40 percent at times;

1 other times it was 50 percent or more. I don't  
2 know whether I can characterize, you know, as an  
3 overall over the six years of that project.

4 Q. Okay. And that's fair,  
5 but was it more or less in the early phases when  
6 it was quite contentious and there were a lot of  
7 issues about the environmental issues and so  
8 forth, or was it more during the construction  
9 phases, during the grading and the paving? Do you  
10 recall which one was more time consuming from your  
11 perspective?

12 A. It's my sense that I  
13 would have had more time in the early phases  
14 trying to get the contracts out and coordinating  
15 them with the approvals and those types of things.  
16 Once the contract is out it's in the contractors'  
17 hands and CAs to get it built, so yes, I would say  
18 it's a fair characterization that I would have had  
19 higher percentage in the earlier days.

20 Q. So having -- sort of  
21 talking in more general ways about Red Hill, if we  
22 go back to the completion of the LINC in 1997, am  
23 I correct that the LINC when first constructed had  
24 a -- what we call a dense friction course surface  
25 layer; is that right?

1                   A.    I believe it was a  
2 modified DFC.  Yes, I believe --

3                   Q.    DFC being dense --

4                   A.    Dense friction course,  
5 yes.

6                   Q.    If we could go to  
7 overview document 3 image 8, please.  In  
8 paragraph 10, as indicated in 1997 JEGEL, that's  
9 John Emery Geotechnical Limited, performed  
10 friction testing on the LINC between the 403 and  
11 Dartnall Road and provided the results to you.  Do  
12 you recall that?

13                  A.    Prior to being shown this  
14 and through this, no, I didn't recall that  
15 testing.

16                  Q.    But through this process  
17 you now recall it having occurred?

18                  A.    I don't know that I  
19 recall.  I mean, I don't doubt -- I mean seeing it  
20 there, but I don't recall the initiative of why we  
21 did or why we would do it but...

22                  Q.    Okay.  So we'll come to  
23 that.  Let's go to a document HAM18540.  So this  
24 is a memo from you to Pat Campea, manager of  
25 construction roads, subject steel flag asphalt

1 Lincoln M. Alexander Parkway, November 11th, 1997.  
2 And first -- this attaches JEGEL's report which  
3 we'll look at. Pat Campea, the manager of  
4 construction roads was -- did you report to Pat or  
5 was Pat a peer?

6 A. Pat was a peer.

7 Q. So you wrote:

8 "Please find enclosed a copy  
9 of a skid resistance report we  
10 had JEGEL do for the parkway.  
11 The purpose of this testing  
12 was to collect initial data  
13 for tracking of both relative  
14 skid resistances between the  
15 two mixes and absolute skid  
16 resistance for future fix  
17 considerations."

18 So first of all, you, on  
19 behalf of the City, hired JEGEL to do this  
20 testing; is that right?

21 A. It appears so, yes.

22 Q. Do you recall at this  
23 point -- we will get into it -- specifically why  
24 you hired JEGEL to do it?

25 A. Well, only from the --

1 from the text, we did put down two mixes on the  
2 LINC. One was a test mix. I remember using steel  
3 slag asphalt that the industry wanted us to test.  
4 And so I'm not sure whether there was an agreement  
5 there that part of that there was testing involved  
6 in order to track the performance of the steel  
7 slag over the conventional aggregate. Just from  
8 the text is what I can surmise.

9 Q. As I understand it then,  
10 and this is in the report, that a portion of the  
11 LINC surface course in the eastbound lanes between  
12 Garth Street and Golf Links Road used steel slag  
13 aggregates from Dofasco. Does that ring a bell?

14 A. That does, yes, that's  
15 correct.

16 Q. And the rest of it used I  
17 think it's the regular trap rock aggregate for the  
18 surface course. Does that sound right?

19 A. Whatever the specified --  
20 it wasn't steel slag. It was aggregate.

21 Q. Right. Rock?

22 A. Yes. Rock is a better  
23 term.

24 Q. And as stated in your  
25 memo to Mr. Campea, it's for the tracking of both

1 relative skid resistance of between the two mixes  
2 and absolute skid resistance for future mix  
3 considerations, so both a comparison and absolute  
4 test results; is that fair?

5 A. That's what it says,  
6 yeah.

7 Q. And those are your words?

8 A. Yeah.

9 Q. If we could go back to  
10 the overview document in image 8 where we were  
11 before. In paragraph 11, summarizing -- we'll go  
12 to the report but just for the summary purposes.

13 JEGEL used the British  
14 pendulum skid tester ASTM E303 to measure  
15 frictional resistance by way of a British pendulum  
16 number, BPN, and the sand patch test method, ASTM  
17 E965, to measure surface macrotexture. The JEGEL  
18 report dated November 4th, 1997, stated that BPN  
19 values greater than 50 generally indicate a  
20 pavement capable of providing adequate frictional  
21 resistance properties for normal traffic  
22 conditions.

23 And then there's that  
24 additional excerpt from the report stating:

25 "The initial results of



1 British pendulum testing  
2 indicate BPN values ranging  
3 from 62 to 75, which is  
4 considered to be very good.  
5 Sand patch surface texture  
6 depths range from 0.28  
7 millimetres to 0.70  
8 millimetres, which is  
9 considered to be fair. At  
10 this time the BPN and texture  
11 depth values for both the  
12 steel slag and the non-steel  
13 slag sections are similar  
14 (Table 2). As the traffic and  
15 environment wear and polish  
16 the pavement surface, the BPN  
17 numbers are expected to  
18 decrease. The changes in  
19 texture depths are dependent  
20 on hot-mix materials and  
21 properties. A substantial  
22 increase in texture depth,  
23 over time, may be indicative  
24 of a ravelling pavement  
25 surface."

1                   So again, there's the  
2   reference here to comparing the sections for the  
3   steel slag aggregates and non-steel slag  
4   aggregates that we were talking about, right?

5                   And just so we have the  
6   geography, we can look at it, but Garth Street and  
7   Golf Links Road where the steel slag aggregates  
8   were placed, is that the stretch between the two  
9   westernmost interchanges on the LINC before it  
10  ends at the 403?

11                  A.    Yes, it is.

12                  Q.    Okay.  And that's the  
13  difference between those two sections, one used  
14  the Dofasco steel slag and the other used the  
15  regular stone aggregate; is that right?

16                  A.    Correct.

17                  Q.    And essentially what's  
18  being interrogated here is which aggregate had  
19  better skid resistance; is that right?

20                  A.    I believe it came down to  
21  the aggregate.  I believe the mixes were the same  
22  so you're basically testing what the aggregate  
23  will do.

24                  Q.    Right.  In terms of skid  
25  resistance?

1                   A.     In terms of overall wear  
2     of the mix.

3                   Q.     Okay.  But what they are  
4     testing -- well, overall wear of the mix, they  
5     are -- I mean, it says the wear and polish of the  
6     pavement surface in that excerpt.  Is that what  
7     you're referring to?

8                   A.     Yes.

9                   Q.     Now, if we could go to  
10    HAM18541.  This is the actual report from JEGEL.  
11    In the first paragraph here on image 1 it  
12    indicates what we were talking about about the  
13    portion between the Garth Street and Golf Links  
14    Road in the eastbound lanes used the steel slag  
15    aggregate supplied by Dofasco, and then the  
16    purpose of this testing, in the second paragraph,  
17    was to set up test sections on the expressway and  
18    collect initial pavement performance data to be  
19    used as a baseline for future pavement monitoring.  
20    In total, 19 test sections were selected for  
21    monitoring.

22                             And so again the purpose as  
23    described here was to collect this data and then  
24    monitor it going forward; is that fair?

25                   A.     That's what it says, yes.

1 Q. If I could go to image 3,  
2 Registrar.

3 The people at JEGEL who sign  
4 the report are Mark Berkovitz and David Hein. And  
5 do you know those gentlemen?

6 A. I know of Dave. I don't  
7 know Mark, no.

8 Q. Dave, you know of Dave.  
9 Do you know him other than through this report?  
10 He later was in another company called ARA.

11 A. Yeah, that's -- I've been  
12 at conferences and seen him present so that's why  
13 I'm aware of him.

14 Q. Did you, on behalf of the  
15 City, at any point hire Mr. Hein to do work while  
16 he was at ARA?

17 A. Not that I recall.

18 Q. You can't say for sure?

19 A. I don't remember hiring  
20 ARA at all, no.

21 Q. If we could go back up to  
22 image 2. And the portions on this page are what  
23 are excerpted in the overview document that we  
24 already looked at. And as we discussed, JEGEL  
25 that performed these tests reported that the

1 British pendulum number was very good and that  
2 British pendulum number values greater than 50  
3 generally indicate a pavement capable of providing  
4 adequate frictional resistance properties for  
5 normal traffic conditions.

6 Did you accept these  
7 conclusions?

8 A. I wouldn't know the  
9 numbers or the BPN. I mean, we accepted the  
10 report that they gave but...

11 Q. That's what I mean,  
12 you're saying that you didn't know -- you didn't  
13 have the expertise to know what 50 in BPN meant  
14 so --

15 A. Yeah, that's correct.

16 Q. And that's what you hired  
17 JEGEL to tell you, to do the testing and to tell  
18 you what the results meant; is that right?

19 A. That's correct.

20 Q. So you didn't question  
21 these conclusions; is that right?

22 A. I had no basis to  
23 question them, no.

24 Q. Right. And they also  
25 similarly concluded that the macrotexture depths

1 as measured were, and the words are, considered to  
2 be fair. Did you also accept that conclusion?

3 A. Again, it's in the  
4 report. I wouldn't know what -- whether it was  
5 correct or incorrect or fair or unfair.

6 Q. Right. So you accept --  
7 right. And you didn't have any basis to question  
8 what they were saying and so having hired them to  
9 do that work you accepted their conclusions; is  
10 that fair?

11 A. That's correct.

12 Q. Now, can we go to  
13 overview document 8, image 8, back to 8.  
14 Paragraph 12. And actually maybe 8 and 9. Thank  
15 you.

16 And so JEGEL did do further  
17 friction testing on the LINC in 1999 and issued a  
18 second report to you on July 12th, 1999. Again  
19 they used a (indiscernible) of 12, they used the  
20 British pendulum skid tester and the sand patch  
21 test method to measure surface macrotexture.

22 The JEGEL report dated July  
23 12th, 1999 addressed to Mr. Moore reiterated that  
24 BPN values greater than 50 generally indicate a  
25 pavement capable of providing adequate friction

1 resistance properties and summarized the testing  
2 results as follows.

3 And then if you could call out  
4 the paragraphs 1 through 4 over the two pages,  
5 Registrar.

6 THE REGISTRAR: Sorry,  
7 Counsel, which paragraph?

8 MR. LEWIS: So within  
9 paragraphs 12 the excerpted paragraphs 1  
10 through 4. 1 is at the bottom there and then 2  
11 through 4 at the top. Number 1 is at the bottom.  
12 We'll start with that.

13 So first thing is that the  
14 1999 BPN values are generally good but have  
15 decreased by about 10 to 15 percent. 1, 1999 sand  
16 patch surface texture depths have increased by  
17 about 18 to 37 percent. 3, steel slag aggregate  
18 fatality concrete sections have about equal skid  
19 resistance BPN as non-steel slag aggregate asphalt  
20 concrete sections. And 4, steel slag aggregate  
21 asphalt concrete sections have slightly less  
22 textural depth than non-steel slag aggregate  
23 asphalt concrete sections indicating slightly  
24 higher resistance to wear caused by the traffic  
25 and environment.

1                   You can take those down  
2 Registrar, thank you.

3                   And so am I correct this is  
4 the follow-up monitoring to the 1997 report that  
5 we already discussed; is that right?

6                   A.    It appears to be, yes.

7                   Q.    And the report says --  
8 and we'll get to it -- this is the second report  
9 on an ongoing monitoring program with the initial  
10 data collected in 1997.

11                  Now, again, what's the reason  
12 for comparing those two sections that use the  
13 different aggregates? We've talked about -- a bit  
14 about that before, but did you recognize that the  
15 qualities of the aggregates in any asphalt mix are  
16 largely what determines the skid resistance  
17 qualities of the pavement?

18                  A.    It's my sense that it was  
19 more of a tracking between the two aggregates.  
20 There was a very big push from Dofasco to use the  
21 steel slag aggregate within mixes and I think this  
22 provided an opportunity to do a more formal  
23 address of those aggregates not only for us, but  
24 they were -- I think they were lobbying the  
25 province for -- to use it in other mixes outside



1 of Hamilton. So that's my recollection on what  
2 was happening and why this -- the major reason  
3 this was being done.

4 Q. Okay. So when -- you  
5 said that the main purpose is to compare the two?

6 A. Right.

7 Q. So let's assume that's  
8 the case. The purpose then of comparing the two  
9 is to determine then which has better skid  
10 resistance properties. That's what's being  
11 compared; is that right?

12 A. Well, I believe you are  
13 correct that the -- the push for the steel slag  
14 was that it provided a better aggregate in that  
15 regard and that's what they were trying to show.

16 Q. Better skid resistance  
17 properties?

18 A. Yeah, for better  
19 aggregate properties overall. I mean, that's only  
20 one small property of any mix, but this initiative  
21 here dealt with the skid resistance. I believe  
22 that --

23 Q. I appreciate there's lots  
24 of other stuff. There's rutting, there's overall  
25 durability, there's like length of life, lots of

1 different stuff. But just to be clear, this is  
2 about comparing the skid resistance qualities of  
3 those aggregates; is that right?

4 A. Yes, sir, this initiative  
5 was that, yes.

6 Q. Okay. Thank you. The  
7 skid resistance qualities of the aggregates,  
8 what's the importance of knowing that?

9 A. I'm not sure I understand  
10 the question.

11 Q. Well, it's not an  
12 academic exercise, right? So you agree that the  
13 purpose was largely to determine the relative skid  
14 resistance properties of the two aggregates that  
15 had been used in the surface course on the LINC.  
16 What's the reason that we want to find out what  
17 the skid resistance properties are?

18 A. In this case it was to  
19 determine the suitability of the steel slag  
20 aggregate for use in certain high quality mixes.

21 Q. Right. Suitability in  
22 what way though? So that it has good frictional  
23 assistance qualities. And what is the impact of  
24 having good or poor skid resistance qualities in  
25 an aggregate?

1                   A.    I guess there would be a  
2    number of different aspects to that.  The life of  
3    the pavement, the suitability within the mix  
4    depending on whether you're talking about high  
5    speed roads and those types of things but...

6                   Q.    Ultimately skid  
7    resistance about traffic safety?

8                   A.    Skid resistance about  
9    traffic safety.

10                  Q.    That's why you want to  
11   know what it is?

12                  A.    I mean, it's not the only  
13   aspect of -- it's a component of that but, I mean,  
14   it's the design of the road, it's the speed of the  
15   road, it's the overall type of mix.  You can put a  
16   high quality aggregate in a poor mix or vice versa  
17   to try and address what you're trying to achieve,  
18   but, I mean, it's -- you're just measuring one  
19   component of all the things that go together for  
20   that.

21                  Q.    Right.  And so safety of  
22   a road has many components.  You're talking about  
23   there's the geometry, there's other aspects of the  
24   mix design, there's of course driver behaviour,  
25   all those things, but one of the things that goes

1 into the safety of a road are the frictional  
2 qualities of the pavement. You agree with that?  
3 It's one of the things?

4 A. Yeah, I don't know  
5 whether -- you know, we weren't that sophisticated  
6 in picking mixes for that. I mean, we would look  
7 to the MTO and say these are our highway-type  
8 mixes and they meet what we're trying to achieve  
9 in those regards. If you have a certain speed and  
10 if you have a certain volume and a certain amount  
11 of trucks these are the types of mixes that you  
12 would use and these are the aggregates.

13 There's -- I don't think I'm  
14 aware of anything that says -- that specifically  
15 relates to the friction of the mix or a number.  
16 There's no number on a mix that says this mix  
17 gives you this friction.

18 Q. Right, but that's -- I  
19 appreciate that, but that's not the question. The  
20 question is whether as a matter of traffic safety  
21 that the frictional qualities of the aggregates  
22 and therefore the frictional qualities of the  
23 pavement are one of the many things that go into  
24 the safety of the road. One of them. Do you  
25 agree with me there?

1                   A.    Yes, I would agree that  
2    it's one component of the overall components that  
3    go into the safety of the road is the mix -- the  
4    type of mix and the aggregate in that mix, yes.

5                   Q.    And that's why one test  
6    for skid resistance, is in relation to that issue,  
7    traffic safety.  There isn't any other purpose of  
8    testing for skid resistance, is there?

9                   A.    I think that these tests  
10   are -- a proxy for -- I mean, if you have a  
11   certain level of skid resistance or friction or  
12   whatever you're measuring, it will tell you  
13   whether your road is breaking down in that  
14   component and then you have to assess that with  
15   all the other components.  You know, is there  
16   cracking, is there potholing, is there  
17   delamination and those types of things.  But  
18   there's no measurement for that that says when  
19   your road gets to this you need to replace, at  
20   least not any initiative that I was aware of that  
21   the City was doing.

22                   Q.    You mean any particular  
23   friction number or British pendulum number or skid  
24   number of --

25                   A.    Or any standard by which

1 you would say this road must be this.

2 Q. I understand that, but  
3 nonetheless, you are -- you already agreed  
4 friction is a component of road safety, and so  
5 then the second part of that I suggest to you is  
6 that the purpose of measuring friction is only in  
7 relation to road safety. It doesn't mean it's the  
8 only thing. Doesn't mean -- in forgetting  
9 about whether (indiscernible), but that's why you  
10 measure friction. You don't measure the skid  
11 resistance of a road is in relation to the issue  
12 of road safety. Do you agree with me?

13 A. No, I wouldn't agree with  
14 that.

15 Q. What is the other purpose  
16 specifically for measuring the skid resistance or  
17 frictional qualities of a road other than in  
18 relation to road safety?

19 A. The condition of the  
20 road. It goes to the condition of the aggregate;  
21 is it breaking down, is the road breaking down, is  
22 it -- it's an indicator of how the road is  
23 performing.

24 Q. It's the indicator of how  
25 the skid resistance qualities of the road are

1 performing. Does it have any other meaning that  
2 you're aware of?

3 A. I think -- my only  
4 thought is in this case it was comparative purpose  
5 between the two aggregates, not really an  
6 absolute, because we had no way of -- you know, if  
7 it got to a certain number it didn't -- there was  
8 no magical number for us to compare that to.

9 Q. Well, okay. So you hired  
10 JEGEL to do this testing, right?

11 A. It appears so, yes.

12 Q. And JEGEL in their report  
13 expressed opinions that we already said that you  
14 didn't take issue with, that you didn't have any  
15 expert to disagree with, right?

16 A. Correct.

17 Q. So JEGEL said for  
18 example, BPN, British pendulum number, values  
19 greater than 50 generally indicate a pavement  
20 capable of providing adequate frictional  
21 resistance properties for normal traffic  
22 conditions. So they weren't saying that there's a  
23 standard but they are applying their professional  
24 expertise to tell you that if you're over 50 for  
25 the BPN that usually means adequate frictional

1 properties. Do you agree with that?

2 A. I agree that's what it  
3 said, yes.

4 Q. Yeah. And you didn't  
5 have and you don't have the expertise to disagree  
6 with that, correct?

7 A. That's correct.

8 Q. And when you talk about  
9 looking at the relative performance of the two,  
10 there's still a reason for wanting to know what  
11 the relative performance is, and I suggest to you  
12 it's which one has better frictional qualities.  
13 Do you agree with that?

14 A. I don't know whether we  
15 were looking for better or whether that one would  
16 perform -- or that they would perform as well. I  
17 mean...

18 Q. Perform better in terms  
19 of its frictional -- its skid resistance quality.

20 A. Well, again this was only  
21 one component of what the aggregate was being  
22 compared for.

23 Q. Well, okay. So if we  
24 could go to HAM61641.

25 THE REGISTRAR: Do you mind



1 giving me that number again.

2 MR. LEWIS: Yes. HAM61641.

3 This is the second report dated July 12, 1999,  
4 also Mr. Hein and Mr. Berkovitz. And you see in  
5 the first paragraph it refers to it being the  
6 second report of an ongoing monitoring program  
7 with the initial data collected in 1997. And it  
8 goes on to say 19 sections were selected for  
9 monitoring, and that those are set out later, and  
10 four of the test sections were within the steel  
11 slag aggregate asphalt concrete testing.

12 And if you go on to image 2.  
13 At the bottom, those numbers, number 4, those are  
14 the things we already looked at in terms of the  
15 overall conclusions?

16 A. Right.

17 Q. And it's indicated. So  
18 JEGEL characterizes the British pendulum test  
19 numbers, saying that they were very good in  
20 1997 -- well, they say that they are generally  
21 good but have decreased, right?

22 A. Correct, that's what it  
23 says.

24 Q. And that the macrotexture  
25 which had started out in 1997 as fair had

1 increased somewhat, right?

2 A. That's what it says, yes.

3 Q. Right. And so from your  
4 perspective, you are receiving this report as the  
5 City's representative and the person who  
6 commissioned these reports, are these results okay  
7 from your perspective?

8 A. I don't know whether it's  
9 about okay. I mean, it's a report. That's  
10 what -- it is what it is.

11 Q. Right. And you didn't  
12 question again what JEGEL said about it. So when  
13 JEGEL says the 1999 BPN values are generally good  
14 you accepted that; is that right?

15 A. That's what it says, yes.  
16 I didn't -- I didn't reject the report.

17 Q. Right. Because again, if  
18 I understood what you said correctly, you didn't  
19 have the friction testing and friction result  
20 interpretation expertise to reject the report; is  
21 that right?

22 A. That's correct.

23 Q. And similarly, on the  
24 macrotecture, which had started out in 1997 as  
25 fair and indicating that it increased somewhat, I

1 take it you also accepted that result for the same  
2 reason and that characterization for the same  
3 reason; is that right?

4 A. Okay, I'm....

5 Q. You didn't reject it?

6 A. I didn't reject it, no.

7 Q. And nor did you, if I  
8 understand you correctly, have the expertise to  
9 reject it; is that right?

10 A. At that time, no.

11 Q. And JEGEL did not make  
12 any recommendation to take any further  
13 investigative or remedial action, correct?

14 A. Not that I'm aware of.

15 Q. There's nothing --

16 A. Nothing here that would  
17 indicate that.

18 Q. Right. Right. And  
19 presumably you did not take any further action in  
20 response to this report -- any further  
21 investigative or remedial action; is that right?

22 A. Not that I recall. I  
23 don't believe so.

24 Q. Okay. And at that time  
25 what was your level of knowledge about -- having

1 received these two reports, what was your level of  
2 knowledge about skid resistance and the methods of  
3 testing at this point in time, 1999?

4 A. Very low. Other than  
5 what -- you know, what a -- BPN, what it looked  
6 like, them doing it, and what it involved. I  
7 believe I observed them being done in the field,  
8 but their relationship to and the numbers that  
9 they would generate and what they mean was very  
10 remedial.

11 Q. That's what you hired  
12 them for?

13 A. That's correct.

14 Q. And you say remedial.  
15 Does that mean that you did have some education on  
16 this when you were in university but, you know, on  
17 the basics of road friction; is that right?

18 A. On, well, the basics of  
19 doing these tests, but -- how you do a sand patch  
20 test and that type of thing but, you know,  
21 relating it to, you know, real world indices is  
22 nothing. I wouldn't know what that was.

23 Q. And but as a result,  
24 given your knowledge, if JEGEL had stated in its  
25 report, in one of them, in its report that the

1 skid resistance, as measured, was low and  
2 recommended a more detailed investigation be  
3 conducted, would you, not having the expertise  
4 yourself, have taken their professional advice and  
5 acted accordingly?

6 A. I would have at least  
7 questioned it anyways, you know, would have had a  
8 discussion on it on what it -- you know, where we  
9 were going with it and the basis for it to --

10 Q. So you could understand  
11 it?

12 A. That's correct.

13 Q. Right. But if they said  
14 that they recommended that further measures be  
15 taken, not having the expertise I just assume that  
16 you would follow their recommendations; correct or  
17 not?

18 A. You don't just blindly  
19 follow consultants' recommendation. You know, you  
20 might've sought out other information from other  
21 sources in order to try to determine -- it depends  
22 on what they were recommending as well. I mean,  
23 if it was just further testing then, you know, in  
24 some sort of specific way then we may or may not  
25 have proceeded, but I can't say now whether I

1 would have or not.

2 Q. But you wouldn't have any  
3 basis other than other experts providing  
4 counter-advice if -- to reject whatever advice you  
5 were provided with; is that right?

6 A. May not have been  
7 counter-advice, but it could have been different.  
8 It would have been do something different or  
9 follow something different but....

10 Q. I think what you're --  
11 you sort of trailed off a bit, was to say well, if  
12 they were just recommending further measuring,  
13 further testing to confirm the results or to not  
14 confirm the results that that would have been  
15 something reasonable to do in such a circumstance;  
16 is that right?

17 A. It's very difficult to  
18 postulate what I would have done -- you know, what  
19 was happening at the time and what were all the  
20 other circumstances, I can't say definitively I  
21 would or wouldn't have.

22 Q. Right. But what  
23 framework would you apply in deciding whether or  
24 not to follow the expert advice on technical  
25 engineering matters that you don't have expertise

1 in?

2 A. I would have followed up  
3 more with the consultant and tried to determine  
4 exactly what were they trying to determine and  
5 what were they going for, and how was this going  
6 to help me manage the road and was there any  
7 specific outcome as a result of this additional  
8 testing.

9 Q. So let's go to overview  
10 document 3 paragraph 9 -- sorry, it's at page 9.  
11 In paragraph 13, this is a week after the second  
12 JEGEL report.

13 On July 20th, 1999, JEGEL sent  
14 you an excerpt from the Transportation Association  
15 of Canada, also known as TAC, the pavement design  
16 and management guide, and it's a part of a chapter  
17 respecting friction measurement and methods. And  
18 it indicates -- briefly explains the phenomenon of  
19 skidding, skid resistance, microtexture,  
20 macrotexture, and the various methods and devices  
21 used to measure friction.

22 And you'll see there's an  
23 excerpt there from section 2.6.1 from the report,  
24 and in the first paragraph it indicates that it's  
25 a very."

1                    "...skidding is a very complex  
2                    interrelationship between  
3                    pavement factors, vehicle  
4                    factors, mainly the tires and  
5                    brakes, environmental and  
6                    driving factors."

7                    And the second paragraph  
8                    indicates what we were talking about, about the  
9                    resistance to skidding on a road surface was  
10                   largely determined by the microtexture of the  
11                   surface aggregate as illustrated in figure 2.11.

12                   And then it describes the  
13                   issue of wet versus dry pavements and the effect  
14                   on skid resistance. And then -- and when it's wet  
15                   it says:

16                   "In this situation drainage  
17                   routes provided by  
18                   macrotexture, together with  
19                   the tire tread, helps to get  
20                   rid of most of the water. But  
21                   penetration of the remaining  
22                   film of water is only possible  
23                   when sufficient macrotexture,  
24                   i.e., sharp edges to allow  
25                   high pressure buildups as the



1 tire passes over."

2 So just following up on what  
3 you said in relation to my last line of  
4 questioning was well, if you had questions about a  
5 report or anything in it that you would follow up  
6 with the consultant. And I'm wondering is this --  
7 is that what was happening here, did you follow up  
8 and ask for some interpretive information for the  
9 report that you just received?

10 A. Not that I specifically  
11 recall, but this appears to be something -- I  
12 mean, I don't know why they would have sent it to  
13 me out of the blue other than as a follow up to  
14 some discussion that I wasn't understanding what  
15 they were saying and they were trying to help give  
16 me an idea of what was happening out there.

17 Q. Right. I mean, that  
18 makes sense. Consultants aren't usually in the  
19 habit of just sending stuff out of the blue. They  
20 usually do it as a request from the client or if  
21 they think it's relevant to the work that they  
22 have conducted, right?

23 A. Right. I wouldn't  
24 disagree with that.

25 Q. If you could take that

1 down, please, Registrar. If we could go to  
2 HAM10055.

3 And so this is the covering  
4 memo 1999, so it's old school facts from Mr. Hein  
5 at JEGEL to you, dated July 20, 1999. And the  
6 message is general pavement surface friction  
7 information, and then he says:

8 "Gary, attached is some  
9 general information on  
10 pavement surface friction and  
11 testing devices. Our invoice  
12 for the work has been  
13 re-issued. Please destroy the  
14 one you have. Dave."

15 And then the attachment to it,  
16 Registrar, is HAM10056. If you could go to that.

17 And this is the cover page for  
18 the attachment, which is the cover of the pavement  
19 design and management guide by TAC. I take it  
20 that manual or the guide is something that you're  
21 familiar with?

22 A. It is.

23 Q. And TAC is something  
24 you're familiar with. You attend conferences,  
25 you've written papers for them, all that sort of

1 stuff?

2 A. Correct.

3 Q. If we could go then to --  
4 just scroll through the images, please, Registrar.  
5 There's a couple of -- stop there. Image 3,  
6 please. So image 3, that's 2.6.1, that's the  
7 paragraph we just looked at from the overview  
8 document.

9 And then if you could go to  
10 image 4 please. There we go. Are you able to  
11 expand the two figures there? The handwriting.

12 And so these are figure 2.10  
13 and 2.11 and there's some handwriting on it, on  
14 figure 2.10, it says we probably tested them here  
15 and here, with a couple of arrows. And then in  
16 figure 2.11 there are notes pointing to the  
17 microtexture part of the diagram, it says  
18 "measured by British pendulum," and then pointing  
19 to the macrotexture part of the diagram it says  
20 "measured by sand patch."

21 Now, is that your handwriting  
22 or is it Dave Hein's? Do you know?

23 A. I don't think it's mine.

24 (Speaker overlap)

25 A. Well, at that point in

1 time I don't think I would have known. I think  
2 Dave is trying to tell me something here.

3 Q. Fair enough. But would  
4 you not recognize it if it was your own  
5 handwriting? No?

6 A. There is nothing here  
7 that leads me to believe this is mine.

8 Q. All right. So you think  
9 then it's probably Mr. Hein since he faxed it to  
10 you?

11 A. I believe so.

12 Q. If you can keep it up,  
13 expand it, Registrar, please. Thank you.

14 And so the handwriting on the  
15 top, this appears to be explaining the testing  
16 that was -- one aspect of the testing that was  
17 done. Do you understand looking at it at this  
18 moment what he's talking about?

19 A. On which?

20 Q. The top one, figure --

21 A. The top one.

22 Q. Yeah. We probably test  
23 it here and here with the arrows pointing.

24 A. Surface friction skid  
25 number. I mean, looking at it now, I mean, it

1 appears he's pointing to a point on the high  
2 polish resistant aggregate line or -- I'm not sure  
3 whether it's on the surface life. I'm not quite  
4 sure where he is -- what he's indicating other  
5 than here and here.

6 Q. Okay. But -- so the  
7 y-axis, that's the surface friction skid number,  
8 SN, right? From zero to 100, that's the scale,  
9 right?

10 A. Yeah.

11 Q. Okay. Skid number. So  
12 that's the measurement of skid resistance,  
13 whichever one it is, right? You would have  
14 understood that at that time?

15 A. I don't -- I don't know  
16 that I would have but...

17 Q. Well, not necessarily  
18 which device, but you knew what a -- you know,  
19 you've already got two reports on what British  
20 pendulum number was. I'm going to suggest to you  
21 that you, at least directionally, understood that  
22 a y-axis there was showing zero friction up to  
23 100; is that fair?

24 A. Zero friction, that one  
25 is the SN number and we were talking about BPN

1 number, British pendulum. I don't know whether  
2 they relate to each other or how they relate to  
3 each other.

4 Q. All right. The x-axis is  
5 time and traffic.

6 A. Yeah, I think that's --

7 Q. That's top over time, and  
8 it shows that it's time. So as you go to the  
9 right time is passing and it talks about the  
10 surface life, right?

11 A. Yes.

12 Q. And so isn't he -- I'm  
13 going to suggest to you what he's pointing to is  
14 where probably along those curves the testing in  
15 1997 and 1999 occurred. He's showing over time,  
16 the first one is the left, just right after the  
17 opening of the LINC, and then the second one is in  
18 1999. Isn't that what it shows?

19 A. Could be -- that could be  
20 correct.

21 Q. And as part of that  
22 directionally, it's showing that skid resistance  
23 reduces over the surface life of the road, right?

24 A. The friction number  
25 reduces over the surface life of the road, yes.

1 Q. Right. And I mean,  
2 that's something that Mr. Hein wrote in --  
3 Mr. Hein and Mr. Berkovitz wrote in JEGEL's second  
4 report, that friction -- that it reduces over  
5 time. Do you recall it? We can go back to it.  
6 That's what they said?

7 A. Friction numbers reduce  
8 over time, yes, I believe that's correct.

9 Q. Right. And it's  
10 something you would have understood at the time,  
11 at the very least from having read their report  
12 and having looked at this, right?

13 A. Yeah, I don't know how  
14 much of an appreciation I had for it but --  
15 Understand the basis for it, yes.

16 Q. Well, and you did read  
17 their reports, right?

18 A. Well, reading the report  
19 and understanding it entirely are not exactly the  
20 same thing.

21 Q. Okay. And on the y-axis,  
22 I just note on the right-hand side it reproduces  
23 the y-axis on both sides for ease of reference, I  
24 think. You see it refers to riding safety with a  
25 rudimentary drawing of a car. Do you see that?

1 A. I see that.

2 Q. What do you take from  
3 that?

4 A. I don't know.

5 Q. It's not that the higher  
6 the skid number, directionally speaking, the  
7 better the safety?

8 A. Well, the numbers  
9 decreasing as you go down are confusing 11, 55,  
10 66, if that's what that is. Or -- I don't know,  
11 are they numbers?

12 Q. You mean the handwritten  
13 numbers below that?

14 A. Yeah.

15 Q. I don't know what those  
16 are. Could you interpret them?

17 A. No, I can't.

18 Q. I don't know either. And  
19 then at the bottom from figure there 2.11 the  
20 handwriting indicates -- on the left it says  
21 "measured by British pendulum" with an arrow to  
22 microtexture. Do you see that?

23 A. I do.

24 Q. Did you appreciate from  
25 that explanation he's saying that's what the



1 British pendulum test measured, that's  
2 microtexture? As distinct --

3 (Speaker overlap)

4 A. I see that and that I  
5 understand now. I don't know how much I  
6 understood at the time but...

7 Q. Well, again, they explain  
8 it in their report. So you read the reports and  
9 you're an engineer and a senior person, so it is  
10 fair to say that you -- even if your understanding  
11 wasn't at an advanced level, that you understood  
12 the points that they were making?

13 A. That the BPN tested the  
14 aggregate and the sand patch tested the, for lack  
15 of a better term, the openness of the mix.

16 Q. Right. And both being  
17 related to the aggregates, microtexture entirely  
18 and the macrotexture as also a function of the mix  
19 but --

20 A. Yeah, the macrotexture I  
21 believe now is more of the mix, not just the  
22 individual aggregate.

23 Q. Just on the right-hand  
24 side of figure 2.1, would you agree that those  
25 show the drive path, the highest one across from

1 the number 80 showing a straight drive path, and  
2 then the second one showing a swervy drive path  
3 and then the bottom one showing an out of control  
4 drive path. Does that make sense to you?

5 A. I thought they were  
6 numbers. I mean, when you point that out I  
7 suppose they could be interpreted like that but --

8 Q. But you're not sure?

9 A. I'm not sure, no.

10 Q. If you could go to  
11 images 5 and 6. And this is on two pages, starts  
12 in the middle of the first page, section 2.6.2,  
13 friction measuring devices. And then below it it  
14 shows a bunch of different measuring devices onto  
15 the second page. And maybe if we could,  
16 Registrar, just focus on the second page and  
17 expand it. Thank you.

18 So the first one at the top of  
19 the page is skid trailer and it refers to the  
20 ASTM 2674 skid trailer, refers to it as being a  
21 locked wheel with a water supply and so forth.  
22 And is that a device that you were familiar with  
23 at that time in 1999?

24 A. No, it was not.

25 Q. You said earlier that you

1 had done some work in terms of contracts and so  
2 forth with the MTO. Were you aware that it was a  
3 device that the MTO used at that time?

4 A. I don't believe so.

5 Q. Then the next item is the  
6 British pendulum tester which we've just been  
7 discussing, and then the ASTM sand patch below  
8 that. Those are the two methods that JEGEL was  
9 using to measure friction, correct?

10 A. Yes.

11 Q. Actually if you could  
12 take that down briefly. It's just the handwriting  
13 I want to see. It's cut off in the expanded  
14 version. There's that bracket around it and  
15 handwriting.

16 You think it's fair that  
17 Mr. Hein was just indicating hey, this is what we  
18 did in the reports that we just sent you?

19 A. It appears that that's  
20 happening.

21 Q. And then at the bottom it  
22 refers to SCRIM and grip testers, two other  
23 devices. Were those devices you were familiar  
24 with at that time?

25 A. No, they were not.

1 Q. If we go to image 7. And  
2 section 2.6.3 if you could expand that, please.

3 And this is -- he sent you  
4 this paper. Do you think you would have read this  
5 as well since Mr. Hein sent it to you?

6 A. I can't say. I don't --  
7 I may have, I may have skimmed through it, I  
8 don't -- I don't recall.

9 Q. You don't recall  
10 specifically?

11 A. No, I don't.

12 Q. In the second paragraph  
13 it says:

14 "With the app time or the  
15 application of wheel loads  
16 most pavements show a  
17 continuous decrease in  
18 friction as shown in figure  
19 2.13. These  
20 time/traffic/climate-based  
21 changes in friction should be  
22 monitored by periodic  
23 measurements."

24 And then: "Changes in the  
25 pavement surface which are

1 possible contributors to these  
2 friction changes include the  
3 following."

4 And then it lists off a number  
5 of things:

6 "One. Porosity of the  
7 pavement layers; 2, surface  
8 wear; 3, polishing of surface  
9 aggregates; 4, rutting; 5,  
10 bleeding or flushing of  
11 bituminous binder; 6,  
12 contamination."

13 Are those things that you were  
14 cognizant of at the time whether or not you read  
15 this? Or whether you read it carefully.

16 A. Was I cognizant?

17 Q. Well, in particular of  
18 the friction -- I think we already said that  
19 friction reduces over time as function of the road  
20 wearing generally?

21 A. I believe I understood  
22 that.

23 Q. Okay. And as a result of  
24 that did you understand that that's why you have  
25 ongoing friction monitoring like did you in 1997,

1 and the second one in 1999, is to see what the  
2 decrease is?

3 A. I'm sorry, I'm just  
4 trying to make sure I answer the question. What  
5 were you looking for specifically?

6 Q. Did you understand that  
7 was at least part of the purposes of having the  
8 two JEGEL reports two years apart, was to monitor  
9 the ongoing frictional performance of the road,  
10 how much it decreases over time?

11 A. The JEGEL, yes. Not  
12 necessarily how much it decreased over time, but  
13 did it or what the rate was or what was the  
14 differential between the two pavements I think was  
15 I think the more important thing.

16 I think at the time I don't  
17 know that the absolute was something in our minds  
18 because it wasn't something that we measured or  
19 had any other program on any other road in the  
20 city at the time. So I think the freeway and the  
21 two mixes and the trial and between those  
22 aggregates was what generated our interest in this  
23 regard.

24 Q. Right. But again the  
25 comparison between them is again for a purpose, to

1 determine which is better, has better frictional  
2 qualities and performs better over time, is it  
3 not?

4 A. It was for the marketing  
5 of the -- or the applicability of the steel slag  
6 in other projects. If we were going to use it --  
7 you know, was it something we would want to use  
8 maybe on the north-south or other major roadways  
9 or there's other interest in it provincially.

10 So that was -- it's my feeling  
11 on what was happening with that. I mean, we  
12 weren't doing any other skid resistance testing on  
13 any other road in the city to my mind that we knew  
14 to assess high quality aggregates.

15 Q. We'll come to that then.  
16 If you could, Registrar, highlight the next  
17 section, 2.6.4.

18 This section and under "Uses  
19 of Friction Data," would you agree with me that it  
20 is specifically in these sections it's in the  
21 section talking about public safety? That's the  
22 purpose of it?

23 A. Uses of friction data.  
24 Friction-related problems. Reaction basis. In  
25 terms of carrying out pavement maintenance and

1 rehabilitation.

2 Q. The last sentence:

3 "Friction test data may be  
4 used in a pavement management  
5 system to rank safety related  
6 rehabilitation treatments."

7 A. It's something that could  
8 have been used; that's what it says, okay.

9 Q. Then in the last  
10 paragraph there it says:

11 "The actual designation of  
12 surface friction standards,  
13 such as a minimum skid number,  
14 SN, is not commonly practiced  
15 by provinces/states or local  
16 agencies in Canada in the  
17 United States. One reason is  
18 the risk of litigation arising  
19 from skidding accidents on low  
20 surface friction locations.

21 Rather, some agencies have  
22 developed criteria for  
23 identifying low friction  
24 pavement surfaces such as the  
25 example given in table 2.6 for



1 Pennsylvania."

2 Is that something you  
3 appreciated at the time?

4 A. I don't know whether I  
5 appreciated it at that time, no.

6 Q. Well, because you did  
7 refer a number of times when I was asking about  
8 the JEGEL reports to a lack of standards and so  
9 forth, so I was wondering if you were averting --  
10 again if you read this, that's something you would  
11 have appreciated?

12 A. I may have at the time.  
13 It may have entered my mind. But I can't say  
14 specifically there was an aha moment in reading  
15 this or anything. You know, did it add to my  
16 overall knowledge of what friction was doing and  
17 standards, possibly, but I can't say yes right now  
18 that that was -- I don't know that I knew any of  
19 it before that.

20 Q. And similarly, when it  
21 refers to one reason for a lack of such standards  
22 is a risk of litigation, is that something you  
23 appreciated?

24 A. At that time no, I don't  
25 believe so.

1 Q. At some point later?

2 A. Possibly.

3 Q. Now, this is a City  
4 production as I'll -- and so forth. So I'm  
5 wondering did you keep this along with the  
6 reports?

7 A. I believe I saw a stamp  
8 on it that showed a file number so I believe -- I  
9 don't keep it personally, it would just go in  
10 files.

11 Q. Just one moment, please.  
12 If we could jump ahead for a moment. It's on a  
13 related topic but it's a 2006. Registrar, if we  
14 could go to overview document 3 images 21 and 22.  
15 It's paragraph 42 at the bottom of the first image  
16 and the top of the second one that I'm concerned  
17 with.

18 So this is January 26, 2006,  
19 and there's some communications back and forth  
20 initiated by Councillor Braden e-mailing Mr.  
21 Murray about concerns he had with asphalt  
22 deterioration on the LINC and asking how that  
23 would be addressed on the Red Hill Valley Parkway.  
24 And Mr. Murray replied, suggesting it should be  
25 addressed at the next parkway implementation

1 committee meeting. And then there's some back and  
2 forth which I don't think we need to go to.

3 But then you write on  
4 February 6 to Mr. Murray, Wray Oakes, Bryan  
5 Shynal, Bryan Towers and Marco Oddi. And you  
6 write at the start of that excerpt:

7 "Gentlemen, we will be using  
8 an SMA mix on the north-south  
9 Expressway surface, we will be  
10 using premium aggregates,  
11 premium polymer-modified  
12 asphalt cement and following  
13 the most stringent rules for  
14 paving, as we did when we  
15 built the LINC. The asphalt  
16 mixes that went into the LINC  
17 were state of the art at the  
18 time. The DFC" -- that's  
19 dense friction course which  
20 you described -- "used premium  
21 aggregates and we have skid  
22 tests for the first few years  
23 that showed little difference,  
24 if any, between steel slag and  
25 the DFC."

1                   And then you go on to talk  
2    about crack sealing and segregation and ravelling  
3    and so forth.

4                   And so first thing is when  
5    you're talking about the north-south Expressway  
6    surface using SMA that's of course the Red Hill --  
7    the north-south portion of the overall project  
8    that you're talking about, right?

9                   A.    That's correct.

10                  Q.    And then in the fourth  
11   line down:

12                   "The DFC used premium  
13    aggregates and we have skid  
14    tests for the first few years  
15    that showed little difference,  
16    if any, between the steel slag  
17    and the DFC."

18                  And you're -- certainly with  
19    respect to the JEGEL tests we're looking at, that  
20    they were looking at, that there were similar  
21    results as between them. That's overall what  
22    those reports said, right?

23                  A.    I think so, yes.

24                  Q.    But it did the British  
25    pendulum testing and the sand patch testing so I'm

1 wondering what the skid tests are that you're  
2 referring to on the LINC. Do you know? Was there  
3 other friction testing done on the LINC prior to  
4 this e-mail that you recall?

5 A. Not that I can recall. I  
6 believe that that would have been the testing that  
7 JEGEL did.

8 Q. So we anticipate that  
9 Frank Marciello, who is the individual at the MTO  
10 who operated the MTO skid trailer and including  
11 the skid testing that was done on the Red Hill in  
12 2007 and subsequent years, that he'll testify that  
13 he conducted skid testing on the LINC on one  
14 occasion. Do you recall that, the MTO conducting  
15 skid testing on the LINC using its ASTM locked  
16 wheel tester?

17 A. I do not.

18 Q. And we anticipate that  
19 he'll testify that he believes this took place a  
20 number of years before testing the Red Hill Valley  
21 Parkway. Does that assist you at all?

22 A. No, it does not.

23 Q. If that did occur  
24 would -- who have been involved -- I'm not going  
25 to ask that. You don't recall it so I won't ask



1 we were familiar with or had any program with, you  
2 know --

3 Q. I can appreciate that.  
4 But I'm again asking that it's -- even without a  
5 program there was a purpose behind it, and the  
6 purpose behind it was that ultimately to determine  
7 whether or not relatively those aggregates  
8 provided better frictional qualities, one then the  
9 other, and therefore directionally provided a  
10 safer road. Is that not the case?

11 MR. LEDERMAN:  
12 Mr. Commissioner, just a moment. The question  
13 that has been put to Mr. Moore has been put to him  
14 a number of times and asking about his  
15 understanding as to the purpose for which the  
16 JEGEL tests were carried out. He's answered the  
17 question. I've got several times from my notes to  
18 that. And I'm not sure I understand that latest  
19 question that Mr. Lewis is putting to him to talk  
20 about directionally or otherwise. So at a certain  
21 point I'm just asking for a little bit of clarity  
22 here as to the purpose of the question or the  
23 nature of the question in light of the answers  
24 that Mr. Moore has testified.

25 JUSTICE WILTON-SIEGEL:

1 Mr. Lewis, I agree with Mr. Lederman that the  
2 question as just put now, which actually had two  
3 parts, was previously put separately in the  
4 respective parts a couple of times earlier. I  
5 think you may be going towards something a little  
6 different and I'll allow you to rephrase the  
7 question if it addresses something further.

8 MR. LEWIS: I think I can move  
9 on. Thank you.

10 JUSTICE WILTON-SIEGEL: Okay.

11 BY MR. LEWIS:

12 Q. If we could go to  
13 overview document 3 image 12. And in paragraph  
14 18, if we can call that up, Registrar, there's  
15 meeting notes from June 19, 1999 meeting titled  
16 "Regional Municipality of Hamilton Wentworth, Red  
17 Hill Creek Expressway Management Plan." And the  
18 attendees as you, Cassandra Bach, who was at the  
19 regional municipality of Hamilton Wentworth, and  
20 Bob Hodgins, president of Ecoplans, and stating,  
21 among other things, under the heading "Design  
22 Criteria" that "pavement is SMA, shoulders will be  
23 fully paved."

24 And we'll go to the -- in a  
25 second, but Cassandra Bach, what was her role at



1 the region at the time? It was environment  
2 planning assistant, but what was she doing?

3 A. I believe she was, for  
4 lack a better term, stick handling the  
5 environmental components to make sure we were  
6 following what was set out in the EA decision and  
7 giving us directions on what we needed to address  
8 in those types of things.

9 Q. So Bob Hodgkins at  
10 Ecoplans, as the name suggests, are they  
11 environmentally focused?

12 A. Yes, they were one of the  
13 consultants or the consultant that was helping  
14 create the document, although there are several  
15 documents for the -- to meet the approvals to  
16 proceed with the (indiscernible) ourselves  
17 underway again.

18 Q. On the environmental side  
19 of things?

20 A. On the environmental  
21 side, yes.

22 Q. So neither of them are  
23 pavement engineers or consultants?

24 A. No, they are not.

25 Q. And about a maintenance

1 management plan. What was that?

2 A. Didn't say maintenance --  
3 it's the expressway management plan.

4 Q. Oh, the management.

5 Okay. Let's go the document itself and pull that  
6 up.

7 MR. CHEN: If you could go to  
8 that it would be much more helpful.

9 MR. LEWIS: It's HAM19342. It  
10 says there "Red Hill Creek Expressway maintenance  
11 management plan." And then --

12 THE WITNESS: (Witness reads  
13 document.) Okay.

14 BY MR. LEWIS:

15 Q. So I would ask you to  
16 describe it. I didn't realize you might have the  
17 wrong wording. So can you just describe what that  
18 is about, the maintenance management plan.

19 A. I believe there was a  
20 requirement to have a document that showed how we  
21 were going to maintain what we were planning to  
22 build to satisfy certain environmental impacts  
23 that -- how would you deal with the storm water,  
24 how would you deal with the runoff, how would you  
25 deal with tree removal or grass cutting and all of

1 those types of things.

2 Q. And then it's under  
3 point 3 is the passage that I already referred to  
4 under design criteria. If you could expand that  
5 whole number 3 bullet.

6 So it starts with the  
7 expressway will be two lane undivided; second  
8 bullet, the pavement is SMA, shows it will be  
9 fully paved, and then it goes on to talk about the  
10 median widths, shoulder widths being grass to the  
11 edge of the shoulder, and it says "discussed  
12 crossfall" and talking about the crossfall of  
13 2 percent.

14 So this is first reference  
15 that you're aware of in the inquiry database to  
16 SMA stone mastic asphalt pavement. Do you know  
17 why it's being raised at this point in time?

18 A. The SMA was chosen for  
19 one of its primary aspects that it mitigates the  
20 noise, which was a finding in the original  
21 decision and direction. Initially I think they  
22 were -- the commission had identified things such  
23 as what they call carpet seal asphalt, which was a  
24 very low noise, open-graded asphalt, but it wasn't  
25 really -- it hadn't -- it wasn't in favour at the

1 time.

2                                 There was a number of years  
3 between the decision and when we were actually  
4 building so there was actually -- this SMA was an  
5 improvement on that in terms of its noise  
6 reduction capabilities as well as its ability to  
7 handle the large truck volumes and as well as  
8 being a premium mix for this type of facility.

9                                 Q.    Okay.  So when you refer  
10 back, I think you said the original document --

11                                A.    The original EA decision  
12 by the consolidated hearing board.

13                                Q.    So if I understood you  
14 correctly, it wasn't referring to SMA specifically  
15 but referring to noise mitigation?

16                                A.    It identified noise  
17 mitigation as a major issue down through the  
18 valley and set out certain -- you know, whether we  
19 use attenuation and monitoring as well as the type  
20 of pavement to be used.

21                                Q.    So here when it says  
22 pavement is SMA, shoulders will be fully paved,  
23 who is bringing that forward?

24                                A.    Are these notes from the  
25 meeting or are these --

1 Q. Yes, they are. Meeting  
2 notes.

3 A. There would have been --  
4 I was just noting that that is what we're  
5 proposing to use.

6 Q. But is that what you  
7 would have said at the time?

8 A. I believe so, yes.

9 Q. So here we have it in  
10 mid-1999. Do you recall where you came -- where  
11 you brought that idea from? I appreciate what you  
12 said already about the noise reduction qualities  
13 generally always having been a goal, but specific  
14 to SMA?

15 A. Yeah. I don't know  
16 whether that was knowledge from a number of  
17 conferences or from recommendations from various  
18 consultants in order to resolve the issue with  
19 noise. I know at one time we were looking at  
20 carpet seal and OFC but I think we set our minds  
21 that the SMA was the best way to go.

22 Q. It appears to be stating  
23 here, stating it as a fact, that pavement is SMA,  
24 shoulders will be fully paved? Is that --

25 A. At that point I believe

1 that is the direction we were going, yes.

2 Q. Okay. If you can take  
3 that down, please, Registrar.

4 And at that point in time,  
5 June '99, when you say "the direction we were  
6 going," within the City whose decision is it to go  
7 in that direction?

8 A. Well, the -- I guess it's  
9 the special projects office at that time.

10 Q. Right. You're the  
11 manager of it?

12 A. Correct.

13 Q. So does that mean it was  
14 your decision?

15 A. I fully supported it,  
16 yes.

17 Q. You fully supported it,  
18 but whose idea was it other than yours?

19 A. I don't know where the  
20 idea -- whether it came from a consultant and we  
21 supported it or whether I brought it forward as a  
22 possibility. I can't say now definitively that I  
23 put up my hand and said that hey, let's use SMA,  
24 and explain to everybody why we should do that.  
25 We had a full roster of consultants that --

1 Q. I know. It's just that  
2 we don't have any report from a consultant  
3 suggesting that anyone at that point was  
4 recommending its use, so that's why I ask the  
5 question. Is it likely it was you, that that is  
6 where the original idea came from?

7 A. I don't know. I don't  
8 know whether I knew pavements that well to be able  
9 to do that. I mean, I was getting more familiar  
10 with the types of things as we went through and  
11 analyzed, but I do remember that there were  
12 conversations because I remember these other mixes  
13 being discussed. So I don't know whether there  
14 was an analysis of SMA or how it came to be, but  
15 other than that was the way that we decided to  
16 proceed.

17 Q. Fine. There aren't any  
18 supports that suggest otherwise. I'm going to  
19 come to the Burlington Street in a minute, but  
20 there's no reports in the inquiry data that has  
21 been provided that suggests there was any external  
22 or internal consultant -- any external consultant  
23 or internal City analysis on that point. So you  
24 can't say for sure; is that fair?

25 A. I can't say for sure that

1 I, you know -- either way there was or wasn't my  
2 idea. It very well may have been but I just  
3 don't -- you know....

4 Q. Don't recall at this  
5 time?

6 A. Yeah.

7 Q. Okay. If we could go to  
8 overview document 3 image 12 -- actually,  
9 Commissioner, I'm going to start on another topic  
10 so I wonder if this might be a good time for the  
11 morning break. I see it's 11:23.

12 JUSTICE WILTON-SIEGEL: Sure.  
13 That's fine, let's return at 20 to 12:00. 11:40.  
14 Stand adjourned until that point.

15 --- Recess taken at 11:23 a.m.

16 --- Upon resuming at 11:40 a.m.

17 MR. LEWIS: Okay, we're back.  
18 May I proceed, Commissioner?

19 JUSTICE WILTON-SIEGEL:  
20 Please, proceed.

21 BY MR. LEWIS:

22 Q. Just before the break,  
23 Mr. Moore, we were just talking about the -- in  
24 June 1999 and the meeting with Ecoplans where SMA  
25 was discussed. And at that time you were still



1 the SPO manager, the special projects office  
2 manager. Do you recall -- you said you didn't  
3 recall who or if anyone other than you was  
4 involved in that decision. You didn't have a  
5 specific recollection at this time. But who else  
6 could have been involved on the City side at that  
7 point? Who was still in the SPO?

8 A. I'm not sure. I know  
9 Chris was the environmental management manager at  
10 that time I believe in the office beside me,  
11 and -- I mean, even though we had separate jobs  
12 after the LINC followed up --

13 Q. Mr. Murray wasn't on the  
14 Red Hill Valley project, though, at that point in  
15 time?

16 A. No, but he was the  
17 environmental manager for special projects as  
18 well, I believe. Yeah, he was a manager on his  
19 own at that time, I believe. So I mean, I  
20 wasn't -- in 2000 -- what? 1999?

21 Q. Yeah.

22 A. I guess John Vandermark  
23 must have been the director of special projects at  
24 that time.

25 Q. He was still at that

1 time?

2 A. At that time he was the  
3 director special projects.

4 Q. Right. And you did --  
5 described earlier his -- a little bit his and your  
6 roles. It sounded like the way you described that  
7 that -- you know, he was -- we talked about that,  
8 that he was on the -- sort of the administrative  
9 side, HR side, and you were on the technical,  
10 operational side of things. So it didn't sound  
11 like the kind of thing that he would have had a  
12 decision in, but are you saying otherwise?

13 A. Well, I mean, we did work  
14 very collaboratively as a team. I mean, there was  
15 nobody that just went away and made a decision on  
16 their own. Hey, we're going to use this. It was  
17 very much a team; as well as the consultants that  
18 were still working on it at -- you know, at that  
19 time. We had a number of consultants. I mean, at  
20 1999 I think we were, you know, pretty actively  
21 working with the province and everything else on  
22 trying to get approvals and funding reinstated and  
23 those types of things so....it's not like we had a  
24 technical team to begin with. The technical team  
25 was the consultants. We were -- we were the

1 management and administration of those. So if --  
2 you know, if they brought forward a  
3 recommendation, you know, this is the way you  
4 should proceed or if we had a question to them,  
5 how do you think we should proceed, then, you  
6 know, it was brought forward and looked at and  
7 discussed, and, you know, how we decided. -- some  
8 discussions were shorter than others, but I wasn't  
9 by my stretch of the imagination running the  
10 technical show at that point in time by myself.

11 Q. I understand. But we  
12 don't have any, as I said, reports that the deal  
13 with that. So I'm just asking inside the City who  
14 could have been involved in that decision. You  
15 said possibly Mr. Vandermark and possibly  
16 Mr. Murray?

17 A. And Mr. Oddi because, I  
18 mean, he was -- he was available to us. His title  
19 may not have been that, but he was still -- he was  
20 still involved.

21 Q. Well, I think Mr. Oddi  
22 was still there at the time in the special  
23 projects office in 1999?

24 A. Yeah, because 1999 was  
25 the end of the City and the beginning of the

1 region, and it was a -- it was a very fluid time  
2 on who did what.

3 Q. Okay. But again, you  
4 don't have any specific recollection of that.  
5 You're just saying that those are people that may  
6 have had input on the decision; is that right?

7 A. Yeah. That's --  
8 that's -- you're correct.

9 Q. Okay. If we could,  
10 Registrar, go to overview document 3, image 12 and  
11 13.

12 And while he's pulling that  
13 up, you recall -- this is dealt with in  
14 paragraph 19 that straddles the two pages -- that  
15 in October 1999 the City of Hamilton placed SMA on  
16 Burlington Street between Victoria Avenue and  
17 Wellington Street. Do you recall that project,  
18 Mr. Moore?

19 A. I do, yes.

20 Q. Okay. And am I correct  
21 you were the City's lead on that project, as part  
22 of the special projects office?

23 A. I believe so.

24 Q. Okay. And again, by --  
25 it's late in 1999 now, but you're still the

1 special projects office manager at that point,  
2 correct?

3 A. Right.

4 MR. CHEN: Mr. Lewis.

5 MR. LEWIS: Yes.

6 MR. CHEN: Never mind. We had  
7 lost the commissioner for a couple seconds, and I  
8 was curious to know if he's still there.

9 JUSTICE WILTON-SIEGEL: I'm  
10 still there.

11 MR. LEWIS: Okay.

12 BY MR. LEWIS:

13 Q. And you're listed as the  
14 co-author of a 2002 CTAA paper which is titled  
15 "Stone Mastic Asphalt SMA: A Solution to Mitigate  
16 Rutting at Heavy Traffic Intersections and Bus  
17 Lanes."

18 And do you recall that paper?

19 A. Somewhat. I remember the  
20 title. I remember the issues, yes.

21 Q. Okay. And we'll go to it  
22 in detail, but just looking at the subparagraphs  
23 at the top of page 13 there, the paper indicates:

24 "The purpose of the placement  
25 of SMA on Burlington Street

1 was to evaluate the use of SMA  
2 to mitigate running in high  
3 traffic areas and to assess  
4 the potential of SMA for use  
5 on a proposed multi-lane  
6 expressway." (As read)

7 And, secondly, that:  
8 "The MTO performed skid  
9 resistance testing on that SMA  
10 placement using its ASTM E274  
11 break force unit, obtaining  
12 measurements by lane between  
13 FN44 and FN51." (As read)  
14 Which they did twice in  
15 November 1999 and May 2000.

16 And the third (c):  
17 "Hamilton also had British  
18 pendulum testing conducted on  
19 this SMA placement obtaining  
20 average measurements of 67 in  
21 February 2000 and 69 in  
22 May 2002." (As read)

23 So just generally speaking, do  
24 you recall those topics being covered in the  
25 paper?

1 A. Not with any great  
2 detail, no.

3 Q. Okay. So we'll go to the  
4 detail. Could we go to Golder 1567.

5 And this paper is part of, as  
6 I gather, the CTAA does, they publish their  
7 proceedings from their annual conference. Is  
8 that --

9 A. That's correct.

10 Q. Yeah. Okay. And this is  
11 one of the papers from their 2002 conference. Go  
12 to the next image, Registrar.

13 Yeah, in Calgary. Did you go  
14 to that one? Do you recall?

15 A. I believe so. I believe  
16 that was one of the first ones I went to.

17 Q. Okay. And image 3,  
18 Registrar. And here's the paper. "Stone Mastic  
19 Asphalt a Solution to Mitigate Rutting in Heavy  
20 Traffic Intersection and Bus Lanes," and listing  
21 as authors, Paul Anderson, President Landtek in  
22 Hamilton, Keith MacInnes at the Canadian Asphalt  
23 Industries in Markham, and you.

24 So first of all, Landtek, what  
25 are they?

1                   A.    Landtek was a  
2    geotechnical consulting firm that was heavily used  
3    by the City to look at our pavements, you know,  
4    and they did a lot of our testing and mixed  
5    designs and analysis of our pavements on our  
6    road -- in our road program.

7                   Q.    Okay.  So someone that  
8    you dealt with quite a bit?

9                   A.    Yes.

10                  Q.    Okay.  And the Canadian  
11    Asphalt Industries, what's that?

12                  A.    Yeah, I didn't know Keith  
13    at the time.

14                  Q.    Okay.  So you knew --

15                  A.    Canadian Asphalt  
16    Industries is a marketer of asphalt cement.

17                  Q.    Okay.  So you only became  
18    aware of or familiar with Mr. MacInnis through  
19    this paper?

20                  A.    Yeah, I think the first  
21    time I met him was probably at CTAA.

22                  Q.    At the actual conference?

23                  A.    Yeah.

24                  Q.    Okay.  And did you write  
25    any of the first draft of this paper as the



1 primary author?

2 A. My only involvement would  
3 have been in background, or, you know, why we did  
4 it or support, none of the technical. I don't  
5 recall specifically what actual sections I may or  
6 may not have had input on.

7 Q. Right. Okay. So could I  
8 call that a review-and-edit capacity? There may  
9 have been some sections that you edited --

10 A. Sure.

11 Q. -- but you don't recall  
12 specifically?

13 A. Possibly, yes. For --  
14 you know, in terms of, you know, where and when  
15 and, you know, what did we do it, or why did we do  
16 it, or those types of things or background  
17 information that, you know, may or may not have  
18 been included or correct in the first draft that  
19 Paul submitted around.

20 Q. Right. And so as part of  
21 that you would have to, then, review the original  
22 draft in order to provide whatever input you were  
23 then going to provide, right?

24 A. Okay, yes.

25 Q. And as well you said

1 "support." By that you mean also providing  
2 information to them that was in the possession of  
3 the City?

4 A. Yes. If Paul needed, you  
5 know, background information on other roads or  
6 other uses or other results from other -- that,  
7 you know, provided him context to what he was  
8 reporting about, then I would be the lead. I may  
9 not have provided it, but I may have directed him  
10 to the place where we had it or if we had  
11 something in that regard.

12 Q. Right. Or had someone  
13 else send it to him --

14 A. Correct.

15 Q. -- depending on what it  
16 is?

17 Right. But you were the  
18 contact for that and would have made the  
19 directions in order to provide the information  
20 that he requested or needed?

21 A. Correct.

22 Q. And is it fair that  
23 having -- you know, being in a review-and-edit  
24 capacity as you've just described, you're  
25 generally -- your involvement, that if there were

1 any factual inaccuracies that you were aware of,  
2 that's also something that you would have  
3 corrected?

4 A. If I was aware of it,  
5 yes.

6 Q. Right. You can't correct  
7 something that you're not aware of being  
8 incorrect, but if you were aware of it -- of an  
9 error, you would correct that factual error?

10 A. Yes.

11 Q. Okay. And overall is it  
12 a fair summary that the purpose of this project on  
13 Burlington Street as outlined in this paper was to  
14 first evaluate the use of SMA, to mitigate running  
15 in high traffic areas, and, secondly, to assess  
16 SMA for use on the still to be built Red Hill  
17 Valley Parkway?

18 A. I believe that -- that  
19 was the initiative behind the placement of the SMA  
20 on Burlington Street.

21 Q. Right. That's why you  
22 were doing it?

23 A. That's why we were doing  
24 it. We wanted some -- you know, we had heard that  
25 it was difficult to place, and, you know, we

1 wanted some experience observing a contractor  
2 placing it, and what we might run into when we did  
3 place it in the future, and what it looked like  
4 and how it performed. And specifically we -- I  
5 remember that we were having a great deal of  
6 problems with our current mixes in areas where  
7 buses stopped, and this was -- we were looking to  
8 this mix in order to address that rutting problem.

9 Q. Right. And -- right.  
10 And so then the rutting being the one part and the  
11 second one for the Red Hill, to assess it for use  
12 on the Red Hill?

13 A. Yeah.

14 Q. Okay. And were you the  
15 person from the City who directed this placement,  
16 to evaluate the SMA for these purposes that we  
17 just described?

18 A. How do you mean,  
19 "directed it"?

20 Q. Well, someone had to --  
21 someone had to direct the project.

22 A. We put the tender out.  
23 We put the tender out for it or -- I don't know  
24 whether we had probably Paul's help in deciding on  
25 the mix and the parameters and those types of

1 things. And yes, I was the -- it was basically I  
2 was the initiative behind doing this project  
3 and --

4 Q. That's what I mean. I  
5 don't mean, you know, coming up with the mix  
6 design and so forth that you hired the people to  
7 do, but the overall initiative to do the placement  
8 for these purposes, that was, if I'm correct, you.

9 A. That was me, yes.

10 Q. Okay. Thank you.

11 And if we could go to image 8  
12 of the paper.

13 And each of these images are  
14 two pages from this hard copy document. And  
15 looking at section 5 on the page on the right,  
16 page 209 of the CTAA proceedings titled, "SMA  
17 Performance and Monitoring Results." And it's the  
18 first paragraph I'd like to look at first, if you  
19 could call that out.

20 And this paragraph indicates  
21 that skid resisting testing using the British --  
22 British pendulum tester, it's the British portable  
23 skid resistance tester was undertaken in February  
24 2000 and again in May 2002, and it resulted in  
25 British pendulum numbers. So that's the first

1 thing. They did British pendulum testing in those  
2 two occasions; is that right?

3 A. That's what it says. I  
4 don't -- I didn't recall that but...

5 Q. Okay. But you must have  
6 known it at the time. You don't have a specific  
7 recollection of it now.

8 A. It's very likely.

9 Q. Well, and you edited the  
10 paper. You're listed as an author, so you must  
11 have been aware of it?

12 A. I may very well have been  
13 aware of it, yes, but I don't...

14 Q. All right. You're saying  
15 that you -- you may very well, but you don't  
16 recall now?

17 A. I don't.

18 Q. Okay.

19 A. I mean, I think they did  
20 a whole lot of testing on different things, so  
21 whether this was just one little thing. I mean,  
22 that was what Paul was doing, was testing it in  
23 whatever the relevant regard was that we, you  
24 know, needed to do.

25 Q. Right, and you'll see in

1 the -- it refers, though, in the middle of that  
2 paragraph that:

3 "The values for Burlington  
4 Street's SMA section are given  
5 the table 5 along with  
6 historical skid resistance  
7 data for other asphalt mixes  
8 used in Hamilton that include  
9 trap rock aggregate and steel  
10 slag aggregate." (As read)

11 So that's what we were talking  
12 about before on the LINC, right?

13 A. I believe that. I don't  
14 know where else any of that information might come  
15 from, but that -- so that would be the only  
16 place --

17 Q. Right, and it wasn't  
18 Landtek that did that testing. It was JEGEL,  
19 correct?

20 A. Correct.

21 Q. So you must have provided  
22 this information information to Mr. -- sorry --

23 A. Paul.

24 Q. Yes. Yeah. At  
25 Landtek --

1 A. Yes.

2 Q. -- in order to, then,  
3 write this paper and compare it to the SMA  
4 results, right?

5 A. I don't disagree with  
6 that.

7 Q. Okay. And then,  
8 Registrar, if you could call up the next three  
9 paragraphs and actually -- it's those three and  
10 then -- might be hard -- can you also call up the  
11 first paragraph on the next image at the same  
12 time. Thank you.

13 So these paragraphs indicate  
14 that the Ministry of Transportation of Ontario did  
15 skid testing on the Burlington Street SMA in 1999  
16 and 2000 using the MTO's ASTM E274 break force  
17 unit, and the paper then explains how that device  
18 operates and how it was conducted and refers to  
19 the ASTM standards about how to conduct the tests.  
20 And then at the bottom of the third paragraph it  
21 indicates:

22 "The skid trailer test  
23 measurements indicate friction  
24 numbers of 45 to 51 at 50  
25 kilometres per hour. The skid



1 testing" -- sorry -- "the skid  
2 trailer numbers cannot be  
3 compared directly to British  
4 pendulum numbers. However,  
5 skid trailer friction numbers  
6 at 50km per hour of 45 to 51  
7 are regarded by the MTO to be  
8 consistent with mixes having  
9 excellent skid resistant  
10 properties. Figure 7 and 8  
11 indicate that the SMA is  
12 performing as expected at the  
13 two test sites." (As read)

14 So again, you were involved in  
15 the review and edit of this paper. Is this  
16 something that you were aware of at the time, at  
17 the very least having reviewed the original draft?

18 A. I may have read it at the  
19 time, yes, but I would have had nothing to add or  
20 edit from that, so how deeply I looked at that, I  
21 don't know.

22 Q. Right. And again, in  
23 terms of what the MTO -- what its view of of  
24 friction numbers and the characterization of it as  
25 excellent, am I correct, that that is something

1 that you at the time would not have had insight  
2 into, so you just would've have accepted it?

3 A. I wouldn't. The fact  
4 that it was at 50 kilometres an hour, I mean, I  
5 don't even know that there was, you know, numbers  
6 related to speed. I mean, is it 45 at 50 or 45 at  
7 90 or 45 at 120. It doesn't -- it wouldn't have  
8 meant anything to me at that point in time.

9 Q. Okay. And then  
10 therefore, and the characterization, though, of it  
11 as being consistent with excellent skid resistance  
12 properties, it's not something that, then, you  
13 would have had the expertise to disagree with it?  
14 You would have just accepted that that's the case;  
15 is that fair?

16 A. That's what Paul wrote,  
17 and that's -- you know, he was the expert in the  
18 field in asphalt, and, you know, he was the one  
19 coordinating with the MTO. I don't remember any  
20 discussions I had with MTO in this regard at all.

21 Q. Okay. When you said to  
22 us that you must have been aware that the MTO at  
23 least was conducting the testing at the time,  
24 would you not have -- permission would have had to  
25 have been given for this to be conducted?

1                   A.    Yeah, they -- Paul might  
2    have called me and said something to thing effect  
3    MTO wants to do some testing out there, and I  
4    would have put him onto our traffic department or  
5    our roads department.  You know, if they needed  
6    closures or signage or something like that, they  
7    would have been the relevant groups to coordinate  
8    that.  You know, so what time of day or what day,  
9    or, you know, is it night or the weekend.  I don't  
10   know.  But whether I facilitated that, I don't  
11   recall.

12                   Q.    Right.  Yeah, I'm not  
13    suggesting that you went and rode with him or  
14    anything.

15                   A.    Yeah.

16                   Q.    But you think you're  
17    likely aware that it was facilitating and maybe  
18    facilitated it happening, but not more than that?

19                   A.    There's nothing more than  
20    that.  I mean, there was a thousand other things  
21    happening so...

22                   Q.    Okay.  And it goes on in  
23    that -- bear with me for a moment.

24                                Which is image 8, Registrar?  
25    Sorry, I'm just lost on that.  Could we go to

1 image 8. Okay. If we could go to -- try image 9  
2 now. Image 10. Just give me one moment, please.  
3 Yeah. Okay. So if we could go back to image 8, I  
4 found it. I apologize.

5 So in the first paragraph  
6 under paragraph 5, as we discussed, it talks about  
7 the values given in table 5 along with historical  
8 skid resistance data for other asphalt mixes used  
9 in Hamilton that include trap rock, aggregate and  
10 steel slag aggregates. And then it says:

11 "The results indicate the SMA  
12 has high skid values that are  
13 consistent with new pavement  
14 surfaces, and the values are  
15 significantly higher than  
16 those found for old trap rock  
17 mixes and polished limestone  
18 aggregate mixes." (As read)

19 And then if we could go to  
20 table 5 which is at image 9, the next page, the  
21 bottom there. If we could call that out.

22 It's a summary of British  
23 pendulum skid resistance test data. And so as I  
24 read this shows the test results from SMA on  
25 Burlington Street on the far left column there.

1 It shows the range and -- of that testing. Do you  
2 see that? On the left side.

3 A. Okay.

4 Q. All right. And then the  
5 other columns there on historical data. First  
6 it's the -- so the second column from the left is  
7 "pavement skid numbers for various pavement types  
8 less than one year old," and it gives a range and  
9 an average there.

10 And then the one in the  
11 middle, the column in the middle is "pavement,  
12 historical data, pavement skid numbers for trap  
13 rock aggregate mixes in service over three years,"  
14 and gives the range and the average.

15 And then "historical data of  
16 pavement skid numbers for steel slag aggregate  
17 mixes in service over three years," gives the  
18 range and the average.

19 And then lastly, "historical  
20 data pavement skid numbers for limestone aggregate  
21 mixes in service over three years."

22 And so this appears to be as  
23 we discussed, at least in terms of the trap rock  
24 and steel slag aggregates, at least it would  
25 include the LINC results that we talked about

1 earlier today, right?

2 A. Possibly, yes.

3 Q. Steel slag's not -- I

4 think you said that that --

5 A. Well, we used steel

6 slag -- we used steel slag on a lot of city

7 streets and had historically, you know, for, you

8 know, well before my time at the City and region.

9 So it was a common use, so I don't -- you know,

10 it's not the only place it was used. That's all

11 I'm saying.

12 Q. Okay. That's fair.

13 And then the limestone, so

14 that's certainly not from the LINC. That -- as we

15 discussed, that's another category, right?

16 A. That's typically the

17 standard aggregate that's available in the area.

18 I mean, you have to go outside of the area to get

19 anything but limestone. It's quarried material.

20 I mean, Hamilton is the centre of all of the

21 limestone quarries in Ontario, you know, for

22 that --

23 Q. Right, and then --

24 A. -- stuff.

25 Q. And then -- and this

1 data, though, as stated earlier this is Hamilton's  
2 data. This is -- these are test results on  
3 Hamilton's roads, right?

4 A. That's what it says, yes.

5 Q. Right. And so it does  
6 appear that there was skid resistance testing that  
7 was done by Hamilton other than on the LINC,  
8 right?

9 A. I can't say that either  
10 way from --

11 Q. Well, that's what it  
12 says, and you would have corrected it if it was  
13 incorrect, would you not?

14 A. If I knew at that time,  
15 but...

16 Q. Well --

17 A. I mean --

18 Q. But you --

19 A. I don't know. Like  
20 Paul --

21 Q. You would have been  
22 providing this information to him. You told us  
23 that you were the one that would have been  
24 directing that information from Hamilton be  
25 provided to Paul, so --

1                   A.    If he was looking for  
2    that information like the stuff off the LINC, that  
3    wouldn't have been available to him because he  
4    wasn't involved.  But like I said, he was the  
5    City's tester under contract for a number of years  
6    historically, so -- in fact, he used to work for  
7    the City at one point in time, so he could have  
8    access to other numbers that I didn't provide him.  
9    That's all I'm saying.

10                  Q.    Okay.  But in any event  
11    it's information that was the City of Hamilton's  
12    information, respecting the City of Hamilton's  
13    roads, right?

14                  A.    Okay.  I'm not sure --

15                  Q.    You disagree with that?  
16    I mean, that's the paper that you were a co-author  
17    of says.

18                  A.    Historical data for trap  
19    rock mixes and service.  I assume these are in  
20    relationship to City of Hamilton roads.

21                  Q.    Okay.

22                  MR. CHEN:  Mr. Lewis, if I  
23    could just point out that there's reference to  
24    footnote 8 to get some traction to this.

25                  MR. LEWIS:  Yeah.



1 BY MR. LEWIS:

2 Q. Yeah. And so if we go to  
3 the next image, 8 is at the bottom of the  
4 right-hand side. Skid resistance of -- Krakowski,  
5 E., "Skid Resistance of Urban Streets," internal  
6 report commissioned by region of  
7 Hamilton-Wentworth, 1976; is that right? Do you  
8 know who E. Krakowski is?

9 A. No, I do not.

10 Q. Okay. Okay. Thank you.  
11 Thank you, Mr. Chen.

12 And as we said just when we  
13 started to talk about this paper, Mr. Moore,  
14 the -- one of the two purposes of this SMA  
15 placement was to evaluate this road -- this  
16 placement of SMA for use on the Red Hill Valley  
17 Parkway, correct?

18 A. Yes.

19 Q. And as part of that skid  
20 resistance testing was performed, correct?

21 A. No, I don't necessarily  
22 agree with that. I don't think my mind was to  
23 that, I mean, other than SMA was already a  
24 de facto high friction mix that the MTO used in  
25 that area. So we were looking specifically on its

1 placement and how contractors react to it, and its  
2 resistance to the rutting from slow moving trucks.  
3 That was my primary interest. I had no ability  
4 to, you know, say it was a good friction mix or  
5 not a good friction mix. The MTO had already  
6 decided that when they had it listed in their  
7 premium asphalts as far as I knew.

8 Q. Well, I think that the  
9 MTO added it at some point after this to their  
10 surface course direction, but we'll ask the MTO  
11 about that.

12 This paper of which you are a  
13 co-author refers extensively to testing of  
14 different sorts that was done with respect to the  
15 frictional qualities of this placement. And as  
16 stated in the paper and as you've acknowledged,  
17 part of the purpose of the placement was to  
18 evaluate suitability of SMA for the Red Hill. So  
19 I'm going to suggest to you again that part of  
20 that analysis was -- part of that assessment was  
21 the skid resistance qualities of this placement.

22 A. I'm not sure that that's  
23 the correct characterization. We had the project  
24 to assess what was doing and the paper came --  
25 arose out of that project. I mean, Paul being the

1 technical guy, he determined what testing  
2 specifically would be done in order to give us the  
3 findings we were looking for, but the -- and the  
4 initial direction that the City was looking at  
5 was, you know, our -- if we put this out as a  
6 tender, are contractors going to bid it extremely  
7 high, because it's really hard to work with or  
8 really, you know, really an unknown. So we were  
9 trying to get a cost profile and what does it take  
10 to work with and give us an indication of what we  
11 might want to refine any specifications to it in  
12 order to use it in the future.

13 But I think, you know, the  
14 thoroughness of the paper, you know, was enhanced  
15 with all of the friction work, but I don't know  
16 that it was something that was paramount to us at  
17 the time.

18 Q. So perhaps it wasn't  
19 paramount, but it was part of the assessment that  
20 was engaged in?

21 A. It was part of the  
22 assessment that the report looked at, yes.

23 Q. Right. And not just the  
24 report, though, since the purpose -- sorry, the  
25 report, you mean the CTAA paper, right --

1 A. The paper.

2 Q. Okay. That's certainly  
3 what -- part of what the paper was looking at, but  
4 as referenced in the paper, presumably it was also  
5 what the City and you were looking at as part of  
6 the assessment of the suitability of SMA for the  
7 Red Hill Valley Parkway?

8 A. Other than the  
9 conclusions that Paul said that, you know, it  
10 provided good friction and stood up well, I mean,  
11 other than that there was no details in there  
12 that, you know -- if he would have said, you know  
13 it doesn't hold up and it looks like it's a bad  
14 mix in what you're doing, that would have rang  
15 true. But alls it did was reinforce the fact of  
16 all of the information that we had in terms of  
17 background that had been used for years and years  
18 and years in Europe and high speed roadways, and  
19 it had all these good qualities, but, you know, we  
20 weren't aware of them.

21 Q. The results were good,  
22 and it had confirmed what you had understood?

23 A. That's correct.

24 Q. Okay. And I think you  
25 just referred to the conclusion. I think you're

1 referring to on the left-hand side under "Summary  
2 of Findings." If you could expand number 6 there,  
3 Registrar:

4 "Monitoring data confirms SMA  
5 offers excellent rut  
6 resistance and skid  
7 resistance, and is therefore  
8 well suited for pavement  
9 rehabilitation at  
10 intersections with heavy  
11 volumes of commercial trucks.  
12 It is expected SMA will offer  
13 the same benefits for  
14 rehabilitation of bus lanes."

15 (As read)

16 Is that the paragraph you were  
17 talking about?

18 A. Well, it's part of it. I  
19 think when you were -- when you took me to a  
20 previous one, there was a summary in the last  
21 sentence and a half about friction and --

22 Q. Okay. And if you take  
23 that down, just again to make sure we're focusing  
24 on the right thing. If you go to the previous  
25 image, Registrar. At the top of 210 there where

1 it says, "However, skid trailer --

2 A. No. Go one before that  
3 maybe.

4 Q. Maybe at the end of the  
5 first paragraph?

6 A. Possibly that's where  
7 I -- yeah.

8 Q. Okay. It says --

9 A. -- skid resistance under  
10 extreme traffic.

11 Q. Okay. Thank you. You  
12 can take that down. I'm going to move to a  
13 different topic. Okay.

14 When you go back to the Red  
15 Hill Valley Parkway project charter, and that's at  
16 image 11 of document 3. And just back to the  
17 roles and responsibilities of you in particular on  
18 the Red Hill Valley Parkway project.

19 In the second paragraph it  
20 refers to one of your primary responsibilities is  
21 the management and administration of the  
22 consultant team establishing the primarily  
23 engineering and design blueprint for the project.

24 So I'm wondering what does  
25 that mean about preliminary engineering and design

1 blueprint? Now, I know what a blueprint is, but  
2 it --

3                                   A. Well, I think it's --  
4 when they are referring to preliminary -- I mean,  
5 it's the direction, the overall direction that  
6 we're going -- the 10,000-foot view of the  
7 project. You know, when are we going to build it;  
8 how are we going to build it; how are we going to  
9 cut it up into manageable sections; how are we  
10 going to manage, you know, existing traffic while  
11 we build this. You know, what is the staging; how  
12 does it -- you know, you can only build a certain  
13 part of the project. If you have to cut down  
14 vegetation, then that vegetation has to be managed  
15 during the winter in order not to affect migratory  
16 birds, and you have to stay out of the stream  
17 during certain periods of time.

18                                   So all those things went  
19 together, and we had -- I used to say we had every  
20 ologist in Ontario working on this project in  
21 order to work together to come up with a  
22 successful delivery.

23                                   Q. Okay. And in terms of  
24 the design itself, the next the sentence says:

25                                   "He's charged with developing

1 a design that will meet the  
2 terms for any governmental  
3 project approvals or permits  
4 that are required." (As read)

5 So is that encompassed in what  
6 you were just talking about?

7 A. For the most part. I  
8 mean, we -- the approvals set out, you know,  
9 certain things with regard to drainage, so you had  
10 to give direction to a consultant. Okay. This  
11 opening for this bridge has to be this big, and,  
12 you know, you can't have any in-water work in  
13 order to fix it. So you have to think long-term  
14 on how we're going to build the bridge now so that  
15 we don't have those impacts. That's the type of  
16 direction. Then they would go away and come back  
17 and say, okay, given all that, you need this span,  
18 and because it's this sku and this wide you can  
19 only have this type of structure or you have two  
20 different -- you know, which way would you like to  
21 go. This one is this cost; this one is this cost,  
22 but these ones have these impacts. So, you know,  
23 you would have to work with them to be able to  
24 understand and make an informed decision to give  
25 them direction on how to proceed with that type of



1 thing.

2 Q. And there's a number of  
3 design reports and drawings with respect to the  
4 highway itself, and we've got the preliminary  
5 design report, different iterations of that which  
6 I'll get to in more detail, but the preliminary  
7 design report, some excerpts from a draft final  
8 design report and then detailed drawings of the  
9 design and geometric elements and features which  
10 were tendered as part of the project. And were  
11 those all elements that you were involved with?

12 I appreciate the detailed  
13 drawings you didn't do them, but let's start with  
14 the preliminary design report. Is that something  
15 that you had involvement in the creation of?

16 A. I would say yes.

17 Q. Okay. So -- and just  
18 generally speaking before we go to them, what's  
19 the purpose of the preliminary design report?

20 A. Well, it sets out  
21 parameters, whether there be geometric or, you  
22 know -- what decisions we've taken with the road.  
23 I mean, there was a number of negotiations with  
24 various levels of government agencies and certain  
25 criteria that were brought forward from the

1 original environmental assessment and direction  
2 from counsel. So it's sort of the snapshot, if  
3 you will, at that point in time; what decisions  
4 have we made or are we progressing forward on. It  
5 wasn't necessarily the final. I always said that,  
6 you know, in order do functional planning you had  
7 to -- to ensure that the functional planning was  
8 right, you had to do almost half of your  
9 preliminary planning, and once you had your  
10 preliminary planning, you had to be well into your  
11 final design or else you were showing, you know,  
12 conclusions that may or may not be able to prove.

13 So you needed to do enough  
14 engineering ahead to be able to support what you  
15 were showing in the document. But it was a  
16 snapshot at the time that said, this is how we're  
17 going to progress this project. I didn't  
18 necessarily cover everything, and there was still  
19 things to be investigated and maybe even approvals  
20 to be achieved. But it was -- it gave a good idea  
21 of the direction we were going.

22 Q. Okay. If we could go to  
23 a different overview document, 3.1, Registrar.  
24 Image 4. And in paragraph 4, second sentence it  
25 refers to:

1 "A preliminary design report  
2 was prepared on January 31st,  
3 1990 for the whole connection  
4 between highway 403 and the  
5 QEW. The 1990 preliminary  
6 design report addressed the  
7 north-south section which  
8 became the Red Hill Valley  
9 Parkway as well as the  
10 east-west section which became  
11 the Lincoln Alexander  
12 Parkway." (As read)

13 And so going back to 1990 you  
14 were a project engineer with the region at that  
15 time, right? It's before you were the manager of  
16 the special projects --

17 A. Yeah, I might have been  
18 SPM at that time.

19 Q. Okay. Senior -- right.  
20 Senior project at -- and so were you involved then  
21 in -- because there's the later preliminary design  
22 reports, but this preliminary design report about  
23 the entire project, is this something you had  
24 involvement with, in creating?

25 A. Yes. We were a small

1 team. There was a director, a manager and myself  
2 and a tech, so I mean everyone was involved in the  
3 putting out of this document.

4 Q. Right. Sorry, you said,  
5 director, manager, you and who?

6 A. A technologist.

7 Q. Oh, technologist. Okay.  
8 Okay.

9 And so who is the primary  
10 drafter? Is this done by the City or this sort of  
11 primarily consultants that are drafting it? Do  
12 you recall?

13 A. I don't recall. I have a  
14 sense that it was put together by one of the  
15 consultants.

16 Q. Okay. All right. And  
17 what was your role?

18 A. Review and input and  
19 provide information.

20 Q. All right. And back at  
21 that time six lanes were contemplated for the  
22 north-south section at least; is that right?

23 A. Yeah, the original  
24 approval was for six lanes. The approval still is  
25 for six lanes. The only change was to build four

1 lanes initially.

2 Q. Right. And do you recall  
3 if -- what the impact was of that change on the  
4 geometric design?

5 A. I don't. It wasn't --  
6 geometric design wasn't really my bailiwick at  
7 that time, or even know. There was extensive work  
8 with the consultants and MTO and with John  
9 Vandermark specifically on, you know, how could we  
10 provide everything we needed to provide within the  
11 confines of where we were being directed.

12 Q. And if we could go to  
13 image 5, specifically paragraph 9. There are --  
14 well, I guess right above in paragraph 8 refers to  
15 the alignment revision in 1994, in the four lane  
16 alignment. You see that?

17 A. Yeah, there's nothing --

18 Q. That's nothing in there.

19 Yeah, no, it's in the --

20 A. -- about the four lane.

21 Q. In the excerpt below  
22 that? It was just because we were talking about  
23 the four lane. Does that accord generally with  
24 the timing?

25 A. Yeah. There was a lot of

1 proposals that went back and forth between the  
2 province and the City --

3 Q. Okay.

4 A. -- or the region.

5 Q. All right. And then if  
6 we go to paragraph 9 there's -- the preliminary  
7 design report was revised in 2003, and there's  
8 actually -- there's two versions in 2003. This  
9 refers to the second one in November 10th, 2003  
10 which supplemented the 1990 one that we were  
11 already looking at, to be read in conjunction with  
12 it, and that the November 2003 preliminary design  
13 report dealt mostly are engineering features.

14 Was this a document in the  
15 earlier iteration in March of -- sorry, in  
16 February of 2003 ones that you were involved in  
17 the creation of?

18 A. Well, I mean, I was  
19 involved in all of -- the creation of all the  
20 PDRs, you know, in some way, shape or form whether  
21 it was providing support or, you know, carrying  
22 out the preparation or direction of exhibits or  
23 those types of things.

24 Q. Okay.

25 And, Commissioner, just for

1 the record there's also a discussion of the  
2 February 2003 primarily design report in overview  
3 document 3, paragraph -- sorry, image 13,  
4 paragraph 20.

5 So do you recall -- if you  
6 could take that down, Registrar.

7 Do you recall the process for  
8 preparing the 2003 iterations of it and who the  
9 primary drafter was?

10 A. I think the -- I know the  
11 environmental team was quite involved in the  
12 preparation of all documents because they were so  
13 interrelated. I mean, you couldn't really read  
14 one without referring to -- you know, whether it  
15 was a fisheries direction or a terrestrial  
16 biology, you know, direction or storm water and --  
17 I mean, this was sort of a summation of the  
18 direction. I don't think there was a massive  
19 change in, you know, the City, region wants to  
20 create a roadway linking 403 to QEW. That was  
21 still a -- you know, the major thing we were  
22 doing, and that's why the -- it keeps referring  
23 back to the original preliminary design, but the  
24 change mostly is the alignment, the number of  
25 lanes, the access points at interchanges, the

1 orientation of those ramps. I mean, there was a  
2 lot of ramps realigned to mitigate the impact on  
3 the environment. So that's primarily what that  
4 was.

5 So it -- I don't know the PDR  
6 really gets into reasons. It's more outcomes of  
7 results, and the discussion of why you went to a  
8 certain thing or why certain things was considered  
9 was more back in the environmental document.

10 Q. If we go then to overview  
11 document 3, paragraph 13. And this is on  
12 February 25th, 2003 you e-mailed Mr. Murray what  
13 we call the latest version of the preliminary  
14 design report.

15 And if we could go to the  
16 draft itself. It's at HAM50707. Might want to  
17 throw -- actually could we pull up the native  
18 version of that so we can show the track changes,  
19 please.

20 And I think you indicated you  
21 weren't sure if it was the consultants that did  
22 the initial draft of that or not; is that right?

23 A. Yeah, I don't know -- I  
24 don't know whether we did in-house with our --  
25 through our environmental planner. I don't know



1 who was assembling the document.

2 Q. Okay. Right, and  
3 assembly, though, of course -- is coming from a  
4 number of -- implies that it's coming from a  
5 number of sources, the information in there is  
6 coming from a number of sources?

7 A. Correct.

8 Q. Okay. And there's a  
9 number of comments and revisions made by you.  
10 If we go to image 3.

11 A. 3.

12 Q. There we go. Thank  
13 you.

14 So we see on the track  
15 changes, there's one change by Marisa and one by  
16 you there. Who is Marisa?

17 A. I think she was our  
18 environmental planner, but I can't be sure. We  
19 had three or four or five of them, but I can't --  
20 Marisa?

21 Q. Was it your assistant?

22 A. At this point in time?

23 Q. Yeah.

24 A. Oh. Oh.

25 Q. There's a Marisa Culetta

1 Pugliese (ph), is that --

2 A. Yeah, but I -- when is  
3 this? What year is this?

4 Q. This is 2003, February  
5 2003.

6 A. It may have been. I  
7 don't know. I don't know what comment -- where is  
8 that comment that she's made?

9 Q. It's right there on the  
10 right-hand side. It says, "Marisa," and then page  
11 3, "maintenance procedures."

12 A. Yeah, I don't --

13 Q. Don't know?

14 A. I wouldn't have thought  
15 that she was making comments on this, but possibly  
16 on my behalf. I don't know.

17 Q. Right. If it was your  
18 assistant, then she would only be making them  
19 presumably at your behest; is that right?

20 A. I don't know.

21 Q. All right. And now,  
22 Mr. Oddi testified that he didn't have any role in  
23 creating this document or editing it. Do you  
24 agree with that?

25 A. I've nothing to show that

1 he did or didn't, but it seems a little surprising  
2 to me, but I thought he would have been involved  
3 in this.

4 Q. I think he might have  
5 joined the office after this, just slightly after.

6 A. Yeah.

7 Q. So -- okay. And you're  
8 sending Mr. Murray this as the latest version.  
9 Did he have a role in creating this?

10 A. So this is before he's  
11 director?

12 Q. No, this is in  
13 February 2003.

14 A. So he -- no, he's not the  
15 director yet?

16 Q. It's before the project  
17 charter.

18 A. Okay. So like I said, he  
19 was the manager of environmental planning for  
20 special projects. So just like I was the  
21 technical side of special projects, he was the  
22 environmental planning manager for special  
23 projects. So this would have been something that  
24 I would have thought that the environmental group  
25 was putting together at that time, but I can't be

1 sure. There was a group of people still working  
2 on the freeway. Although there was nothing in  
3 your -- you know, if it said special projects and  
4 freeway ongoing --

5 Q. I think Mr. Murray -- I  
6 think Mr. Murray started in July 2007 as the --

7 JUSTICE WILTON-SIEGEL: 2002.

8 MR. LEWIS: Sorry, 2002, as  
9 the acting director of the project, so....

10 THE WITNESS: Well, then he  
11 would've been the director. That's why I would  
12 have been sending it to him.

13 BY MR. LEWIS:

14 Q. Right. If we could go up  
15 to the index on the first page, first image. So  
16 you can see the kind of things that are being  
17 dealt with here: traffic operations, design  
18 proposals, structures, utilities and municipal  
19 services, recreational facilitates.

20 Then going onto the next  
21 image, rights of way requirements and corridor  
22 control, construction sequence and contract  
23 breakdown and finances. And then the -- a number  
24 appendices dealing with environmental issues,  
25 alternatives, geotechnical investigation reports,

1 summary of (indiscernible), CE funding and then a  
2 number of exhibits.

3 So is this -- as you said,  
4 you're sending this to Mr. Murray. Is this the  
5 sort of thing, though, that he would have been  
6 involved in drafting or is he receiving this for  
7 information and approval?

8 A. Well, I mean, as a  
9 director he's responsible for putting it out my  
10 mind so....

11 Q. Right.

12 A. You know, I'm giving my  
13 comments in whatever regard. I'm sure it's not  
14 the first time. There was, you know, several  
15 iterations and....

16 Q. Okay. Well, this is one  
17 of the two that we have. But you're -- there were  
18 several of these, yes?

19 A. We went back and forth on  
20 this a lot formally or informally.

21 Q. Okay. Actually there  
22 were some more. Okay.

23 If we can go to section 2.7,  
24 which is -- I think it might be image 9. Next  
25 image, please. And one more. Yeah, scroll up,

1 please. There we are. Thank you.

2 And then there's the section  
3 here 2.7(a) on speed enforcement. You see that in  
4 the middle, 2.7(a)?

5 A. Right.

6 Q. And it's referring to the  
7 design speed of 100 kilometres and the posted  
8 speed of 90 kilometres an hour.

9 A. I see that.

10 Q. Yeah. And do you know  
11 when this design speed was first set, of the  
12 hundred?

13 A. I think it's a result of  
14 the geometrics that were negotiated as a result of  
15 the province and the environmental review.

16 Q. All right. What do you  
17 mean "the negotiations"?

18 A. Well, I mean, it says in  
19 the next sentence, "the speed's been set based on  
20 the topography and spacing of interchanges." So,  
21 I mean --

22 Q. Right.

23 A. -- once -- you know,  
24 where do you have an interchange; where do you  
25 have a ramp; where don't you have a ramp; how many

1 lanes can you fit in. You know, are you going on  
2 this side of the creek or that side of the creek.

3 That sets the geometry of the  
4 roadway through the valley, and that's based on,  
5 you know, the topography of the valley and the  
6 natural features that you're trying to reduce the  
7 impacts on. And once you set that, then the  
8 curvature of the road, it fits to a -- you know,  
9 if you can only get this much of a curve in, then  
10 that's a design speed of this.

11 So the -- well, I mean,  
12 obviously straight parts have an unlimited design  
13 speed. The curves, for lack of a better --  
14 determine the maximum design speed that you can  
15 have.

16 Q. You say that  
17 straightaways have an unlimited design speed.  
18 What --

19 A. Well, they are  
20 straightaway. There's no sight distance  
21 requirements because you can see. I mean, other  
22 than operationally.

23 Q. So you mean when there's  
24 no vertical curves or horizontal curves?

25 A. That's correct.

1 Q. Okay. And did you have  
2 any involvement in setting those speeds posted or  
3 designed?

4 A. No. That wasn't -- that  
5 wasn't and isn't, never, never has been my  
6 expertise or....

7 Q. Right. And who made that  
8 decision?

9 A. Well, mostly our  
10 consultants with -- Mr. Vandermark was very  
11 experienced in that regard, as well as our  
12 previous director or -- yeah, at that time it was  
13 Dale Turvey. And then I think we were leaning  
14 mostly on our consultants and our -- it's not --  
15 it's not a decision. You don't just say the  
16 design speed is this. You lay out the alignment,  
17 and the alignment dictates what your design speed  
18 is. I mean, if you had a curve that was 90 and  
19 you wanted, you know, 100 kilometre an hour design  
20 speed, you had to flatten that curve. If you had  
21 to flatten that curve, you know, did you have to  
22 change its orientation through a bridge or make an  
23 opening wider or move the creek over to achieve  
24 that.

25 So, I mean, it's -- you know,



1 so it's a combination of this is the best  
2 orientation we can get, and this is the design  
3 speed that goes along with that.

4 Q. Okay. If we could go to  
5 images 14 and 15, please. Image 15 as well,  
6 please. Can that not be done on the native  
7 document, Registrar? Is that the issue?

8 THE REGISTRAR: It might be  
9 easier if I do it OnCue instead of the native --

10 MR. LEWIS: Okay.

11 THE REGISTRAR: -- unless you  
12 need to see a comment or something.

13 MR. LEWIS: Yeah, we just --  
14 well, we just -- I do need to see the track  
15 changes.

16 THE REGISTRAR: I think maybe  
17 I'm going to do it one at a time on this.

18 MR. LEWIS: Okay. That's  
19 fine.

20 BY MR. LEWIS:

21 Q. So there's a section here  
22 on pavement design 3.5.2 which refers to pavement  
23 depths. You'll see that. It's there, and if you  
24 could continue down. There we go. Yeah, that's  
25 good. Thank you.

1                   And continuing on to the next  
2 page, it indicates that modified HL1 or an SMA  
3 stone mastic asphalt are being considered for the  
4 surface for wearing (ph) asphalt mixes, and then  
5 goes on to -- within the track changes to have  
6 some commentary on SMA and its qualities --  
7 include SMA has been shown to have improved  
8 surface texture and skid resistance, and also  
9 talks about the reduction in noise and a 5 to 8  
10 percent premium in cost.

11                   And if you could scroll down a  
12 little bit further, Registrar. Okay.

13                   The changes, that doesn't show  
14 up there but I can tell you that the track changes  
15 show as being by ITS. Do you know what that is?

16                   A. I don't. ITS?

17                   Q. I'm wondering if that is  
18 maybe a generic thing for the City? You don't  
19 know?

20                   A. I don't.

21                   Q. Okay. I mean, there's  
22 other changes in here that are by you and Marisa  
23 and so forth, but it says by ITS. In any event,  
24 it speaks of consideration of using SMA or HL1 and  
25 including noise reduction and so forth. So does

1 that continue to be a consideration, is that  
2 right, the noise reduction?

3 A. Yeah. I don't -- I think  
4 it's consistent with everything that we were doing  
5 with regard to it, yes.

6 Q. Okay. Do you recall if  
7 these were your changes?

8 A. I don't.

9 Q. Can't say one way or the  
10 other?

11 A. No.

12 Q. And --

13 A. It very well may have  
14 been.

15 Q. Right. Okay. And  
16 there's no mention in here of a perpetual pavement  
17 structure yet. Am I --

18 A. At that point in time,  
19 no.

20 Q. Yeah. Hadn't been  
21 considered yet?

22 A. No.

23 Q. Okay. And then the last  
24 thing perhaps before we go to -- I would suggest a  
25 break for lunch. Registrar, if we could go to

1 image 12. I think it's the next one. Yeah.

2 And the design criteria in  
3 table 2, you'll see there's references to various  
4 highway geometry categories, and then at the  
5 bottom there are projected traffic volumes. Do  
6 you see that there? Projected traffic volumes,  
7 AADT in year 2021. What's AADT?

8 A. Average annual daily  
9 traffic.

10 Q. Right. Number vehicles?

11 A. Correct.

12 Q. All right. And at that  
13 time was that what was anticipated depending on  
14 the section of the road? 70,000 from Pritchard to  
15 Mud Street?

16 A. If that's what it says,  
17 I -- the planning group and the traffic group were  
18 the ones that were generating this type of  
19 information.

20 Q. Okay. At the time,  
21 though, that is what was in there. That's what  
22 you had assumed in your projections.

23 A. -- street to Brampton  
24 that 55 to 60 does ring a bell. It looks  
25 familiar.

1 MR. LEWIS: Okay. Would this  
2 be a good time to take a break, Commissioner, for  
3 lunch?

4 JUSTICE WILTON-SIEGEL: This  
5 would be an excellent time. It's right at  
6 one o'clock. Let's return at 2:15. We'll stand  
7 adjourned during that period of time.

8 --- Recess taken at 12:59 p.m.

9 --- Upon resuming at 2:14 p.m.

10 MR. LEWIS: Good afternoon,  
11 Commissioner.

12 JUSTICE WILTON-SIEGEL: Good  
13 afternoon.

14 MR. LEWIS: May we proceed?

15 JUSTICE WILTON-SIEGEL: Please  
16 do.

17 MR. LEWIS: Thank you.

18 BY MR. LEWIS:

19 Q. So, Mr. Moore, we were  
20 just talking before lunch about the 2003  
21 preliminary design reports, and if we go to  
22 overview document 3.1, image 7, Registrar.

23 In paragraph 16, which is the  
24 description that the inquiry has not received any  
25 final design reports to date, and we'll come to

1 that, but there was some documents titled "Design  
2 Report" produced in 2006, and there's two sections  
3 to that, an introduction and a design part.

4 And if we could pull those two  
5 up. It's HAM32181. And the second document is  
6 HAM32182. And you'll see there's the dates on the  
7 bottom of each of them. And these are separate  
8 documents that are -- that they have been produced  
9 as in different sections. And they're -- have the  
10 watermark of draft on it.

11 Do you know if there were more  
12 chapters than these? They're titled "Design  
13 Report", not preliminary design report. Do you  
14 know if there were more chapters or sections than  
15 these two, introduction and engineering design?

16 A. I'm afraid I don't. I  
17 don't -- I don't know whether there was anything  
18 that's other than this. I don't recall these  
19 specifically either.

20 Q. Okay. So why don't we  
21 start there. Do you recall if there was -- rather  
22 than a preliminary design report, that there was  
23 then a more final design report issued?

24 A. I don't recall. I'm just  
25 trying to read some of the purpose and --

1 Q. If you want -- and we can  
2 go to the next page there as well if you would  
3 like. But as you say, it says it will prepare  
4 design report that details mitigation strategies  
5 and construction and post construction monitoring  
6 plans. This report, and its accompanying  
7 technical reports, fulfill those commitments. And  
8 then if we go to the second page, that's the  
9 introduction.

10 A. Yeah.

11 Q. And then the second  
12 document, the engineering design, is more  
13 technical. We can just do a quick -- if you go  
14 into the next image, just so --

15 A. Yeah, there's a -- in  
16 that one paragraph it says this document will be  
17 completed over a period of months and years as  
18 mitigation and monitoring is developed. So I'm  
19 not sure whether it was ever completed or not.

20 Q. Okay. So you just don't  
21 know one way or the other?

22 A. That's right. I don't,  
23 no.

24 Q. Okay. And, I mean, would  
25 it be typical to have a completed design report on

1 a project of this magnitude or....

2 A. I don't know. This is  
3 the only project of this magnitude I ever worked  
4 on so....

5 Q. Okay. Fair enough. And  
6 from your answers, when you say you don't recall  
7 the document itself, would it be fair for me to  
8 conclude you don't recall the process of drafting  
9 and editing these documents?

10 A. Yeah, that would be fair.  
11 Yeah, don't -- I don't recall any of that.

12 Q. Okay. And then if we  
13 could go to overview document 3.1, image 8.

14 And in paragraph 17 it speaks  
15 of the tender phase and the detailed design  
16 drawings. And so as indicated in that paragraph,  
17 the detailed design of the Red Hill Valley Parkway  
18 was split between three consulting engineering  
19 firms. Do you recall that?

20 A. I do recall that.

21 Q. Right. So Stantec for  
22 part A, Philips for part B and McCormick Rankin  
23 for part C?

24 A. I believe that's correct.

25 Q. All right. And then



1 there was a part D which included all of the  
2 signage and pavement markings for the entire  
3 length of the highway. Do you recall that?

4 A. Yeah, I'm not sure  
5 whether I recall that specifically.

6 Q. Okay. Nevertheless, you  
7 recall that it was broken up between the three --

8 A. There was three major  
9 consultants that did the work, yes.

10 Q. Right. Okay. And on the  
11 City side, who was responsible for overseeing the  
12 consultant's preparation of the design drawings,  
13 starting with the --

14 A. That would have been me.

15 Q. Okay. As manager of the  
16 design?

17 A. Yes.

18 Q. And in the footnotes 21  
19 through 24 there, you'll see -- yeah, if we could  
20 call those out. There were for-tender versions  
21 that were -- of the drawings, the part A, B, C and  
22 D drawings that were issued, and then there were  
23 for-construction versions as well. Is that  
24 something that you recall? That there was  
25 separate versions of the drawings, the for-tender

1 and for-construction?

2 A. I don't know that I  
3 recall it specifically. I don't -- if you, you  
4 know, ask me was there different ones, I don't  
5 know whether I would have known or not. But it's  
6 not unusual to have a tender version and then a  
7 for-construction --

8 Q. Right.

9 A. -- version because  
10 sometimes the contractors asked for different  
11 approaches, and then the design is finalized or  
12 the drawing is finalized and approved because some  
13 of this had to go to the conservation authority  
14 and the MNR, DFO, Ministry of Natural Resources  
15 and Department of Fisheries and Oceans for final  
16 approval once we -- before we went to  
17 construction.

18 Q. Right. And so if there  
19 are any changes, they would be reflected between  
20 those two -- the tender and then the  
21 for-construction documents. Those would be  
22 reflected in the for-construction documents.  
23 That's the purpose.

24 A. That's the purpose.

25 Q. Okay. And are you aware

1 of any material changes that were made in between  
2 the for-tender and for-construction that's -- not  
3 going ask you to go and do a fine review of them.  
4 Is there anything that strikes you as being  
5 material?

6 A. Not that I can recall  
7 offhand right now, no.

8 Q. Okay. And then what  
9 about as-built or as-constructed drawings.

10 You can take that down,  
11 Registrar. Thank you.

12 The City has produced a very  
13 limited number of as-constructed Red Hill Valley  
14 Parkway drawings from part A and part D. Do you  
15 know if a full set of as-constructed or as-built  
16 drawings were done or if they were not?

17 A. I recall some red line  
18 markups that the consultant did which they  
19 typically do in the field. If there's any, you  
20 know, changes or finalizations, you move a manhole  
21 from one place to another or extend a pipe or  
22 those types of things.

23 Q. So like the subsurface  
24 kind of stuff?

25 A. Subsurface kind of stuff.

1 Typically you are not going to change pavement  
2 widths or locations and alignment and bridges and  
3 those types of things. So, you know, the radius  
4 of -- the final radius of a curve that tied into a  
5 crossing road might be noted, but I don't recall  
6 whether there was an as-built set or not.

7 Q. Well, as I said, there's  
8 some limited ones. Do you recall if there was a  
9 decision made not to do complete as-constructed  
10 drawings?

11 A. I don't. I don't recall.

12 Q. Okay. Would that have  
13 been your decision one way or the other as manager  
14 of design, about whether it was necessary to do  
15 as-constructed drawings or not?

16 A. I don't -- I probably  
17 would have been in the discussion, but the need  
18 for it or the timing for it, you know, as they are  
19 done at the end of a project after everything is  
20 complete, whether there were physical implications  
21 or the fact there wasn't enough changes to warrant  
22 drawings other than the construction set.

23 In general, as-built drawings  
24 for any project are a topic for discussion,  
25 especially in least aerial photography

1 availability and electronic versions. I mean in  
2 the old days where everything was, you know, done  
3 on paper or vellum, and then you, you know, you  
4 copied that, and then that was the only copy that  
5 you had. It was a different -- it was a different  
6 era and that was -- you know, as-builts were more  
7 needed.

8                                   Nowadays with the aerial  
9 photography and the ability to update any drawing  
10 with that and a digital copy of it some place  
11 is -- sometimes outweighs the need for as-built  
12 drawings.

13                                   Q. Okay. So if we could now  
14 move on to your involvement with Dr. Uzarowski and  
15 Golder Associates in relation to the pavement  
16 structure. If we go to overview document 3 and  
17 images 14 to 15.

18                                   And we know that on  
19 January 11th, 2005 you met with Dr. Uzarowski and  
20 discussed the paving and pavement on the Red Hill.  
21 And just -- you can read that paragraph. There's  
22 a few excerpts from his notes. And we'll go to  
23 his notes from that day in a minute.

24                                   But do you recall how that  
25 meeting came to be? Did you know Dr. Uzarowski

1 before that meeting?

2 A. I may have met him at  
3 CTAA, but he had never -- we had never been in a,  
4 you know, a client relationship type of thing as  
5 far as I know.

6 Q. Okay. So how did this  
7 meeting come about, to your recollection?

8 A. (Unintelligible reading).  
9 The only thing I can infer is that I was  
10 interested in the perpetual pavement in light of  
11 his presentation at CTAA. The previous November I  
12 attended that.

13 Q. Okay. And that's the  
14 paragraph above there in paragraph 21?

15 A. That's the paragraph  
16 above, yes, in Montreal.

17 Q. Okay. And that's  
18 where --

19 A. So --

20 Q. -- where he presented a  
21 paper called "Perpetual Asphalt Pavements"?

22 A. Yes.

23 Q. Okay. So to the best of  
24 your recollection that is what sparked your  
25 interest and probably resulted in you contacting

1 him to --

2 A. I believe so.

3 Q. Okay. And do you  
4 remember his presentation of that paper and  
5 specifically what was in the paper? The  
6 description of perpetual pavements and --

7 A. Yes. Other than, you  
8 know, the generality of perpetual pavements and,  
9 you know, after, you know, having it -- seeing the  
10 presentation, thinking about its applicability to  
11 the Red Hill, I think that's -- I don't remember  
12 anything specific from it.

13 Q. Okay. And what do you  
14 recall about the meeting on January 11th, 2005?

15 A. I don't recall anything  
16 specifically --

17 Q. Okay.

18 A. -- you know, about that  
19 meeting there.

20 Q. Should we go to his  
21 notes? Could that just -- and I'll ask you some  
22 questions off of that.

23 A. Sure.

24 Q. All right. So if we  
25 could go to RHV933 which is Exhibit 17.

1                   And this is -- Commissioner,  
2    you'll recall it's a -- Dr. Uzarowski's  
3    handwritten transcription of the notes that we've  
4    seen.

5                   So if you could go to image 3,  
6    Registrar.

7                   And just as I said, Mr. Moore,  
8    these are Dr. Uzarowski's typewritten  
9    transcriptions of handwritten notes that are just  
10   easier for everyone else to read. Now on the  
11   right-hand side towards the bottom it says, "SMA  
12   Gary wants to use 3-DB noise attenuation." So do  
13   you recall saying that to Dr. Uzarowski?

14                  A.    I don't specifically, but  
15   that's -- I don't believe that's incorrect.

16                  Q.    Right. It makes sense  
17   that you would say it given the things that we've  
18   talked about?

19                  A.    Yes.

20                  Q.    And at that time you did  
21   want to use it, right?

22                  A.    Yes.

23                  Q.    Okay. And then you  
24   appear -- did you have a discussion about  
25   perpetual pavement at that time since that's what



1 you recall that you invited him for?

2 A. I have to assume that we  
3 did. I mean, that's what we were interested in.  
4 That's -- you know, given what I had already  
5 learned about it and what I was in the process of,  
6 you know, thinking how would it be applicable to  
7 our project and the benefits that, you know, we  
8 would gain from it. You know, that's what we were  
9 looking for.

10 Q. And his notes indicate,  
11 you know, certain aspects of the design of the  
12 highway and some of the economics and so forth.  
13 So on the top left there it says, you know,  
14 "Four lane expressway, 90 kilometres an hour  
15 posted speed." (As read)

16 Which was -- that was the  
17 intended posted speed, right?

18 A. That's correct.

19 Q. Yeah. And then it says  
20 "design DES speed" -- I take that as design  
21 speed -- "100 to 110 kilometres an hour." Was  
22 there a design speed of 110 or was it always 100?

23 A. I think the limiting  
24 design speed was 100.

25 Q. And then it says, "70,000

1 vehicles a day -- it opens -- a day it opens."

2 (As read).

3 Is that the anticipated number  
4 of vehicles?

5 A. Yes. Some of this looks  
6 like it came right out of the PDR. I may have  
7 given him a copy, the preliminary design report.

8 Q. He didn't indicate --  
9 Dr. Uzarowski didn't indicate that that was the  
10 case. He thought this was -- he was on receive  
11 and it was information coming from you?

12 A. Yes.

13 Q. And there's on the  
14 next -- maybe we go to the next image. Maybe if  
15 we could keep this image up and put up the next  
16 image as well.

17 It says he "can use Superpave  
18 mixes and SMA on the top." And then there's some  
19 other references there too:

20 "Do they need trap rock for  
21 SMA, steel slag, local high  
22 quality limestone." (As  
23 read).

24 Do you know what you would  
25 have been talking about there, options for the

1 aggregate use?

2 A. Yeah, I don't know  
3 whether these are just questions he's asking  
4 himself or putting notes down that he needs to  
5 look into. I don't know.

6 Q. Okay. And then further  
7 down it says "SMA is already there." Would that  
8 be about Burlington Street? Do you know?

9 A. I don't -- it's the only  
10 place that we used it.

11 Q. Right. Okay. And detour  
12 costs, is that something that you were concerned  
13 about and considering, the detour costs when a  
14 full road reconstruction would be done?

15 A. Absolutely. I mean, it's  
16 not only the detour costs but -- and you can put  
17 the detour costs down. You can put a number to  
18 that because you build this lane and you build  
19 this, but the problem becomes -- is the delay cost  
20 I think is -- to the public that was the big  
21 question mark that was needed to be looked at as  
22 well.

23 Q. Right. That if you had  
24 to do a full rehabilitation, full reconstruction  
25 every 20 years or so, the --

1                   A.    Where is 70,000 people  
2 going to go?

3                   Q.    Right.  Okay.  So that's  
4 the broader issue raised by that; is that right?

5                   A.    That's correct.

6                   Q.    And then for perpetual  
7 pavement if you can defer the full reconstruction  
8 with maintenance and doing milling and paving the  
9 surface layer over the years and you can differ  
10 that for some period of time, then there's both  
11 savings of money over the long-term and savings of  
12 inconvenience to drivers; is that right?

13                  A.    Yeah, I don't know  
14 whether the perpetual pavement had an impact on,  
15 you know, when you're going to do the resurfacing,  
16 but the -- there are big capital costs initially  
17 with putting in the perpetual pavement, but  
18 there's the long-term savings of having to do  
19 multiple detours and the delay to the people.  
20 Those are the --

21                  Q.    Right.

22                  A.    -- they're financial  
23 numbers.

24                  Q.    Maybe I misspoke.  I  
25 meant about putting off the major reconstruction.

1 A. Yes.

2 Q. The full -- as opposed

3 to the --

4 A. And if you do it right,  
5 you may never to have do it.

6 Q. To do a --

7 A. -- it becomes perpetual.

8 Q. At the bottom there -- we  
9 know that what comes out of this over the next --  
10 two days later is the proposal by Golder to do a  
11 feasibility study on perpetual pavement and at the  
12 end as well a CTAA paper. And you see the second  
13 last line there is for a CTAA paper.

14 A. Yes.

15 Q. Do you see that there?

16 Do you recall whose idea it was to do a CTAA paper  
17 on this topic?

18 A. It's my sense it would be  
19 Ludomir's. He was -- he was, and did a number of  
20 papers and had presented a number papers even  
21 before, you know -- it was something he did and  
22 was very interested in, you know, sharing the good  
23 news about new technologies and those types of  
24 things. So I had never -- I mean, I had only been  
25 to -- what's this '05; when did I start, '02. So,

1 I mean, I guess I was involved with that one with  
2 Paul, but, you know --

3 Q. Burlington Street?

4 A. Yeah, I'm sort of a side  
5 issue. I'm not the generator of the paper.

6 Q. Okay. Well, and we know  
7 that he was the primary author of it. He  
8 testified as to that, but your best recollection  
9 is the idea probably came from Dr. Uzarowski?

10 A. I believe so.

11 Q. Okay. And so I can take  
12 through a comparison of the documents, which would  
13 be the CTAA paper and the feasibility study, but  
14 I'm going to see if -- I'll tell you some things  
15 that Dr. Uzarowski testified to, and you can let  
16 me know if you agree to those -- to that evidence,  
17 just about those, which is that Dr. Uzarowski  
18 testified the feasibility study that he -- well,  
19 actually I should back up. Do you recall the  
20 feasibility study and him doing that?

21 A. I recall that we did one,  
22 yes.

23 Q. Yeah. Okay. And you  
24 know what, I should take you to that beforehand,  
25 and I apologize for jumping ahead on that.

1                   And so if we just go to  
2   overview document 3 at image 14. Yeah. And it's  
3   just at the end of 22 there and onto the next  
4   page, image 15.

5                   On January 13, Dr. Uzarowski  
6   sent you a proposal to carry out a feasibility  
7   study on using perpetual pavement on the Red Hill  
8   Valley Parkway Expressway in Hamilton, and then  
9   the next day you gave him the permission to  
10  proceed with the study. So -- and we'll look at  
11  the completed study in a bit, but I'm just going  
12  to -- now that I actually brought your attention  
13  to it, ask you if you agree with the following  
14  things.

15                  Dr. Uzarowski testified that  
16  the feasibility study and the CTAA paper, which  
17  ultimately resulted, were based on the same  
18  information and covered the same ground being a  
19  comparison of the lifecycle costs for the Red Hill  
20  Valley Parkway of a conventional deep strength  
21  payment structure compared to a perpetual pavement  
22  structure with both options using a SMA surface  
23  course. Does that sound right to you?

24                  A. I don't see any reason to  
25  disagree with that.

1 Q. Okay. And he testified  
2 that both the feasibility study and the CTAA paper  
3 concluded that the perpetual pavement option  
4 would, over its full lifecycle, be less expensive  
5 than the conventional deep strength pavement  
6 structure. Do you agree with that, over the full  
7 lifecycle appreciating that there's the upfront  
8 costs that you referred to?

9 A. I believe that was the  
10 conclusion, yes.

11 Q. Okay. And that -- he  
12 testified that the feasibility study and the CTAA  
13 paper were worked on contemporaneously. Do you  
14 agree with that?

15 A. I thought one was  
16 completed well before the other, but they may have  
17 been worked on.

18 Q. Well, there's -- we'll  
19 come to it but there's a -- you know, there's --  
20 the abstract is done by Dr. Uzarowski in February  
21 for the CTAA paper --

22 A. Right.

23 Q. -- but that's just the  
24 abstract.

25 A. Yes.



1 Q. And then there's quite a  
2 bit of, you know, back and forth with you  
3 providing information to him about the costs as  
4 you would have to do, right, for --

5 A. Right.

6 Q. -- the unit costs and so  
7 forth --

8 A. Yeah.

9 Q. -- and all that, right?  
10 And then the -- there's a final -- there's a draft  
11 of the CTAA paper in August of 2005, and that's  
12 also the date of the final signed feasibility  
13 study. But at the same time the CTAA paper wasn't  
14 actually presented until 2006.

15 A. Right.

16 Q. But in terms of the  
17 timing of the work that they were doing on it,  
18 they were contemporaneous. Does that sound right?

19 A. I believe that's correct.

20 Q. Okay. Dr. Uzarowski  
21 indicated he was the primary author of the CTAA  
22 paper. Was your involvement in it more or less,  
23 again, the same sort of thing, review and edit,  
24 that capacity?

25 A. For the sections I had

1 knowledge of, yes.

2 Q. Right. Okay. And if we  
3 could go to Golder GOL3366.

4 And this is just for timing.  
5 August 5th, 2005 Dr. Uzarowski sends it to Michael  
6 Mahar who is another individual at Golder. Do you  
7 recall him?

8 A. I recall the name, yes.

9 Q. Okay. And Vince Aurilio,  
10 who (indiscernible) Bitomar, but he had also been  
11 involved in the 2004 CTAA paper with  
12 Dr. Uzarowski. Do you recall that?

13 A. Yes.

14 Q. All right. And he was a  
15 OMPAH person too, right.

16 A. Yes, I know --

17 Q. You know Vince?

18 A. Yeah.

19 Q. Okay. And if we go to  
20 the attachment, which is Golder 3367.

21 And that just shows the  
22 authors as listed.

23 And then if we could go to  
24 image 6. And it gives a description of -- you  
25 know, right off the top of a typical lifecycle

1 involving a program of routine maintenance and a  
2 major rehabilitation every 18 to 25 years. Goes  
3 on to speak of the merits of the perpetual  
4 pavement approach and so forth.

5 And then in the last sentence  
6 it says -- in that section it says:

7 "The City of Hamilton has  
8 decided to use the perpetual  
9 pavement concept on their  
10 major infrastructure project."

11 (As read).

12 So at that point is it fair to  
13 say that the decision had been made to go with the  
14 perpetual pavement?

15 A. I believe, yeah, we had  
16 adopted concept. I mean, we were waiting for the  
17 details. You know, we didn't know how deep or how  
18 much granular or how much asphalt, but, you know,  
19 given all of the benefits we were looking at, we  
20 were just waiting for the final numbers. I mean,  
21 this -- the feasibility study was to tell us  
22 whether it was, you know, revenue neutral, or  
23 whether the -- it was going to cost us more  
24 initially and in the long-term. We may have come  
25 up with a different decision if it hadn't of, but

1 the good news of this was it gave us all the  
2 benefits we wanted, plus it was cheap in the long  
3 run. So it just further cemented our desire to  
4 use this approach.

5 Q. Okay. And I guess that  
6 would be -- if we could go to overview document 3,  
7 image 16, and -- actually 16 and 17. Okay.

8 And this pertains -- on  
9 July 21st, so a little earlier than that there's a  
10 submission made by Scott Stewart, who is at that  
11 point the general manager of public works, and he  
12 e-mailed Peter, Peter Crockett and Mr. Murray and  
13 Nancy Clark, what he called "Our Submission For  
14 the Top 10 Roads and Bridges in Roads and Bridges  
15 Magazine." And at that point Mr. Crockett had  
16 moved on from the City of Hamilton.

17 A. Yes.

18 Q. Right? Yeah. And it  
19 indicated, and we can go to it if you want, but it  
20 indicated that -- and there's an application -- it  
21 indicated that the flexible perpetual pavement  
22 design with SMA surface was the pavement type for  
23 the Red Hill Valley Parkway.

24 A. Um...

25 Q. And do you recall if this

1 was -- this submission was something that you were  
2 involved in in putting together?

3 A. I was likely asked for  
4 the information to put this submission in.

5 Q. Okay. So then if we  
6 could just go to HAM20577. And so there's a fair  
7 amount of, you know, technical information in  
8 there and measurements and types of equipment and  
9 so forth. That sort of stuff I take it would have  
10 been provided by you; is that right?

11 A. Or through my office,  
12 yes.

13 Q. Right. At your  
14 direction. I appreciate that someone else might  
15 have actually physically submitted it, but at your  
16 direction?

17 A. Yes, I would agree with  
18 that.

19 Q. Okay. And then the fifth  
20 line, that is what I was referring to, pavement  
21 type, flexible, perpetual pavement design with SMA  
22 surface.

23 A. Right.

24 Q. I take it that  
25 information would have come from you too?

1                   A.    That was the direction  
2   that we were proceeding with the project, yes.

3                   Q.    Right.  But the -- right.  
4   And at this point, though, I mean, this is a  
5   submission to a publication, right?

6                   A.    Hm-hmm.

7                   Q.    That's a yes?

8                   A.    Yes.  I believe Roads and  
9   Bridges is a magazine.

10                  Q.    Right.  So you must've  
11   been pretty confident in what was going to happen  
12   if you're making a submission to an external third  
13   party that may publish the information.  Is that  
14   fair to say?

15                  A.    I would -- it would  
16   appear so at this point in time.  I'm not -- I  
17   mean, I would think that the -- I guess the  
18   general manager must have known that that was our  
19   approach and that it was worthy enough to make an  
20   application.  This -- I mean, this came from one  
21   general manager to another, you know, city to our  
22   general manager, and hey, can we get something in  
23   there type of thing so....

24                  Q.    Right.  And it's a  
25   notable project.  I understand that.  But in terms

1 of the specifics of it, if I've understood you  
2 correctly, that was information that you had and  
3 were providing. And so just at that point....

4 A. At that point I believe  
5 that was the intent or the direction we were  
6 proceeding.

7 Q. Okay.

8 A. So that was correct given  
9 the information at the time.

10 Q. All right. You can take  
11 that down, please. And then RHV935.

12 This is the signed Golder  
13 feasibility study dated August 2005. And until  
14 shortly before the public hearings we only had an  
15 electronic copy of the draft, but we received it  
16 shortly before the public hearings commenced.  
17 This is a signed version of it. Do you know you  
18 if you received a signed copy of it?

19 A. I couldn't tell you. No,  
20 I don't know.

21 Q. Okay. You don't know one  
22 way or the other?

23 A. No. I would assume we  
24 did, but it would be, you know, routine type of  
25 thing, but I don't know.

1 Q. Okay. I mean, you're  
2 right; it would be routine to do that. If you've  
3 commissioned a report, a study from a consultant,  
4 typically you would want to receive the final  
5 product that you're paying for; is that correct?

6 A. In most cases.

7 Q. Okay. Are there  
8 circumstances that you would not want to do that  
9 if you've -- you're paying a consultant to provide  
10 their advice?

11 A. Well, having a formal,  
12 final signed document and having the study  
13 complete and the information from that study  
14 are -- you know, it's just -- it's not necessarily  
15 not the same thing.

16 Q. Sorry, it's not  
17 necessarily the same thing?

18 A. Yeah.

19 Q. Right. Well, what's  
20 the --

21 A. Not necessarily not the  
22 same thing.

23 Q. Not the --

24 A. I mean, once you have the  
25 results that you're looking for. I mean, fine,



1 give me the signed copy, but if I get it in six  
2 months I don't care because I'm proceeding on the  
3 information that I've already received.

4 Q. Okay. But if it's --  
5 fair enough. But if it's been done, if the report  
6 has been done and signed and so forth, typically I  
7 would assume, tell me if I'm wrong, you would want  
8 to receive the final signed report from the  
9 engineer as a general proposition; is that fair?

10 A. In general, yes, but it  
11 may have not necessarily been a priority to get  
12 the final copy if I wasn't giving it to anyone, if  
13 it was just going in the files. I was acting on  
14 the information from the report. We were moving  
15 quite quickly on this project, so the gist and the  
16 information in the report was much more important  
17 than receiving, you know, three final signed  
18 copies to put in the file.

19 Q. Okay. If I've understood  
20 you correctly, that was the chronology here.  
21 You've indicated that the direction that you were  
22 going to be taking, that the City was going to be  
23 taking was going be perpetual pavement with an SMA  
24 surface course. That was the intended direction,  
25 right?

1 A. Right.

2 Q. And then -- and I think  
3 what you said was that the feasibility study, that  
4 was going to be -- I'm not sure of the exact words  
5 so I don't want to mischaracterize it -- but that  
6 the feasibility study would be confirmation of the  
7 costs involved, but that your expectation was that  
8 you were going to be using the perpetual pavement  
9 structure with the SMA surface course, right?

10 A. Good. It would have  
11 given us the dotting the i's and crossing the t's  
12 on proceeding with that. If it had been  
13 \$25 million more, we still may have proceeded with  
14 it given the benefits that it had on providing the  
15 perpetual pavement to the public. The fact that,  
16 you know, it was a cost-saving thing was even  
17 better.

18 Q. Right. And so in the  
19 spirit of dotting the i's and crossing the t's I  
20 would assume then you would want a final signed  
21 copy of the report, would you not? If that's the  
22 purpose. If the decision has effectively been  
23 made and you're just making sure that it's -- you  
24 know, that it is cost effective and so forth,  
25 don't you want to have that information from an

1 engineer signed?

2 A. I know what the outcome  
3 is. That's the important part to me.

4 Q. Okay. If we could go to  
5 image 19 in overview document 3, please. I'm  
6 looking at paragraph 35.

7 So this is on September 7th,  
8 2005. Mr. Oddi e-mailed you asking that you:

9 "Please confirm the proposed  
10 payment structure for the M-S  
11 section, i.e., asphalt depth  
12 and type for each lift." (As  
13 read)

14 And then you replied the same  
15 day, and this is in your e-mail responding to him.  
16 You provide him with the new perpetual pavement  
17 design. You see that?

18 A. I see that, yeah.

19 Q. And that's the overall  
20 perpetual pavement structure that was ultimately  
21 placed; is that right?

22 A. I believe it is.

23 Q. Right. And Mr. Oddi  
24 testified that he believes that this e-mail is  
25 where he became aware of the SMA surface course --

1 just to separate the perpetual pavement from the  
2 SMA surface course -- but this is where -- that he  
3 became aware of the SMA surface layer that that's  
4 what it was going to be. Do you agree or disagree  
5 with that?

6 A. I can't do either. I  
7 don't know. If he says this is when he found it  
8 out then -- I would have thought he would have  
9 been aware of it before that but...

10 Q. But you can't say  
11 otherwise?

12 A. I can't say otherwise,  
13 no.

14 Q. All right. And he also  
15 thought that he was aware at some point in the  
16 summer, so prior to this, that there was going to  
17 be a perpetual pavement structure but perhaps not  
18 the details of it. Does that make sense to you  
19 given timing that we were just looking at?

20 A. Because I thought we were  
21 making changes to ongoing projects out there that  
22 had already placed granular before --

23 Q. Right. Well, I think  
24 that -- I mean, you do refer to that in your  
25 e-mail. You're saying "in areas where A" --

1 that's granular A, is it?

2 A. Yes.

3 Q. " -- has already been  
4 placed the granular base  
5 thickness will be reduced by  
6 60 millimetres to accommodate  
7 the additional asphalt  
8 thickness while maintaining  
9 the original final profile  
10 grade. In areas where  
11 granulars have not yet been  
12 placed granular sub base  
13 depths will be reduced by  
14 60 millimetres."

15 Okie dokie? So it sounds like  
16 you're directing him going forward.

17 A. Yes.

18 Q. Those are prospective,  
19 right?

20 A. I don't know whether this  
21 was just a -- so that he had it written down some  
22 place from me, you know, and I would just confirm,  
23 okay, here it is.

24 Q. Okay. Well, I don't  
25 think that was his evidence, but you don't know

1 one way or the other.

2 A. I don't, no.

3 Q. Okay. And you would  
4 agree that you, on behalf of the City, retained  
5 Golder to then develop the paving specifications  
6 and the special provisions for the paving tender?

7 A. That's correct.

8 Q. Okay. And as well  
9 subsequent to that Golder was retained by the  
10 contract administrator, paving contract  
11 administrator Philips to provide quality assurance  
12 services and related services for the Red Hill  
13 paving. Do you recall that as well?

14 A. Yes. After this was  
15 done, yes, I believe for the paving contracts.

16 Q. Yeah, for the paving --

17 A. But that is correct, yes.

18 Q. Yeah. First, they  
19 developed the specifications and special  
20 provisions for the tender and then were retained  
21 for the quality assurance job for the actual  
22 paving. Do you recall all of that?

23 A. I believe that's correct,  
24 yes.

25 Q. Okay. You've reviewed

1 the overview document 3 that sets out the timing.

2 Have you had the opportunity to do that?

3 A. I'm sorry, this sets out  
4 the timing?

5 Q. Well, yeah. Have you had  
6 the opportunity to read -- we're going through  
7 overview document 3 here. Have you had the  
8 opportunity read it?

9 A. I believe I've seen it,  
10 yes.

11 Q. Okay. Do you have any  
12 independent recollection about how the Golder  
13 briefs came to be for the specifications and the  
14 quality assurance other than as set out in the  
15 overview document? Like, do you have any  
16 independent recollection of those, how they came  
17 to be retained?

18 A. No, I don't.

19 Q. Okay. If we could go to  
20 overview document 3, image 31. I guess 31 and 32.  
21 It seems to go on.

22 So the tender for the paving  
23 was issued at the end of April 2006, and then the  
24 award was made in June, July at that time, just to  
25 give you the timing here, and then we know that

1 the paving, to jump forward, commenced at the end  
2 of May 2007. Does that all sound like the right  
3 chronology to you?

4 A. I believe that's -- it  
5 was a while ago.

6 Q. I know.

7 This paragraph -- well, 61 and  
8 62 deal something called a pavement sustainability  
9 plan for the Red Hill Valley Parkway and Lincoln  
10 Alexander Parkway. And there was a draft that was  
11 circulated -- I think there were many drafts --  
12 but there was a draft that was circulated on  
13 September 21st, 2006, and then the final version  
14 in paragraph 62 was dated October 11th, 2007. And  
15 before we get into specifics, do you recall this  
16 document generally, the pavement sustainability  
17 plan?

18 A. I remember the  
19 initiative, but I don't remember the document.

20 Q. Okay. And when you say  
21 you "remember the initiative," what do you mean?

22 A. I know they wanted to  
23 prepare some document to take to council to  
24 identify, you know, how you are going to look  
25 after this roadway because it's different than



1 every other roadway in the City. You need to have  
2 a plan, and there is, you know, long-term  
3 financial implications, and the director and  
4 general manager at the time, I believe it was  
5 their initiative to have this document done in  
6 order to present to council or committee in order  
7 to sort of set up a financing for ongoing  
8 maintenance.

9 Q. Right. Because after its  
10 built, there's going to be ongoing maintenance  
11 required to keep it in shape?

12 A. Yes. What's the schedule  
13 for that. What's the cost for that? What's  
14 the -- you know, how are you going to do that? I  
15 believe that's what the document -- what they were  
16 trying to set up.

17 Q. Okay. Sorry, and who is  
18 the "they" in that, when you say "they"?

19 A. To my -- I think it was  
20 stick handled through the asset management group,  
21 you know. They would have to talk to everyone  
22 else, but it was an asset management initiative.

23 Q. All right. And in the  
24 final plan -- we can go to it if you want -- but  
25 there's an acknowledgements list, and it lists you

1 as being one of the major contributors to the  
2 plan. And can you recall what your involvement  
3 was it's -- you remember you said you recalled the  
4 initiative, but what your involvement was in the  
5 creation and drafting of it?

6 A. Sorry. They would have  
7 come to me for, you know, what did you build;  
8 where it is; what are the plans; where do we find  
9 these plans; where do we find, you know, all the  
10 specifications. Because I believe this was not  
11 only for the Red Hill, but was for the LINC and  
12 the Red Hill.

13 Q. Yeah.

14 A. So what materials did you  
15 use for the overhead signs, and what  
16 specifications. So they had to be either pointed  
17 out or, you know, given all the background data  
18 and information on that, so on which they built  
19 their premises. So it's background information  
20 and the gist of what we built.

21 Q. Okay. Factually  
22 speaking?

23 A. Correct.

24 Q. Okay. And what about  
25 prospectively with respect to various issues

1 relating to, as you said, the future, how it's  
2 going to be sustained in the future?

3 A. Well, I didn't have any  
4 expertise in any of that, in maintaining roads or  
5 ploughing roads or fixing -- you know, doing the  
6 maintenance-type things or the operational  
7 maintenance, like, how many times do you repaint  
8 lines, or when do you do that or any of that  
9 stuff. So that would have come from the  
10 operational groups.

11 Q. Okay. And in overview  
12 document at paragraph 61 there it sets out a  
13 couple of excerpts from the draft plan, the  
14 September 21st, 2006 draft dealing with skid  
15 resistance testing and conducting it on the Red  
16 Hill and the LINC every one to two years.

17 I can advise, Commissioner,  
18 that the precise wording that is set out here in  
19 the overview document was carried over into the  
20 final report as well as indicated in the following  
21 paragraph 62 of the overview document.

22 And so there's two sections on  
23 pavement safety and skid resistance. The pavement  
24 safety portion deals with skid resistance as well.  
25 And have you had a chance to review these, because

1 we can expand it for you if you want to have a  
2 look at it right now?

3 A. I believe I have seen  
4 them.

5 Q. Okay. Just tell me when  
6 you've had a look. If that could go below, that  
7 would be great. Thank you.

8 A. That's fine.

9 Q. And were you involved in  
10 the reviewing or providing input on these specific  
11 sections pertaining to friction testing?

12 A. I don't believe I was.

13 Q. So in any respect, not  
14 drafting, not revising, not reviewing, not  
15 approving?

16 A. No, I don't believe so.

17 Q. Okay. And who, who at  
18 the City would have been involved in that, when  
19 you said "asset management."

20 A. Yeah, I don't know. I  
21 don't know who was -- it was probably -- could  
22 have been the manager of asset management at the  
23 time.

24 Q. Do you recall who that  
25 was?

1 A. Maybe John Murray.

2 Q. Okay.

3 A. Could have been there at  
4 that time. I believe it was, but --

5 Q. Okay.

6 A. -- these are -- I mean,  
7 I'm not sure what these are -- in what context  
8 these are given.

9 Q. Well, do you want to go  
10 to the report itself? I mean, there are  
11 recommendations -- it sets out the importance of  
12 pavement surface condition and skid resistance  
13 and --

14 A. In terms of maintenance  
15 or operations?

16 Q. We can go to the document  
17 if you like. It is -- give me one moment. You  
18 know what, so we can pull up the exact location.  
19 I wonder if this would be a good time to take the  
20 break. It's almost 3:15, and that way get right  
21 to the -- it's sort of a long document, so I just  
22 want to make sure we can find the right spot.

23 JUSTICE WILTON-SIEGEL: That's  
24 fine. If it's 3:15, let's take a 15-minute break  
25 this afternoon, and we'll return at 3:30.

1 --- Recess taken at 3:12 p.m.

2 --- Upon resuming at 3:30 p.m.

3 MR. LEWIS: Good afternoon,  
4 Commissioner. May we proceed?

5 JUSTICE WILTON-SIEGEL: Please  
6 proceed.

7 MR. LEWIS: Thank you.

8 BY MR. LEWIS:

9 Q. So just before the break,  
10 Mr. Moore, I was just trying to locate the actual  
11 document that you referred to in the overview  
12 document there. And so if we could call up  
13 HAM320, please.

14 This is just the cover page of  
15 the City of Hamilton, Lincoln Alexander Parkway  
16 and Red Hill Valley Project sustainability plan.  
17 Do you recognize this as the final document? Are  
18 you familiar with the cover at least?

19 A. I'm familiar with the  
20 cover.

21 Q. Okay. And if you could  
22 jump to image 12, Registrar.

23 And this is just the page I  
24 was referring to earlier about the  
25 acknowledgements and indicating:

1 "Stantec, along with IDX  
2 Consulting, wishes to thank  
3 City's public works team for  
4 its contribution to the  
5 Lincoln Alexander Parkway and  
6 Red Hill Valley project  
7 sustainability plan. In  
8 particular we note the  
9 following major contributors."  
10 (As read)  
11 And then starts with City of  
12 Hamilton, Scott Stewart, general manager of public  
13 works. Then the next group:  
14 "Capital planning and  
15 implementation, Jerry Davis,  
16 director of capital planning  
17 and implementation, Gary  
18 Moore, manager of design,  
19 Richard Endoga, senior project  
20 manager of infrastructure and  
21 programming." (As read)  
22 And then it goes on to note  
23 Chris Murray, Marco Oddi, James Rockwood, a whole  
24 number of other people as well.  
25 So before I get onto the

1 specific stuff about friction, do you recall  
2 sitting down with the consultants, Stantec or IDX,  
3 to discuss the recommendations, to discuss any  
4 parts of it?

5 A. I can't say that I have a  
6 specific recollection of any meeting or who it  
7 might have been with. I think there were several  
8 meetings. There's -- you know, there's some gist  
9 of people around the table, but I don't have any  
10 specific recollection.

11 Q. Okay. So by the sounds  
12 of it, you have a vague recollection of meetings  
13 occurring around this topic, right?

14 A. Around this subject, yes,  
15 but...

16 Q. Okay. But not of any  
17 specific instance?

18 A. No, sir.

19 Q. All right. And so if we  
20 could go to image 99 and as well image 101.

21 And section -- on the  
22 left-hand image, item 2.1.1 "pavement safety" and  
23 on the right-hand image, section 2.2.1 "skid  
24 resistance," those are the same text as in the  
25 overview document that we were looking at before?



1 A. Right.

2 Q. This is under the  
3 category of 2.0 "pavement condition evaluation."

4 A. Yes.

5 Q. And I think you had  
6 mentioned something about maintenance and  
7 operations when I was asking you about this and  
8 you were referring to the context, so --

9 A. I just didn't know what  
10 context this was mentioned in, and it appears it's  
11 under the context of pavement condition  
12 evaluation.

13 Q. Right. Okay. So that's  
14 what you were looking for, was just that context?

15 A. That's -- yes.

16 Q. Fair. All right. And so  
17 I think you indicated that you don't recall, you  
18 don't think that you had any involvement in  
19 reviewing or editing or giving feedback on the  
20 sections relating to skid resistance; is that  
21 right?

22 A. Not in this. I mean,  
23 what we built and where we built it and those  
24 types of things, I would have provided, but this  
25 was -- under pavement conditions that's usually an

1 asset-management-type of an initiative.

2 Q. Right. But by asset  
3 managed you mean your responsibility was what,  
4 building it, and then it's handed off to someone  
5 else in asset management, just to shorthand it?

6 A. That's the short answer,  
7 yes.

8 Q. All right. But is  
9 there -- was there anyone in asset management that  
10 you were aware of that had experience in skid  
11 resistance, testing, friction, evaluation, all  
12 these things that we've been talking about for a  
13 good portion of today?

14 A. I don't know.

15 Q. Don't know one way or the  
16 other?

17 A. One way or the other. I  
18 mean, I wouldn't have -- there wouldn't have been  
19 anything within my -- collectively there was, you  
20 know -- you know, the operation of traffic signals  
21 or pavement marking or how you do any of that  
22 stuff and how you -- that's -- you know, I  
23 don't -- I wouldn't have been aware of what their  
24 expertise was as it was another section with their  
25 own responsibilities.

1 Q. All right. So let's look  
2 at some of the comments about friction and skid  
3 resistance in 2.1.1 under "Pavement Safety."

4 First paragraph:

5 "Pavement surface condition  
6 and skid resistance contribute  
7 to the safety characteristics  
8 of the pavement section. Wet  
9 surface accidents may occur  
10 because of a lack skid  
11 resistance, low friction, or  
12 because of the existence of  
13 some safety-related  
14 distresses." (As read)

15 Is that nonetheless something  
16 that you understood from your previous involvement  
17 with skid resistance testing, particularly with  
18 JEGEL and with the Burlington Street project?

19 A. I mean, I think it's  
20 pretty common knowledge that pavements are  
21 slippery when they get wet. I mean, I don't think  
22 you need to be an engineer to understand that.

23 Q. Okay. Second paragraph,  
24 and maybe we can call it out because we've got the  
25 two ones up. Thanks:

1 "Pavement safety is usually  
2 evaluated in terms of the  
3 ability of the pavement  
4 surface to provide adequate  
5 skid resistance or surface  
6 friction to minimize the  
7 possibility of slipperiness of  
8 the vehicles. Although  
9 pavement safety is primarily  
10 evaluated in terms of skid  
11 resistance, other components  
12 such as rutting and roughness  
13 should be considered in the  
14 overall framework of safety."

15 (As read)

16 Again, from our discussion  
17 earlier with the relationship between friction and  
18 pavement safety, is this something that you would  
19 agree with?

20 A. The part that it's part  
21 of an overall framework, yes, but I don't know why  
22 I would turn my mind to this. I mean, again, it's  
23 not -- it wasn't part of anything that I was  
24 dealing with at the time.

25 Q. Yeah, at the time in 2006

1 and then 2007 when this was finalized?

2 A. Yeah.

3 Q. Right. But you had dealt  
4 with it, right? That's my point. These aren't  
5 foreign issues to you. You were dealing with skid  
6 resistance issues as we were talking about this  
7 morning.

8 A. Well, not as problems or  
9 issues, but as some reason why you might want to  
10 measure them but...

11 Q. Right. Well, that's what  
12 this is talking about, though, right? This isn't  
13 talking about it being a problem. They are saying  
14 this is what -- this is about a plan to address  
15 issues that might arise. You don't agree with  
16 that?

17 A. I don't know whether I  
18 agree or --

19 MR. LEDERMAN: Well, just a  
20 moment.

21 THE WITNESS: -- or disagree.

22 MR. LEDERMAN: I'm not sure I  
23 understood the question that Mr. Lewis is putting  
24 to the witness, and I --

25 JUSTICE WILTON-SIEGEL: Why

1 don't ask you Mr. Lewis to put the question again.

2 BY MR. LEWIS:

3 Q. Specifically to this  
4 paragraph, the pavement safety is usually  
5 evaluated in terms of the ability of the pavement  
6 surface to provide adequate skid resistance or  
7 surface friction to minimize the possibility of  
8 slipperiness of the vehicles. Although pavement  
9 safety is primarily evaluated in terms of skid  
10 resistance, other components such as rutting and  
11 roughness should be considered in the overall  
12 framework of safety.

13 Is this something that you  
14 would agree with based on your prior experience  
15 with the skid resistance testing as we discussed  
16 this morning?

17 MR. LEDERMAN: So as I  
18 understand the question, he was asking the witness  
19 about whether he agreed with it in 2006, 2007, and  
20 then he said it was based on what we've been  
21 talking about this morning that dates back to  
22 1999. So what I'm not clear about is when he says  
23 "based on your experience," are you talking about  
24 back in 1999 or are you talking about asking him  
25 to agree whether this is something that he

1 considered in the 2006, 2007 timeframe?

2 MR. LEWIS: I'm asking when  
3 this was produced in 2006, 2007, was that  
4 something that he agreed with based on his prior  
5 experience.

6 MR. LEDERMAN: Okay. Then I  
7 understand the question.

8 MR. LEWIS: Also, and I  
9 apologize, Mr. Lederman, it's when you're  
10 speaking, and it might be a microphone issue, but  
11 it's a bit like you're in the bottom of a  
12 submarine. I don't want to miss what you are  
13 saying. I did get everything that you said then,  
14 but it's been like that all day, and I think last  
15 week too. So I don't know if there's something --  
16 we can talk about it on break, but it's just --

17 MR. LEDERMAN: Yeah. Well, I  
18 can move the microphone closer. I think that's  
19 probably what works.

20 MR. LEWIS: Okay. Thank you.

21 BY MR. LEWIS:

22 Q. Sorry, Mr. Moore, in that  
23 context we've clarified the question, but what can  
24 you say about it?

25 A. Well, I don't know that

1 pavement safety is usually evaluated in terms of  
2 skid resistance. There are dozens of parameters  
3 for pavement safety, including geometrics and  
4 environmental conditions and age of the pavement  
5 and all those types of -- that go into it, speed  
6 and vehicles and those types of things. So I  
7 don't know that pavement safety is primarily  
8 evaluated in terms of skid resistance. I can't  
9 give you an opinion on that because I don't know  
10 that that's the case. I do know that there are a  
11 number of factors that you need to review when  
12 you're looking at pavement condition, but my  
13 expertise is not on pavement safety.

14 Q. Understood. I mean, I  
15 read this as referring specifically to the  
16 pavement itself as opposed to the other factors  
17 that you quite rightly mention about geometric  
18 design and so forth, driver behaviour and that  
19 stuff. This is talking about pavement safety  
20 specifically.

21 A. Given the wording that's  
22 there, I would have to say no, I don't agree  
23 that --

24 Q. Okay.

25 A. -- pavement safety is



1 usually evaluated, because -- I mean, in my  
2 30 years and looking at all of the other pavements  
3 on the City, I don't know that we ever used skid  
4 resistance as a measurement.

5 Q. Okay. In the next  
6 paragraph, it says "Pavement skid resistance --"  
7 sorry, if you could pull that up, the next  
8 paragraph, Registrar. Oh, sorry, that's the wrong  
9 one. Paragraph 4. I apologize. The fourth.  
10 Yeah, there we go:

11 "Pavement skid resistance  
12 would typically deteriorate  
13 over time due to pavement  
14 surface weathering. Therefore  
15 since skid resistance  
16 constitutes a safety concern,  
17 it is recommended that  
18 pavement skid resistance be  
19 evaluated on a regular basis  
20 to identify areas of potential  
21 hazard and that such remedial  
22 measures to improve the skid  
23 conditions of the pavement  
24 surface could be implemented."

25 (As read)

1 So to start with the first --

2 JUSTICE WILTON-SIEGEL:

3 Mr. Lewis, your audio has become diminished.

4 MR. LEWIS: What about now?

5 JUSTICE WILTON-SIEGEL: That's  
6 much better.

7 MR. LEWIS: Okay. I just --  
8 all I did was read it out, so I won't repeat it.

9 BY MR. LEWIS:

10 Q. Then the first sentence,  
11 Mr. Moore, about the deterioration -- typically  
12 deteriorate over time due the pavement surface  
13 weathering. That is something that you were  
14 familiar with from the JEGEL reports, correct?

15 A. I would agree with that.

16 Q. Okay. And then if we  
17 could reduce that, please, and go to the image on  
18 the right, section 2.2.1, "skid resistance." The  
19 first paragraph:

20 "The main purpose of the skid  
21 resistance testing is to  
22 identify the areas with low  
23 skid resistance that may  
24 affect public safety." (As  
25 read).

1                   That's something that you  
2    would have been familiar with from the TAC guide  
3    that JEGEL sent you; is that right?

4                   A.    Well, it may have been in  
5    that.  I don't know whether I was entirely  
6    familiar with it or conversant with it to be able  
7    to recall it and use it, but --

8                   Q.    Okay.

9                   A.    -- I don't disagree that  
10   it was in that information that they forwarded to  
11   me.

12                  Q.    All right.  And then the  
13   second paragraph:

14                  "ASTM E274 is the most widely  
15                  used method for measuring the  
16                  skid resistance using a  
17                  calibrated lock wheel skid  
18                  trailer.  Based on the current  
19                  market prices, the estimate  
20                  for the probable cost for  
21                  performing a skid resistance  
22                  testing along the Lincoln RHVP  
23                  is approximately \$5,000."  (As  
24                  read)

25                  And by this point, in any

1 event, you knew that that's what the MTO used,  
2 right? That was in the 2002 paper about  
3 Burlington Street. That the locked-wheel tester,  
4 in accordance with the ASTM E274 standard, was  
5 what the MTO used, right?

6 A. No. I mean, I knew they  
7 used some sort of a trailer-type of thing, but to  
8 be able to quote what they used in the ASTM, I  
9 don't think I've ever been that conversant with  
10 it.

11 Q. Okay. Well, it is what  
12 it said in that paper. You're just saying that  
13 this is something that you weren't calling at that  
14 time?

15 A. It wasn't something that  
16 I wrote in the paper or was familiar with. I  
17 mean, it was probably Paul or MTO or whoever wrote  
18 that in there but...

19 Q. Okay. Do you know why  
20 the skid testing did not happen every two years as  
21 was recommended -- every one to two years as was  
22 recommended?

23 A. My vague recollection  
24 that when this program was taken to council it  
25 wasn't approved or adopted. That's my

1 recollection.

2 Q. Sorry, and that that's  
3 the -- it wasn't adopted when it went to council?

4 A. I think -- I have a --  
5 it's my recollection that it was -- there was a  
6 lot of money involved and they weren't prepared to  
7 accept it at that point in time. I don't remember  
8 the details, but that's....

9 Q. Okay. Nonetheless you  
10 were -- again, going back to the JEGEL testing on  
11 the LINC, you were familiar with the concept of  
12 conducting friction testing, monitoring over a  
13 period of time to monitor the skid resistance  
14 qualities of the pavement; is that correct?

15 A. The concept, yes. I'll  
16 give you that, yes.

17 Q. Okay. Okay. If we could  
18 just go back to a point that I missed, and this is  
19 about the feasibility study. And I apologize for  
20 this; I should have dealt with it when I was  
21 within that document. If we could go back to  
22 RHV935.

23 And so this is the final  
24 signed feasibility study by Golder from  
25 August 2005.

1                   If we go to image 3. And it's  
2 the last sentence on that page. If you could call  
3 up that. It starts in the middle of the third  
4 line from the bottom, Registrar. The whole  
5 paragraph is fine. Actually could you get the  
6 handwriting in as well, please. Thank you.

7                   So just in the last sentence  
8 where it says:

9                   "The perpetual pavement design  
10 can structurally support 93  
11 million ESALS over 50 years  
12 compared to 40 million ESALS  
13 for 20 years for the  
14 conventional pavement." (As  
15 read).

16                  And then "can" is crossed out  
17 and "should be able to" is written in. Just the  
18 ESALS that we're talking about. These numbers are  
19 also in the CTAA paper that Dr. Uzarowski  
20 primarily wrote and you provided input for. First  
21 of all, what is an ESAL?

22                  A.    Equivalent single axle  
23 load, I believe.

24                  Q.    Right. So it's a measure  
25 of the traffic loading.

1                   A.    Yeah, it's an -- it's a  
2    proportional measure of vehicles.  So I mean --

3                   Q.    Right.

4                   A.    -- obviously a truck is a  
5    vehicle, but it may have six equivalent axles, and  
6    a car has two axles.  So if you're doing, you  
7    know, 60,000 vehicles a day, you multiply -- you  
8    know, you take some average number.  If it's only  
9    cars, it's two, and if it's 5 percent trucks, then  
10   you add in that number, and that's how you come up  
11   with the number of ESALs that you are trying to  
12   support.

13                  Q.    Right.  And so at this  
14   time in 2005 when the perpetual pavement study was  
15   done by Golder, this is what was anticipated at  
16   that time.  Is that fair in terms of --

17                  A.    I don't -- I assume so.  
18   I don't have anything to, you know, calculate it  
19   out.  I mean, the perpetual pavement design should  
20   structurally support 93 -- I mean, that must be  
21   based on the ADT that we're looking to -- you  
22   know, to have on the roadway over a 50-year  
23   period.

24                  Q.    Right.  But that's what  
25   it could withstand?

1                   A.    It's what it was designed  
2    to handle, yes.

3                   Q.    Right.  Okay.  Thank you.  
4    Could we take that down, Registrar.  If we could  
5    go to overview document 3, paragraph 22.

6                   Just a couple of presentations  
7    I want to talk about.  This one in paragraph 43 is  
8    a presentation that you gave to the Parkway  
9    Implementation Committee on March 7, 2006.  And it  
10   refers to -- in that that the new pavement  
11   technology or materials will be used and that  
12   they'll be using the perpetual pavement which will  
13   reduce the future needs for a full road bed  
14   replacement.

15                  And this is the first  
16   reference we have in the database, inquiry  
17   database about a communication to the parkway  
18   implementation committee about the decision to use  
19   the perpetual pavement.  Do you know if that it  
20   was first time that they were advised about it?  
21   Do you know?

22                  A.    I don't.  One way or the  
23   other, no.

24                  Q.    Okay.  And then overview  
25   document 3, paragraph 46 -- sorry, image 46.



1                   And this is June 5th, 2007,  
2   and paragraph 92. Mr. Murray sent -- and this is  
3   shortly before he left the project and moved onto  
4   his new role in the middle of that month -- to the  
5   mayor and city council providing an update on the  
6   status of the paving and explaining that the  
7   project involved perpetual pavement and indicating  
8   that paving had started not very long before that  
9   in late May.

10                   And there is a reference in  
11   there to using SMA as the surface course for it.  
12   That's, again, the first sort of advisory to  
13   council or any of the committees that we've been  
14   able to locate. Do you know if there was any  
15   prior report of that nature to committee or  
16   council, referring to stone mastic asphalt  
17   specifically?

18                   A.   Yeah, I don't -- an overt  
19   mention of it in a, you know, a summary update.  
20   Now, I don't know whether it was contained in any  
21   of the previous EA documents that were taken to  
22   council for approval, you know, when we went to  
23   get submissions and those types of things. You  
24   know, they may have been imbedded in those  
25   documents, but I -- him saying that it's -- you

1 know, this is what we're doing here, I don't know  
2 whether there was anything else.

3 Q. All right. And in there  
4 there's reference to -- yeah, in the last four  
5 lines it says:

6 "As well the surface asphalt  
7 will be a stone mastic asphalt  
8 that will improve skid  
9 resistance and lower noise  
10 generation." (As read).

11 We've talked about the lower  
12 noise generation. Do you know this -- the  
13 reference to improve skid resistance, do you know  
14 where that is derived from?

15 A. I don't because I don't  
16 know what its improved over. Improved on what  
17 previous mixes we were proposing or improved over  
18 existing mixes out there being used. I don't know  
19 what he was referring to. Information updates  
20 like this weren't typically directed towards  
21 council necessarily. You gave them to council,  
22 but it was to put it out in the realm for the  
23 press. Rather than just put a press release you,  
24 you known -- so whether there was questions going  
25 about or something had been raised in the press

1 that needed to be addressed, I don't know.

2 Q. Okay. And in terms of  
3 that information would you have been the person  
4 who provided the information about, you know,  
5 stone mastic asphalt perpetual pavement to  
6 Mr. Murray?

7 A. It's likely in general  
8 terms, yeah. Whether I'd seen the final wording  
9 before he sent it out, I don't know.

10 Q. Okay. But directionally  
11 information -- technical information of --

12 A. Technical information --

13 Q. -- relating to  
14 pavement --

15 A. Yeah.

16 Q. -- would have been you;  
17 is that right?

18 A. Yes.

19 Q. Okay. We were talking  
20 over each other, so I just wanted to make sure.

21 A. Yes. Sorry.

22 Q. I think it was my fault.  
23 Okay. Thank you.

24 So we could move forward to  
25 the actual construction of the Red Hill. And

1 during construction both grading and paving,  
2 recognizing that you had other roles as well, how  
3 often would you typically be on-site, meaning at  
4 the construction site?

5 A. I mean, it depends on,  
6 you know, what was happening. If they were  
7 pouring a bridge deck or something, I may have  
8 made a point to get out and see it. If there was  
9 just general work going on, you know, I may have  
10 dropped by once a week or something or dropped by  
11 on my way home just to familiarize myself with  
12 where they were, but it wasn't a lot.

13 Q. Okay. So like once a  
14 week, every other week, that sort of thing, and it  
15 would vary presumably?

16 A. I would say that was in  
17 ballpark, yes.

18 Q. Okay. And Mr. Oddi, we  
19 know that he was generally on-site. How much  
20 was -- what was your expectation about his being  
21 on-site?

22 A. Well, he was in the  
23 office about as much as I was on-site.

24 Q. So meaning most of the  
25 time?

1 A. Most of the time, yes.

2 Q. Okay. And how often  
3 would you and Mr. Oddi speak or meet during  
4 construction?

5 A. I mean, we did have team  
6 meetings, and I don't know whether they were once  
7 a week for an hour or so, and you usually saved up  
8 anything you needed to report to those unless  
9 something was, you know, important, but -- like I  
10 said, it -- he was looking after the construction,  
11 and in the early part I was looking after the  
12 design and the tenders and getting things out and  
13 once things were being built, then I was  
14 concentrating more on my other portfolio and only  
15 putting out fires as they arose.

16 Q. Okay. So generally  
17 speaking then as construction was -- once the  
18 tenders were done and you were into construction,  
19 on a day-to-day basis you're saying that it was  
20 Mr. Oddi who was involved and your involvement  
21 lessened at that point?

22 A. He was on-site dealing  
23 directly with the contract administrators and  
24 inspection and contractor.

25 Q. Okay. And how often did

1 you speak or meet with Mr. Murray during  
2 construction? Now, appreciating that on the  
3 paving phase he was gone in mid-June, which is  
4 only two-and-a-half to three weeks after the  
5 bottom layer paving commenced, but -- there's also  
6 the grading portion as well. So how often would  
7 you be dealing with him?

8 A. Well, it was mostly at  
9 his pleasure. If he needed me, he knew where to  
10 find me.

11 Q. Right.

12 A. You know. Other than  
13 that it was at the -- I think it was maybe even a  
14 biweekly staff meeting, like every two weeks or  
15 something. I can't remember now what the  
16 frequency of that was.

17 Q. I'll check on that.  
18 Mr. Oddi, I'm not sure if he said it was every  
19 week or every other week, but we can check on  
20 that.

21 And so in what sorts of  
22 circumstances would Mr. Oddi involve you in  
23 matters during construction? He's on-site, you're  
24 in the office. What sort of circumstances would  
25 get you to be involved?

1                   A.    If there was, you know,  
2    some major hurdle that, you know, couldn't be  
3    resolved on-site under the contract rules and  
4    specifications.  If, you know, something  
5    extraordinary, you know, turned up or something  
6    really good, you know, we found this, or there  
7    might have been like an archaeological find that  
8    was going delay the project.  A few of those came  
9    up, you know, what are we going to do now.  Now we  
10   need to get to get the archeologist involved and  
11   those types of things.  But if it was day-to-day  
12   stuff, I wouldn't -- a lot of times I wouldn't  
13   know what the progress was until I drove by it on  
14   my way home.

15                   Q.    Okay.  So in general  
16    you'd become informed and potentially involved if  
17    the issues were fairly significant; is that right?  
18    Would it be fair to say you wouldn't expect to be  
19    kept in the loop on the day-to-day normal  
20    construction issues; is that fair?

21                   A.    I wouldn't expect to be,  
22    and I wouldn't have wanted to be.

23                   Q.    Okay.  Yeah, Mr. Oddi  
24    said he thought that they were -- that the team  
25    meetings were held every two weeks back in the

1 City --

2 A. That seems about right.

3 Q. Okay. And in terms of

4 Mr. Murray, as indicated, he left in mid-June.

5 How, if at all, did things change in terms of

6 reporting in the way things operated after he

7 left, moved on to his new position, understanding

8 at that point you're into paving?

9 A. Yeah, at that point we're

10 in the final throes of finishing the job and

11 getting ready to open in October. So, you know,

12 there's -- I think at that point in time we were

13 keeping the general manager apprised or answering

14 his questions.

15 Q. And --

16 A. But I don't know that it

17 changed that a lot.

18 Q. I mean, at that --

19 Mr. Murray, again, not being a engineer, not being

20 a paving person and so forth, I would have thought

21 that his input on the specifics of paving once

22 that's being executed would have been pretty

23 minimal and wouldn't have -- things wouldn't have

24 changed too much after he left at that stage.

25 A. From that point of view,



1 yes, but there was always the press and  
2 councillors and the public that were, you know,  
3 looking for input or answers, and when he was --  
4 he was the guy that, you know, through our other  
5 support staff in the office that looked after  
6 getting that out and how that was handled. So  
7 there was, you know, a change there. So I can't  
8 remember what we did after that, whether we went  
9 to the GM or through the GM's office or whether we  
10 had -- whether we just did it on our own. I don't  
11 know.

12 Q. Okay. And the GM at that  
13 time, that was Scott Stewart who was the executive  
14 sponsor of the project -- well, under the  
15 structure of the project, right?

16 A. Right.

17 Q. And if I'm correct,  
18 typically that was at quite a high level of the  
19 executive sponsor's involvement; is that fair?

20 A. Yeah, if you -- yeah.

21 Q. Yeah.

22 A. We were missing a layer  
23 of buffer.

24 Q. Right. Now, we discussed  
25 briefly earlier that you, on behalf of the City,

1 hired Golder to develop the paving specifications  
2 and the special provisions for the tender and the  
3 contract documents, correct?

4 A. Okay. Yes.

5 Q. And were you aware prior  
6 to the Red Hill project, or at least this portion  
7 of the Red Hill project, the north-south portion,  
8 that the MTO had something called a designated  
9 source of materials list called the DSM for short?

10 A. I believe I was aware of  
11 that, that they called all of their materials  
12 through that list. If you wanted to use, whether  
13 it was guide rail or light posts or, you know,  
14 whatever it was, they had an exhaustive list that  
15 had been pre-approved. If you want to -- if you  
16 want the easy route here's the deal, call it off  
17 the DSM and we don't have to look at anything  
18 else.

19 Q. Okay. And is that  
20 something that you were familiar with from your  
21 prior work at McCormick Rankin?

22 A. I think that's why I  
23 was -- I think where I was made aware of it, yes.

24 Q. Okay. And were you aware  
25 prior to this project that that included listing

1 the sources of aggregates that were pre-approved  
2 for use on MTO projects for certain surface layers  
3 particularly on high volume roads?

4 A. I don't know whether I  
5 was that -- that specific with it. You know, I  
6 knew it covered everything from soup to nuts, for  
7 lack of a better term, with regard to MTO  
8 contracts. So I mean, again, municipalities  
9 weren't using those lists normally. It was --  
10 those were MTO's products.

11 Q. Right. Fair to say you  
12 don't know whether you were aware that aggregates  
13 were something that were subject to the DSM. Is  
14 that -- you just don't know one way or the other  
15 prior to this project?

16 A. I may have but I....

17 Q. Okay.

18 A. So much time and reading  
19 so many reports, I don't know when. I can't....

20 Q. Okay. And do you recall  
21 if you discussed with Dr. Uzarowski or anyone else  
22 about whether the paving specifications for Red  
23 Hill ought to require the use of aggregates listed  
24 on the DSM for the surface course?

25 A. I don't remember a

1 specific, you know, conversation or series of  
2 conversations in that regard. Also the stuff  
3 was -- I know we were using OPSS and OPSD-type of  
4 specifications, and I'm not quite sure where or  
5 how they call for it. You know, in terms of the  
6 aggregate, they usually set out what the  
7 parameters are, you know, for aggregate use  
8 within, you know, certain mixes, so....

9 Q. Right.

10 A. I don't know that we  
11 specifically targeted things in the DSM or not.

12 Q. Okay. You don't know one  
13 way or the other? You're aware they were using  
14 the OPSS specifications but --

15 A. Correct.

16 Q. -- but perhaps not the  
17 details with respect to those; is that fair?

18 A. Yeah. The OPSS tended be  
19 a provincial standard that anyone could use --

20 Q. Right.

21 A. -- but the DSM tended to  
22 be MTO's, you know, private list of here's some  
23 aggregates that we're familiar with or that -- or  
24 quarries that we've tested, and we have historical  
25 use with, so if you just want to buy your

1 aggregates from here, then there won't be any  
2 further testing involved. That's basically how  
3 that worked in my understanding.

4 Q. Right. Okay. So you did  
5 have an understanding about that, though?

6 A. I don't know whether I  
7 had at that time. I have it now. I mean, I do  
8 understand, you know, that it's a pre-approved  
9 list.

10 Q. And we know that  
11 Dufferin, the paving contractor, proposed using  
12 something -- aggregate from a quarry in Quebec  
13 called demix Aggregates for the SMA and SP 19FC2  
14 surface courses on March 20th, 2007. We know that  
15 ultimately that is what was used. You're familiar  
16 with that issue?

17 A. Yes.

18 Q. Okay. And you aren't  
19 copied on or, as far as we've seen, forwarded the  
20 correspondence produced and in the inquiry  
21 database regarding the proposal by Dufferin to use  
22 demix Aggregates and then the back and forth about  
23 it. But did you nevertheless become aware that  
24 Dufferin had proposed to use demix Aggregates in  
25 the surface courses?

1                   A.    It's my -- yes, I was  
2    aware.  I don't know when, at what point.  Whether  
3    it was brought up at one of our staff meetings as  
4    just a, you know, this is what's happening.  I'm  
5    not sure how I became aware of it, but...

6                   Q.    Okay.  And so do you  
7    think it was likely it was at one of the biweekly  
8    meetings, team meetings, more likely than a direct  
9    call from someone or conversation with someone,  
10   like a one-to-one conversation?

11                  A.    Yeah, I can't -- I can't  
12   say either way that, you know, it was or wasn't.

13                  Q.    But you were made aware  
14   of it?

15                  A.    Yes.

16                  Q.    And in terms of timing, I  
17   appreciate you said you weren't sure, we just know  
18   that it was first proposed on March 20th, 2007.  
19   Did you become aware of this sometime in the early  
20   spring or was that later on?

21                  A.    I couldn't tell you.  I  
22   have no --

23                  Q.    Was it before the SMA  
24   paving start on August 1st?

25                  A.    Oh, I believe so.

1 Q. Okay.

2 A. I believe I was aware  
3 that that was the aggregate that was being chosen.  
4 Maybe I didn't find out until there was (sic) told  
5 this was the approved aggregate. I don't know.

6 Q. Okay.

7 A. But I'm pretty sure I  
8 knew that that was the aggregate being used before  
9 the paving started.

10 Q. All right. And did you  
11 assign any significance to that knowledge once you  
12 were made aware of it? Did it cause you concern,  
13 no concern, or was it just an interesting piece of  
14 information?

15 A. I can't recall any, you  
16 know, major concern or major, you know, meetings  
17 or, you know, correspondence or, you know,  
18 discussions in that regard. So I mean, we had a  
19 protocol for whatever you wanted to propose. I  
20 mean, if it was guide rail, even it said, this is  
21 the guide rail we want or approved equal. So  
22 there's always that clause in there that -- and  
23 it's usually because of our procurement people to  
24 make sure that we don't, you know, limit ourselves  
25 to a single source type of thing. If someone's

1 got just as good idea, doesn't matter what the  
2 item or material is, there's usually some sort of  
3 a protocol within all the specifications to show  
4 that you had equal to what was being -- specifies  
5 to begin with.

6 Q. Okay. I'm just thinking  
7 about the -- what you described about the kind of  
8 issues that you would be made aware of and so  
9 forth. And I'm just wondering what the  
10 significance was about the aggregate choice issue  
11 that caused it to be brought to your attention, if  
12 you can recall.

13 A. Well, I don't think -- I  
14 don't think proposing a different aggregate. It  
15 doesn't matter whether it's from, you know, Quebec  
16 or another part of Ontario or, you know, anything  
17 else would've been a major issue, you know. Had  
18 it not, you know, met all of the specifications  
19 required and there was, you know, major delays in  
20 the project or the contractor was digging in his  
21 heels and saying, you know, you're being unusual  
22 or something like that, that was, you know, times  
23 where I may have been involved. Those types of  
24 things sometimes didn't come from maybe my own  
25 staff, but it would have been involved, you know,



1 directly by the contractor or, you know, that type  
2 of thing if they thought that someone within --  
3 that they were dealing with was being  
4 unreasonable. But I don't believe that I was made  
5 aware of anything in that regard.

6 Q. And do you recall --  
7 prior to the SMA paving, do you recall any  
8 concerns being raised with you about the demix  
9 Aggregates by Dr. Uzarowski, Mr. Oddi or anyone  
10 else?

11 A. I can't say that I was.

12 Q. Okay. You can't recall  
13 it?

14 A. I can't recall, no.

15 Q. Okay. Do you --

16 A. There was a lot of things  
17 happening at the time.

18 Q. Do you recall being  
19 advised that the SMA test strip on July 25th had  
20 failed or been rejected, something along those  
21 lines, failed or been rejected by Golder?

22 A. I can't say with any  
23 certainty that I was or wasn't. No, I don't know.

24 Q. You don't know one way or  
25 the other?

1                   A.    I don't know one way or  
2    the other.

3                   Q.    Okay.  Do you recall  
4    being advised by anyone that Dr. Uzarowski  
5    recommended completing a new test strip?

6                   A.    No, I don't believe so.  
7    I mean, again, I don't recall anything in that  
8    regard.  I'm trying to remember, you know, maybe a  
9    discussion or, you know -- because if you're  
10   brought into that discussion they're usually  
11   looking for direction.  Okay, we've got this, what  
12   do you want us to do now.  But I don't remember  
13   anything in that regard.  I mean, again, there's  
14   standard procedures for dealing with that stuff  
15   out there so....I mean, that's why we hire, you  
16   know, contract administrators and site people and  
17   specialists, to deal with those things.

18                  Q.    Right.  So you're saying  
19    you wouldn't have expected to be notified about  
20    those things; is that right?

21                  A.    No, I mean, I don't know  
22    how many other test strips were done and whether  
23    they were successful or unsuccessful.  I don't  
24    know.

25                  Q.    Okay.  What about Golder

1     advising Dufferin that they ought to do a new --  
2     recommending that they should do a new test strip,  
3     and if they did not do so, that it was proceeding  
4     at its own risk.  Is that something you were  
5     advised about?

6                     A.     No, I don't believe so.

7                     Q.     There is another matter  
8     that arose shortly before the rich bottom mix  
9     paving started in May where Dufferin advised  
10    Mr. Oddi that it was not warranting the paving on  
11    the south end of the project, essentially the  
12    part A section because it had not done the grading  
13    work on that part of it.  It was Aecon that had  
14    done it.  Do you recall if you were advised about  
15    that or not by Mr. Oddi?

16                    A.     I seem to remember  
17    something because I remember some discussions  
18    about that in that regard, but I can't recall the  
19    specific discussion.

20                    Q.     Okay.  So maybe, but you  
21    can't recall?

22                    A.     It's more likely than  
23    unlikely because there's something that I do  
24    recall in that regard, but I'm just not sure what  
25    the details were.

1 Q. Okay.

2 A. I mean, there's --  
3 someone says they're not going to warranty  
4 something, I mean, it's not unusual to get that  
5 type of an e-mail from the contractor that it  
6 just -- setting out potential red herrings, so to  
7 speak, in case of future claims, but, I mean,  
8 they -- I have seen it since, and I -- you know, I  
9 don't know that it's anything specifically.

10 Q. Well, maybe not. I mean,  
11 would you typically in response perhaps disagree  
12 so that there's something on record reserving your  
13 rights?

14 A. Well, I don't know that  
15 you need to. I'm not a lawyer, so I don't --

16 Q. Fair enough.

17 A. -- know.

18 Q. Okay.

19 A. Our reliance would have  
20 been on the contract documents and what they  
21 signed. The fact that, you know, they say, well,  
22 we're not going do that. I don't know -- gives up  
23 our right to rely on the contract documents, and  
24 then we have to address every one and say, no,  
25 yes, you do.

1 Q. That's what you meant  
2 when you referred to a red herring, essentially,  
3 is whether or not that's something that actually  
4 matters?

5 A. Right.

6 Q. Okay.

7 Commissioner, it's 4:26. I'm  
8 ready to move on to a new topic, so this would be  
9 a good time to break, in my view, subject to  
10 your....

11 JUSTICE WILTON-SIEGEL: That's  
12 fine. Will counsel be meeting in a breakout room  
13 to address tomorrow's schedule?

14 MR. LEWIS: Yes, that would be  
15 a good idea I think. I can advise I think I won't  
16 be more than an hour tomorrow, probably less, but  
17 we should have a discussion just amongst counsel.

18 JUSTICE WILTON-SIEGEL: Okay.

19 Well, thank you very much, and we'll stand  
20 adjourned then until 9:30 tomorrow morning.

21 --- Whereupon at 4:26 p.m. the proceedings were  
22 adjourned until Tuesday, May 10th, 2022  
23 at 9:30 a.m.

24

25